

IN THE SUPREME COURT OF THE UNITED STATES

Volume 1 of 25

---

STATE OF MONTANA

Plaintiff.

v.

STATE OF WYOMING

and

STATE OF NORTH DAKOTA

Defendants.

---

BEFORE THE HONORABLE BARTON H. THOMPSON, JR.  
Special Master  
Stanford, California

James F. Battin United States Courthouse  
2601 2nd Avenue North  
Billings, Montana 59101  
October 16, 2013

RICHARD L. MATTSON, LTD.,  
CERTIFIED COURT REPORTER  
816 Avenue F  
Billings, MT 59102  
(406) 698-3163  
rlmattson@gmail.com

## 1 APPEARANCES

2 FOR PLAINTIFF STATE OF MONTANA:

3 Mr. John B. Draper, Special Assistant AG  
4 Montgomery & Andrews  
5 325 Paseo de Peralta, 87501  
6 P.O. Box 2307  
7 Santa Fe, NM 87504-2307  
8 Telephone: (505) 986-2525  
9 Fax: (505) 982-4289  
10 E-mail: Jdraper@monand.com

11 Mr. Jeffrey J. Weschsler, Special  
12 Assistant AG  
13 Montgomery & Andrews  
14 325 Paseo de Peralta, 87501  
15 P.O. Box 2307  
16 Santa Fe, NM 87504-2307  
17 Telephone: (505) 986-2637  
18 Fax: (505) 982-4289  
19 E-mail: djwechsler@montand.com

20 Mr. Cory Swanson  
21 Deputy Attorney General  
22 602 Sanders  
23 P.O. Box 201401  
24 Helena, MT 59624  
25 Telephone: (406) 444-4774  
Fax: (404) 444-3549  
E-mail: coswanson@mt.gov

Ms. Anne Winfield Yates  
DNRC Chief Legal Counsel  
1625 Eleventh Avenue  
P.O. Box 201601  
Helena, MT 59624-1601  
Telephone: (406) 444-0503  
Fax: (406) 444-2684  
Email: ayates@mt.gov

Mr. Kevin R. Peterson  
DNRC Chief Legal Counsel  
1625 Eleventh Avenue  
P.O. Box 201601  
Helena, MT 59624-1601  
Telephone: (406) 444-5785  
Fax: (406) 444-2684  
E-Mail: KevinPeterson@mt.gov

## 1 APPEARANCES (Cont'd)

2 Mr. Tim Fox  
3 MT Attorney General  
4 P.O. Box 201401  
5 Helena, MT 59624-1401  
6 Telephone: (406) 444-2026  
7 Fax: (406) 44403549

8 FOR DEFENDANT STATE OF WYOMING:

9 Mr. James C. Kaste  
10 EWater & Natural Resources Division  
11 Sr. Assistant Attorney General  
12 123 Capitol Building  
13 Cheyenne, WY 82002  
14 Telephone: (307) 777-3535  
15 Fax: (307) 777-3542

16 Mr. Chris Brown  
17 Water & Natural Resources Division  
18 Sr. Assistant Attorney General  
19 123 Capitol Building  
20 Cheyenne, WY 82002  
21 Telephone: (307) 777-3406  
22 Fax: (307) 777-3542  
23 E-mail: Chris.brown@wyo.gov

24 Mr. Andrew J. Kuhlmann  
25 Water & Natural Resources Division  
Sr. Assistant Attorney General  
123 Capitol Building  
Cheyenne, WY 82002  
Telephone: (307) 777-3537  
Fax: (307) 777-3542  
E-mail: andrew.kuhlmann@wyo.gov

Mr. Peter J. Michael  
WY Attorney General  
State Capitol Building  
Cheyenne, WY 82001  
Telephone: (307) 777-7841  
Fax: (307) 777-3542

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES (Cont'd)

FOR THE DEFENDANT STATE OF NORTH DAKOTA

Ms. Jennifer L. Verleger  
Assistant Attorney General  
500 North 9th Street  
Bismarck, ND 58501-4509  
Telephone: (701) 328-3640  
Fax: (701) 328-4300  
E-mail: jverleger@nd.gov

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C O N T E N T S

OPENING STATEMENTS:

By General Fox.....11  
By Mr. Draper.....17  
By Mr. Kaste.....28

WITNESSES:

JOHN TUBBS

By General Fox.....38  
By Mr. Kaste.....48  
By General Fox.....54

DALE BOOK

By Mr. Draper.....56

EXHIBITS:

Joint Exhibits J-1 - J-71.....38  
Exhibit M-232.....43

## P R O C E E D I N G S

(Open court.)

SPECIAL MASTER: Good morning, Counsel.  
You can be seated.

Since this is the first day of our  
evidentiary hearings, why don't we start out with  
appearances for the various parties. So we will  
start with plaintiff, State of Montana. So Mr.  
Draper.

MR. DRAPER: Thank you, Your Honor.  
Good morning. John Draper, counsel of record for  
the State of Montana. With me today is the  
Attorney General of Montana, Tim Fox.

GENERAL FOX: Good morning, Your Honor.

SPECIAL MASTER: The good morning,  
General Fox.

MR. DRAPER: Next to him is Deputy  
Attorney General, Cory Swanson.

MR. SWANSON: Good morning, Your Honor.

SPECIAL MASTER: Good morning.

MR. DRAPER: Next to me is Jeff  
Wechsler of our office, Montgomery and Andrews.

MR. WECHSLER: Good morning.

SPECIAL MASTER: Good morning.

MR. DRAPER: We also have Ann Yates,

1 the Chief Legal Counsel for the Department of  
2 Natural Resources Conservation of Montana.

3 MS. YATES: Good morning, Your Honor.

4 SPECIAL MASTER: Good morning.

5 MR. DRAPER: With her is Kevin  
6 Peterson, also of the legal office of the DNRC.

7 MR. PETERSON: Good morning, Your  
8 Honor.

9 SPECIAL MASTER: Good morning.

10 MR. DRAPER: And our assistant, Donna,  
11 Omerod.

12 SPECIAL MASTER: Good morning to all of  
13 you. So next then, defendant State of Wyoming.

14 MR. KASTE: Good morning, Your Honor.  
15 James Kaste, Senior Assistant Attorney General  
16 for the State of Wyoming. With me is the  
17 Attorney General for the State of Wyoming, Peter  
18 Michael.

19 SPECIAL MASTER: Good morning, General  
20 Michael.

21 MR. KASTE: Senior Assistant Attorney  
22 General Chris Brown.

23 MR. BROWN: Good morning.

24 SPECIAL MASTER: Good morning.

25 MR. KASTE: Assistant Attorney General

1 Andrew Coleman.

2 MR. COLEMAN: Good morning.

3 SPECIAL MASTER: Good morning.

4 MR. KASTE: Today we have the pleasure  
5 of having the state engineer for the State of  
6 Wyoming, Patrick Tyrrell.

7 SPECIAL MASTER: Then for defendant  
8 State of North Dakota.

9 MS. JENNIFER VERLEGER: Good morning,  
10 Your Honor. Jennifer Verleger for the State of  
11 North Dakota.

12 SPECIAL MASTER: Good morning.

13 So just a couple of administrative  
14 announcements at the very outset. The first is  
15 that the clerk's office downstairs has told me  
16 that they would very much appreciate if counsel  
17 could make most of their copies outside of the  
18 courtroom here, because they are apparently quite  
19 busy down there and would prefer not to have a  
20 lot of people coming in and asking for copies of  
21 various documents to be made.

22 If for any reason, however, you need to  
23 have a copy made in an emergency, or just one or  
24 two pages, and it makes a lot more sense to ask  
25 the clerk's office other than to go outside,

1 that's fine. But recognize they will charge you  
2 also 50 cents a page, and you don't have to bring  
3 quarters, they will actually keep a list, and so  
4 if you want to make copies, they will go ahead  
5 and make a list and then at the end of the  
6 proceedings you can toll it up and provide the  
7 federal government with some revenue, which if  
8 the federal government doesn't reopen fairly  
9 soon, the federal judiciary might actually  
10 appreciate it.

11           The second thing is that during the  
12 lunch hour, at least for part of that time, we'll  
13 be locking up the courtroom. I want to make sure  
14 that my deputies have an opportunity to catch a  
15 quick lunch, but as a general matter, what they  
16 are likely to do once the doors are locked, they  
17 will reopen them 15 minutes before court goes  
18 back into session, so you'll be able to get back  
19 in here and take care of things. If you want to  
20 work out any other special type of procedure, if  
21 you could just talk to the courtroom deputy  
22 during the morning break, and talking with them  
23 what time you would like to be here in the  
24 courtroom. Again, we are guests in this  
25 particular courtroom and they have a rule which

1 is totally understandable, that they would prefer  
2 not to have counsel in the courtroom by  
3 themselves without having a courtroom deputy  
4 here. And, again, the clerk's office is so busy  
5 on all the various other matters, it's basically  
6 for my courtroom deputies to take care of the  
7 courtroom during the lunch hour.

8           Next I understand from my conversations  
9 with both Mr. Draper and Mr. Kaste last week they  
10 would like to make opening statements. So unless  
11 there is any other matters to handle at the  
12 outset, then I would call on Mr. Draper at this  
13 particular point to make an opening statement.  
14 And I understand that he is going to be sharing  
15 that with Attorney General Fox from the State of  
16 Montana.

17           MR. DRAPER: That is correct, Your  
18 Honor. Thank you very much. And I would ask  
19 Attorney General Fox to begin.

20           SPECIAL MASTER: Good morning, General  
21 Fox.

22           GENERAL FOX: Good morning, Your Honor.  
23 May it please the court, I am, as you mentioned,  
24 Tim Fox. It's my honor to serve the people of  
25 Montana as their Attorney General. I want to

1 acknowledge and welcome our colleagues from  
2 Wyoming, in particular, Attorney General Pete  
3 Michael. We are very pleased to have them here  
4 in Montana.

5           Your Honor, as Montana's new Attorney  
6 General I have worked hard to carry on the duties  
7 and responsibilities of this office, and part of  
8 that work has been continuing the excellent  
9 legacy and work of my predecessors. This case  
10 over the interpretation of the Yellowstone River  
11 Compact and the use of the waters of the Tongue  
12 River has been more than a legacy case than  
13 nearly any other case that my office has handled  
14 since I have become Attorney General.

15           As you know, Your Honor, former  
16 Attorney General Mike McGrath, now Chief Justice  
17 of the Montana Supreme Court, first filed this  
18 case, and then former Attorney General Steve  
19 Bullock, now governor of the State of Montana,  
20 carried on the fight for four more years. As our  
21 team has brought this case to the trial stage, I  
22 have spent a lot of time on this case. I have  
23 read many of our expert reports, I have conferred  
24 with the trial team, I have reviewed our  
25 pleadings, and I was pleased to meet Your Honor

1 in Denver at our summary judgment hearings. As  
2 the case has moved along, I have regularly  
3 updated my predecessors on the status of the case  
4 and have sought their advice. In these  
5 conversations with Chief Justice McGrath and  
6 Governor Bullock, we agreed from the start on the  
7 importance of this case to the people of Montana.

8           It is important because the water of  
9 the Tongue River and the Tongue River Reservoir  
10 mean everything to the farmers and ranchers long  
11 the Tongue, to the people of Birney and Miles  
12 City, and to the members of the Northern Cheyenne  
13 Tribe. I have heard the members of the Wyoming  
14 trial team tell this court that the amount of  
15 water in dispute is small and insignificant, and  
16 I have even heard them use the phrase, a  
17 monumental waste of time and much ado about  
18 nothing in characterizing Montana's claims.

19           Well, the amount of water isn't small  
20 to the rancher who is irrigating 200 acres of  
21 alfalfa, trying to raise enough hay to get his  
22 cattle through the winter. It isn't small to the  
23 farmer who is raising corn and melons and  
24 alternative crops trying to diversify agriculture  
25 in this state. It isn't small to the Amish

1 community that works day and night to continue a  
2 traditional way of life. And it isn't small to  
3 me or to my predecessors, who have invested  
4 thousands of dollars and millions -- excuse me,  
5 thousands of hours and millions of dollars over  
6 the past six years in this case. And to  
7 individual and very real Montanans this case is  
8 not much to do about nothing, and to some it's  
9 much ado about their very livelihoods and  
10 well-being.

11           Finally, Your Honor, I don't think it's  
12 small to you. This case certainly has not been a  
13 monumental waste of your time. And it is not  
14 been all about nothing to you. It is an honor to  
15 have you in our great state and to have your  
16 experience on these water issues brought to bear  
17 to solve the problem between two neighbors, two  
18 good neighbors, and to render a fair judgment  
19 that we can implement.

20           So before I ask my trial counsel, Mr.  
21 Draper, to go into more details about the  
22 evidence and arguments that you will -- that will  
23 consume the better part of the next two months, I  
24 would like to leave you with our two main goals  
25 for the outcome of this trial.

1           First, we desperately need a ruling to  
2 clarify the meaning of the Yellowstone River  
3 Compact that will help us get the water we are  
4 entitled to and will also give us a clear  
5 solution that Montana and Wyoming can implement  
6 together. You have seen some of the evidence and  
7 you will see much more about the chronic shortage  
8 of water in the Tongue River, particularly in  
9 drought years. And water is our lifeblood, Your  
10 Honor, and we cannot have a river management  
11 system where we ask for water that we are  
12 entitled to and the upstream state delays,  
13 denies, and continues to use that water. That  
14 loss for a few weeks or even a few days can mean  
15 the difference between an adequate crop and a  
16 ruined crop. It means the difference between  
17 feeding your cows all winter or selling part of  
18 your herd in the fall.

19           So whatever Montana's rights under the  
20 Compact are determined to be, the people of  
21 Montana are asking for a ruling that is  
22 understandable, practical, workable, expeditious  
23 in its practical application, and enduring.

24           The second primary goal of our trial,  
25 Your Honor, is to uphold the sanctity and

1 validity of the Yellowstone River Compact. In  
2 1950 our three states, Montana, Wyoming, and  
3 North Dakota, worked long and hard to craft an  
4 agreement we could all live with. Congress  
5 ratified the agreement. The people of our states  
6 relied upon that agreement, and they still want  
7 to rely upon it.

8 I grew up not far from here, Your  
9 Honor. My parents were small business people.  
10 They did business on a handshake for many years.  
11 When they met a customer or a supplier whose  
12 handshake didn't mean anything, they required a  
13 written contract, though, frankly, that contract  
14 often was not much better. That person soon got  
15 a reputation and no one wanted to do business  
16 with them.

17 I don't honestly believe we have that  
18 situation here, Your Honor. Montana and Wyoming  
19 have been good and strong neighbors. We share  
20 the same way of life, the same diversity of  
21 mountains, and plains, the same rugged work  
22 ethic, and sense of fair play and honesty. We  
23 cooperate on many issues, and I look forward  
24 working together on many more, particularly with  
25 General Michaels. So I don't believe this is an

1 instance of a handshake that cannot be good.  
2 But we are at an impasse. We need your help. We  
3 have a solemn Compact that needs to be  
4 interpreted. We need to have clarity. Both  
5 states need to understand and live by its terms.

6 As it stands now, no call for water has  
7 ever been met by Wyoming, and the Compact  
8 Commission is unable to resolve disputes. Those  
9 situations must be remedied. So this agreement  
10 formed in 1950 needs to endure for generations as  
11 long as the water flows and the crops grow.

12 We know our future will look a lot like  
13 our past. We will have many more years of  
14 drought, we will have more floods. We will have  
15 more winter ice jams in the river and we'll have  
16 to repair the reservoir dam. And we will have  
17 more coal-bed methane or other ground water  
18 disputes. We want to know, Your Honor, that this  
19 Compact will work for both states, and that we  
20 could work out our disputes by its terms as  
21 clarified in this proceeding.

22 We don't want to have to come back for  
23 more, long, and costly litigation between  
24 neighbors who truly want to work together in  
25 harmony. So, please, Your Honor, give us a

1 ruling and interpretation that will stand the  
2 test of time and that will lead to cooperation  
3 and fair use of this amazing river system.

4           Finally, Your Honor, thank you for  
5 being here, for bringing this case to trial, and  
6 for bringing the trial to Billings so the people  
7 who are affected by this decision, one of which,  
8 I believe, is here, Mr. Art Hayes, can witness  
9 this with their own eyes and their own ears.

10           I'm going now to turn over the opening  
11 to my trial counsel, John Draper, and after that  
12 we'll allow the proceedings to go forward. Thank  
13 you, Your Honor.

14           SPECIAL MASTER: Thank you very much,  
15 General Fox.

16           Mr. Draper.

17           MR. DRAPER: Thank you, Your Honor.  
18 Good morning, again.

19           SPECIAL MASTER: Good morning.

20           MR. DRAPER: My thanks to the Attorney  
21 General. His presence here reflects the  
22 significance of this case for the State of  
23 Montana. We also appreciate the expert attention  
24 of the Special Master has devoted to this case.  
25 This is a historic occasion for the people of

1 Montana. It is the trial of its dispute with the  
2 State of Wyoming over the Tongue River Basin and  
3 the Yellowstone River Compact, a dispute that can  
4 only be resolved in this court under the  
5 Constitution and the Judiciary Act of 1789.

6 Montana entered into the Compact as an  
7 alternative to seeking an equitable apportionment  
8 in this court. Yet Montana over the 60 years  
9 since the approval of the Compact by Congress has  
10 never received a drop of water from Wyoming  
11 because of the Compact. And it never will unless  
12 ordered to do so by this court.

13 Wyoming has continued to allow its  
14 citizens to establish new water uses since the  
15 time of the Compact upstream of Montana without  
16 any protection of Montana from those new uses.

17 Wyoming claims that its internal water  
18 administration to protect its own senior water  
19 users has the practical affect to also protecting  
20 Montana's pre-compact uses. The evidence will  
21 show this is not really the case in many  
22 instances, and without this court's intervention,  
23 such disregard of Montana's rights will continue.

24 The court granted Montana's motion for  
25 leave to determine the extent to which Montana's

1 claim of the Compact violation might be  
2 justified. This trial will allow the Special  
3 Master, in the first instance, and the court, in  
4 the final instance, to make the findings of fact  
5 necessary to determine just that. In particular,  
6 Montana's evidence will show the following:

7           And I will just mention, very briefly,  
8 the witnesses that you now will begin to hear.  
9 The first is John Tubbs, Director of Montana's  
10 Department of Natural Resources and Conservation,  
11 commonly known as DNRC. He will testify as to  
12 how and why this case is important to the State  
13 of Montana.

14           The second witness will be Dale Book,  
15 our expert engineer and a veteran of prior  
16 Compact cases. He will describe his analysis of  
17 the water supply and water uses in the Tongue  
18 River Basin in Wyoming and Montana and quantify  
19 the ways in which Wyoming has violated the  
20 Yellowstone River Compact to the extent existing  
21 records make this even possible.

22           Our third witness is Chuck Dalby a  
23 hydrologist at the NRC, who will describe the  
24 hydrology of the Tongue River Basin.

25           Following him is Tim Davis, the

1 Administrator of the Water Resources Division,  
2 sometimes known as WRD, which is contained within  
3 the Department of Natural Resources and  
4 Conservation. He will describe how water is  
5 administered in Montana in general and in the  
6 Tongue River Basin in particular.

7           Following Mr. Davis, Millie Heffner  
8 will testify. She is Chief of the Water Rights  
9 Bureau in DNRC's Water Resources Division, and  
10 she will describe specifics of the regulatory  
11 requirements to initiate and change the surface  
12 water rights in the Tongue River Basin.

13           Following her Mike Roberts will  
14 testify. He is also from DNRC. He will describe  
15 the training provided by DNRC for the water  
16 commissioners who administer water rights during  
17 times of shortage.

18           Our next witness is Jack Stults,  
19 Administrator of the Water Resource Division of  
20 the NRC from 1997 to 2006. He will describe  
21 actions he took to try to force Wyoming without  
22 litigation to comply with the Compact and the  
23 refusal of Wyoming water officials to cooperate.

24           Next Keith Kerbel, a former regional  
25 office manager for the DNRC for responsibility of

1 the Tongue River Basin, will testify to issues of  
2 the Tongue River Basin is essential to the  
3 resolution of the issues before the court and the  
4 history of the interactions between the two  
5 states during this period.

6           Following him we will hear from Gary  
7 Fritz, former Water Resources Division  
8 Administrator, and Yellowstone River Compact  
9 Commissioner for Montana, who is expected to  
10 testify with regard to certain documents showing  
11 communications of the need for water and  
12 shortages because of overuse in Wyoming.

13           Following him Kevin Smith will testify.  
14 He is Bureau Chief of the State Water Projects  
15 Bureau. He will testify with respect to the  
16 state water reservoir project and, in particular,  
17 the Tongue River Reservoir project and its  
18 operations.

19           Following Mr. Smith, Art Hayes Jr.,  
20 President of the Tongue River Water Users  
21 Association, will testify regarding operations of  
22 the Tongue River Reservoir and his own direct  
23 flow and storage water rights.

24           Following Mr. Hayes we will have the  
25 testimony of Gordon Aycock, an expert who is a

1 former official of the United States Bureau of  
2 Reclamation, an expert on dam operations. He is  
3 expected to testify with respect to opinions on  
4 the historical and current operations of the  
5 Tongue River Reservoir.

6           Following Mr. Aycok, Christopher  
7 Tweeten, the Chairman of the Montana Reserve  
8 Water Rights Compact Commission, will describe  
9 the Compact approach in Montana to determining  
10 Indian reserve water rights and, in particular,  
11 negotiations and adoption of the Northern Tribe  
12 Compact.

13           Following Mr. Tweeten, Jason Whiteman,  
14 a member of the Northern Cheyenne Tribe with  
15 experience in Tribal water issues, will describe  
16 the implementation of the Northern Cheyenne Tribe  
17 Compact.

18           Following Mr. Whiteman our expert Dr.  
19 Douglas Littlefield, the expert historian, will  
20 describe the context in which the Compact was  
21 negotiated with a special focus on the remaining  
22 issues regarding intrastate administration  
23 requirements.

24           Following Dr. Littlefield Rich Moy will  
25 testify. He is a former DNRC official. He is

1 currently a Commissioner of U.S. International  
2 Commission for Boundary Waters with  
3 responsibility for the boundary waters between  
4 the United States and Canada. He will testify to  
5 his communications with Wyoming officials  
6 concerning Wyoming's failure to provide water to  
7 Montana under the Compact.

8           Following Mr. Moy, Mike Whitaker,  
9 former superintendent of Wyoming's Division II,  
10 has been subpoenaed by Montana to testify  
11 regarding Wyoming's lack of regulation to comply  
12 with the Compact during his tenure and other  
13 features of Wyoming water rights regulation.

14           Following Mr. Whitaker, Carmine  
15 LoGuidice, Wyoming's current Division II  
16 Administrator. By the way, his name is spelled  
17 L-o-g-u-i-d-i-c-e. He is, as I said, the current  
18 Division II Administrator. He has been  
19 subpoenaed to testify regarding Wyoming's lack of  
20 regulations to comply with the Compact and other  
21 features of Wyoming water rights regulations.

22           Following Mr. LoGuidice, Bill Napp, a  
23 Wyoming water commissioner, has been subpoenaed  
24 to testify to describe the results of his  
25 research and tabulation of water rights,

1 including post Compact water rights and other  
2 aspects of Wyoming's administration in the Tongue  
3 River Basin.

4           Following him we have subpoenaed Dave  
5 Schroeder, also a water commissioner of Wyoming,  
6 to describe water regulation within his  
7 jurisdiction, including regulation of post  
8 Compact rights.

9           Following Mr. Schroeder a further water  
10 commissioner of Division II, Pat Boyd, has been  
11 subpoenaed to testify with regard to his  
12 responsibilities for lower main stem Tongue River  
13 regulation just above the state line to describe  
14 past and current water administration within his  
15 jurisdiction.

16           Following Mr. Boyd we have subpoenaed  
17 John Engels, the ditch rider for the interstate  
18 ditch which crosses the state line, as the name  
19 implies, and he has been subpoenaed to testify  
20 with respect to the operations of that ditch and  
21 lack of regulation of post Compact rights in  
22 Wyoming on that ditch.

23           Then we will call Tana Ankney, also a  
24 water user with post Compact rights in Wyoming,  
25 and we have subpoenaed to describe her water

1 operations.

2           The next witness is Greg Benzel, he is  
3 manager of the Padlock Ranch near the state line.  
4 He has been testified to testify to water  
5 operations of the Padlock Ranch, including  
6 storage of post Compact water for irrigation  
7 purposes.

8           Following Mr. Benzel, Tom Koltiska,  
9 K-o-l-t-i-s-k-a, a Wyoming water user and manager  
10 of Kearney Reservoir, has been subpoenaed to  
11 describe Kearney Reservoir operations and related  
12 issues.

13           Next we will have Dr. Richard Allen,  
14 one of our experts, a world-renowned expert in  
15 the consumptive use of water by crops. He will  
16 testify as to quantification of consumptive use  
17 of water by crops in Wyoming in two examples  
18 years based on Landsat satellite data.

19           Then we will call three commissioners,  
20 water commissioners, who have served in that  
21 capacity in Montana on the Tongue River, Allen  
22 Fjell, Charles Gephart, and Charles Kepper, to  
23 describe the process for administering water for  
24 irrigation in the Tongue River Valley in Montana  
25 and the water administration affected by them as

1 water commissioners.

2           We will also have Jay Nance as a  
3 witness. He is the owner of the number one  
4 priority water right on the Tongue River in  
5 Montana. He will testify regarding his  
6 experience with his water rights and state  
7 administration on the Tongue River.

8           Following Mr. Nance we will call Roger  
9 Muggli, he is secretary and manager of T&Y  
10 Irrigation District on the lower end of the  
11 Tongue River. He is expected to testify with  
12 respect to the T&Y water rights and water use,  
13 which is the biggest early use on the river in  
14 Montana.

15           Following Mr. Muggli we expect to call  
16 Les Hirsch, a prominent water user and former  
17 member of the Tongue River Water User  
18 Association. He will describe the water supply  
19 and irrigation conditions in his area.

20           Following him John Hamilton will be  
21 called, another prominent water user just above  
22 the T&Y diversion. He will describe water supply  
23 conditions and irrigation operations related to  
24 his farm and ranching operation.

25           We will then call Art Compton, a former

1 state official with the Montana Department of  
2 Environmental Quality, who will describe the  
3 industry in Montana and in that region and the  
4 Tongue River Basin in particular.

5           Finally, we will call Steve Larson, a  
6 nationally known ground water modeling expert,  
7 also a veteran of prior interstate water cases,  
8 who will testify with respect to impacts of  
9 pumping in Wyoming on surface water of the Tongue  
10 River in Montana.

11           There you have it, Your Honor. That  
12 concludes my opening statement, and I understand  
13 we will hear from Wyoming at this point and then  
14 we will be ready to call our first witness.

15           SPECIAL MASTER: Thank you very much,  
16 Mr. Draper. Let me just say for benefit of both  
17 counsel, that I'm perfectly receptive to having  
18 also a little bit of running guidance along the  
19 way as to what the next phase of testimony is  
20 likely to be, so feel free to provide short  
21 guidance to me when switching into a new phase as  
22 to what the witnesses coming up are going to be  
23 testifying about, because, obviously, this will  
24 be a long trial, and although I have a good sense  
25 of where, for example, Mr. Draper, you're taking

1 your case along the way, it will be very  
2 beneficial also in the middle of the proceedings  
3 also to have an understanding where you are  
4 planning on moving in any direction.

5 MR. DRAPER: Very good. Thank you,  
6 Your Honor.

7 SPECIAL MASTER: Thank you very much.  
8 Good morning again, Mr. Kaste.

9 MR. KASTE: Good morning, Your Honor.  
10 May it please the court, Counsel, General  
11 Michael, General Fox, again, I'm Jim Kaste,  
12 Senior Assistant Attorney General, Wyoming State,  
13 with the State of Wyoming.

14 This case is a very simple breach of  
15 contract case, and the evidence Wyoming intends  
16 to put on in this case will show that Wyoming  
17 never breached its Compact with Montana. As in  
18 most breach of contract cases, the parties agree  
19 on a great many facts and disagree on a few. As  
20 a result, credibility will be key to sorting out  
21 the truth on those few factual disagreements.  
22 The evidence will show that Montana's credibility  
23 is more than a little suspect on some of these  
24 very important factual issues.

25 In particular, the evidence will

1 demonstrate beyond doubt that Montana never made  
2 a call on Wyoming before 2004 as a claim. For  
3 example, the evidence will be consistent with the  
4 unequivocal statement in the 2006 Yellowstone  
5 River Compact Commission meeting minutes that  
6 this was the second time that Montana made a call  
7 for water on Wyoming. A previous call was made  
8 in 2004. And yet we stand before you arguing  
9 about years that precede 2004. There is a  
10 credibility problem there.

11           Unlike this clear statement in the  
12 official record of the body charged with  
13 administering the Compact, there will be no  
14 evidence documenting any call prior to 2004. And  
15 there will be other examples of Montana's claims  
16 that are also incredible. Such as Montana's very  
17 recent assertion that the original capacity of  
18 the Tongue River Reservoir is now 72,500 acres.  
19 The documents that will be introduced into  
20 evidence from 1937 to the present, most of which  
21 were created by Montana, indicate that the  
22 original capacity of the reservoir was around  
23 69,400 acre-feet. Uniform reports of this  
24 capacity can be found from the original report of  
25 Compact Commission to the expert reports filed by

1 Montana's own experts in this case.

2           Because the evidence will show  
3 unequivocally that Montana never made a call  
4 before 2004, Wyoming intends to focus its  
5 presentation on 2004 and 2006. The evidence will  
6 show that after the expenditure of untold sums  
7 and seven years of looking around Wyoming,  
8 Montana claims to have found a few hundred acres  
9 of land that was irrigated with post 1950 water.  
10 To put this in perspective, there are  
11 approximately 70,000 acres of irrigated lands in  
12 Wyoming, and the amount of water that is at issue  
13 in this case is less than than 4 cfs in any year  
14 in issue. And in reality, it's even less than  
15 that. When you hear the facts and witnesses,  
16 like Doyle Fritz, you'll learn that the amount at  
17 issue is even less than 4 cfs in any in any given  
18 year.

19           The size of Montana's claim alone shows  
20 that Wyoming does an excellent job of following  
21 the doctrine of appropriation and that this work  
22 ensures that Montanans pre-1950 rights are  
23 protected.

24           You will hear evidence that Wyoming has  
25 full time professional hydrographer commissioners

1 whose sole duty is to manage the water use on the  
2 Tongue River in a manner that comports with the  
3 doctrine of appropriation.

4           These hydrographer commissioners,  
5 including Bill Knapp, Pat Boyd, Carmine LoGuidce,  
6 and David Schroeder will testify in these  
7 proceedings and explain how they respond to the  
8 contemporaneous demands based on the river in  
9 Wyoming, how they ensure priorities receive the  
10 water they are entitled to, and how they track  
11 direct flows and priorities, reservoir releases,  
12 and return flows.

13           They will testify, also, how they can  
14 satisfy multiple rights using return flows and  
15 how important reusing water is to properly  
16 administering a river under the doctrine of  
17 appropriation.

18           And they will show you the extensive  
19 records they create to document these activities.  
20 You won't see anything of the sort from the folks  
21 testifying about activities in Montana.

22           Wyoming will show that Montana cannot  
23 prove any of the five essential elements of its  
24 claim. You will hear from folks in Montana how  
25 about Montana fails to ensure that it is meeting

1 its contract obligation to follow the doctrine of  
2 appropriation.

3 The evidence from these individuals,  
4 including Montana's water commissioners, will be  
5 that Montana did not compile information from  
6 which we could fairly determine that its pre-1950  
7 rights were not being satisfied after May 18,  
8 2004, or July 28, 2006; hence, Montana's reliance  
9 on a flow model created after the fact by its  
10 expert Mr. Book.

11 The testimony of Montana's water  
12 commissioners, Mr. Kepper, Mr. Gephart, Mr.  
13 Fjell, will show that their methods do not ensure  
14 diversions of natural flow were made in priority,  
15 that diversions out of priority were curtailed,  
16 or they even made an accurate distinction between  
17 natural flow, storage water, and return flows.  
18 And they did not ensure the prevention of waste.

19 Montana will point to certain arbitrary  
20 numbers, some from Mr. Book and some from Mr.  
21 Hayes, and assert that when flows fall below  
22 these numbers only certain paper rights were  
23 satisfied, and, therefore, no action had to be  
24 taken before it called Wyoming.

25 Wyoming will show in contrast through

1 the testimony of witnesses like Mr. Hinckley,  
2 that these numbers do not reflect reality, and  
3 one cannot assume from these numbers that any  
4 particular set of facts existed on the ground.  
5 As a consequence of Montana's failure to follow  
6 the doctrine of appropriation, it never took any  
7 action to ensure that post 1950 rights were  
8 properly curtailed before making calls on Wyoming  
9 in 2004 to 2006. And we all know that this  
10 Compact provides protection for both states.  
11 There aren't different rules for Wyoming than  
12 there are for Montana.

13           Next, Wyoming will show through the  
14 testimony of witnesses like Doyle Fritz, that  
15 much of the very small amount of post 1950 use in  
16 Wyoming identified by Montana, either did not  
17 occur or the use came from a different source,  
18 such as coal-bed methane production, not from the  
19 Tongue River. And in these few places that  
20 Montana has identified as receiving post 1950  
21 water, Montana cannot fairly ascertain when the  
22 water was applied to those lands. The evidence  
23 will show that Mr. Book made no attempt to figure  
24 out when water was applied to these lands, and  
25 Mr. Allen will testify that he didn't either.

1 And it is a necessary prerequisite for success on  
2 their claim that this water was applied after  
3 this date of their call. They made no attempt to  
4 do so.

5 Similarly, Wyoming will show through  
6 the testimony of Dr. Schroeder that the impacts  
7 from coal-bed methane production are  
8 indistinguishable from zero. And that Montana  
9 skewed the BLM model that its expert Mr. Larson  
10 relied to inflate the estimate of impacts from  
11 coal-bed methane production. When that skew is  
12 removed, any impact from coal-bed methane  
13 production is so low as to be undiscoverable.

14 Finally, the evidence will show that  
15 even if Wyoming had curtailed these diversions in  
16 response to a call, it is improbable that any  
17 additional water would have benefited the farmers  
18 in Montana. You will learn that both at Tongue  
19 River Reservoir dam and downstream at times  
20 willfully and at times negligently Montana  
21 permits substantial amounts of water to go to  
22 waste. You'll see that flows, which aren't in  
23 dispute in this proceeding, into the Yellowstone  
24 River, sometime even during the irrigation  
25 season, are excessive, and more than enough to

1 dwarf the water claimed to be due from Wyoming.  
2 Of course, the evidence will clearly show that  
3 voluntary bypasses from the Tongue River  
4 Reservoir are the primary driver of Montana's  
5 water problems.

6           In contrast, you will hear from  
7 multiple Wyoming witnesses that these profligate  
8 bypasses would not be tolerated in Wyoming, and  
9 neither Wyoming, nor any state, adhering to the  
10 doctrine of appropriation would permit a  
11 reservoir to forego storage opportunities at the  
12 expense of its upstream neighbor.

13           You will also learn since this  
14 litigation began, Montana has changed its  
15 operational practices on the Tongue River  
16 Reservoir. And, to no one's surprise, has found  
17 itself in recent years with adequate water.

18           The evidence will also show that the  
19 small post 1950 diversions in Wyoming during 2004  
20 and 2006 did not cause any harm to the Tongue  
21 River Reservoir or the downstream appropriators  
22 because the reservoir never ran out of water.  
23 There was always water available to help these  
24 farmers.

25           In short, you will learn that while

1 Wyoming is devoting substantial resources to  
2 ensure its compliance with the Compact, Montana  
3 has done little more than spill its water  
4 needlessly into the Yellowstone River only to  
5 return to its neighbor and demand that we pay the  
6 bill.

7           At the end of this case, the evidence  
8 will justify a complete dismissal of Montana's  
9 claim for breach of the Yellowstone River  
10 Compact. Thank you.

11           SPECIAL MASTER: Okay. Thank you very  
12 much, Mr. Kaste.

13           I should just check to make sure, I  
14 assume you do not have a opening statement for  
15 the State of North Dakota.

16           MS. VERLEGER: No, thank you, Your  
17 Honor.

18           SPECIAL MASTER: Thank you very much.  
19 Thank you very much for those opening statements.  
20 They were very valuable. And since I have the  
21 Attorney Generals from both states in this room,  
22 I just wanted to say two or three things. The  
23 first was, as both of you, I think, know  
24 extremely well, particularly Mr. Michaels, since  
25 he was actually lead counsel in this case for a

1 number of years, the teams of attorneys here have  
2 done exceptionally well in guiding this case so  
3 far; in particular, in getting this case ready  
4 for trial in such short order after the hearings  
5 on the motions for summary judgment.

6           The second thing is that the Supreme  
7 Court takes these cases supremely seriously. One  
8 of the most important responsibilities the United  
9 States Supreme court has is to resolve cases  
10 between states over issues such as interstate  
11 waterways, and so you can rest assured, that both  
12 I, and the court as a whole, will take this case  
13 seriously and will weigh all the various issues  
14 carefully before coming to a final determination.  
15 So thank you very much.

16           With that, Mr. Draper, you are welcome  
17 start your case in chief.

18           MR. DRAPER: Thank you, Your Honor. We  
19 would call our first witness, John Tubbs, and  
20 Attorney General Fox will perform the  
21 examination.

22           SPECIAL MASTER: Actually, before that,  
23 as I mentioned yesterday, there's a various joint  
24 exhibits that the two sides have put together.  
25 Is there going to be a motion at some point to

1 have those exhibits admitted?

2 MR. DRAPER: We can do that at this  
3 moment, Your Honor. I think we would jointly  
4 move for the admission of the joint exhibits that  
5 have been provided to you.

6 MR. KASTE: That's correct, Your Honor.

7 SPECIAL MASTER: Okay. Thank you.  
8 Then at this point I will admit into evidence all  
9 of the joint exhibits that the two parties have  
10 stipulated to. So those are, just for the  
11 record, that's the exhibits that are on the Joint  
12 Exhibit List numbered J-1 through looks like  
13 J-71.

14 MR. DRAPER: That would be correct.

15 SPECIAL MASTER: There's a J-72  
16 mentioned, but I assume there's no actual  
17 document corresponding to that.

18 MR. DRAPER: I think that's true, Your  
19 Honor.

20 SPECIAL MASTER: Thank you very much.

21 (Received.)

22 Whereupon,

23 JOHN TUBBS,  
24 having been first duly sworn, was examined and  
25 testified as follows:

1           DEPUTY CLERK: State your name and  
2 spell it, too, please.

3           THE WITNESS: My name is John Tubbs,  
4 T-u-b-b-s.

5           SPECIAL MASTER: Good morning, Mr.  
6 Tubbs. General Fox.

7           GENERAL FOX: Thank you, Your Honor.

8                           DIRECT EXAMINATION

9           Q        (By General Fox:) Mr. Tubbs, will you  
10 please provide for the record your full  
11 professional address.

12          A        The address is 1625 11th Avenue, Helena,  
13 Montana, 59620.

14          Q        Would you please briefly describe your  
15 post secondary education?

16          A        I received an undergraduate degree in  
17 forestry from the University of Montana. And  
18 then a master's in economics, also from the  
19 University of Montana.

20          Q        And what is your current professional  
21 position?

22          A        I'm the Director of the Department of  
23 Natural Resources and Conservation.

24          Q        And can you, please, for the Court,  
25 describe your previous professional positions

1 that you've held prior to the current one?

2 A My first position was as an economist  
3 in the Water Resources Division of the Department  
4 of Natural Resources and Conservation, which I  
5 held for approximately five years. I then  
6 transferred to the Energy Division for nine  
7 months as an economist working on gas  
8 transportation issues for the State. I was  
9 promoted to Chief of the Resource Development  
10 Bureau, where for 16 years I administered the  
11 grant and loan functions of the DNRC. And then,  
12 again, I had the opportunity to receive a  
13 promotion with what was the Water Resources  
14 Division Administrator for three years from 2006  
15 to 2009. At which point I was appointed by  
16 President Obama as the Deputy Assistant Secretary  
17 for Water and Science of the Department of the  
18 Interior, where I served for three and a half  
19 years with Assistant Secretary Ann Castle and  
20 Secretary Salazar, overseeing the Bureau of  
21 Reclamation and the U.S. Geological Survey. And  
22 in January of 2013 I was sworn in as the Director  
23 of the DNRC.

24 Q Mr. Tubbs, have you had any direct  
25 involvement with the Tongue River Basin during

1 your time as a state or federal official?

2 A Yes, I have. Initially, as Chief of  
3 the Resource Development Bureau, I assisted the  
4 department in financing the local debt for the  
5 rehabilitation of the Tongue River Reservoir and  
6 its dam. I then provided through the grant  
7 program funding for research by the Bureau of  
8 Mines and Geology for the methane coal-bed  
9 development in Montana. When I was promoted to  
10 Administrator of the Water Resources Division I  
11 had line authority over the state water projects  
12 bureau, which manages all state projects,  
13 including the Tongue River Reservoir, and  
14 certainly updated on operational issues as well  
15 as litigation. And currently I'm the Director  
16 and also oversee the Water Resources Division and  
17 its functions.

18 GENERAL FOX: And with the Court's  
19 invitation earlier, and with your permission,  
20 Your Honor, I neglected to give the overview that  
21 you asked, but you are about to hear from Mr.  
22 Tubbs, through his experience as an administrator  
23 and state official and his experience with the  
24 Tongue River Basin a little bit about the  
25 importance of this case to the people of the

1 State of Montana in the context of his experience  
2 in the organization of which he is the  
3 administrator of the Department of Natural  
4 Resources.

5 Q (By General Fox:) Mr. Tubbs, you have  
6 in your possession an exhibit labeled M-232, do  
7 you not?

8 A Yes, I do.

9 Q And have you reviewed that exhibit in  
10 the past, and I'll offer it here in a moment.

11 SPECIAL MASTER: I think we need to  
12 toggle the screen a little bit so we can project  
13 them.

14 Q (By General Fox:) Everyone has it.  
15 Mr. Tubbs, have you am reviewed Exhibit M-232.

16 A Yes, I have.

17 Q And are you familiar with that exhibit?

18 A Yes, I am.

19 Q And is that an exhibit that's been  
20 generated by the Department of Natural Resources  
21 and Conservation?

22 A Yes, it is.

23 Q And is it true and accurate to the best  
24 of your knowledge?

25 A With minor changes, yes.

1 Q Okay. And as I understand it, the date  
2 of this particular exhibit is March, 2002; is  
3 that correct?

4 A 2012.

5 Q Excuse me, 2012.

6 GENERAL FOX: Your Honor, we would  
7 offer Exhibit M-232.

8 MR. KASTE: No objection.

9 SPECIAL MASTER: Then Exhibit M-232 is  
10 admitted into the record.

11 (Received.)

12 SPECIAL MASTER: And just to remind  
13 people for the future, if we can get one hard  
14 copy of each of these so that I can write on it,  
15 that would be fantastic.

16 MR. DRAPER: Your Honor, at your left  
17 hand in a box on the floor are exhibit tabs for  
18 each of the exhibits that will be referred to.

19 SPECIAL MASTER: Okay. Thank you very  
20 much, Mr. Draper. I have not spotted that box  
21 earlier when I sat down here. That will be very  
22 helpful. Thank you.

23 Actually, Mr. Kaste, is it okay if I  
24 use this particular copy?

25 MR. KASTE: Yes.

1           SPECIAL MASTER: Okay. Thanks.

2           Q       (By General Fox:) Mr. Tubbs, can you  
3 explain for the Court what Exhibit M-232 is?

4           A       Yes. It's an organizational chart  
5 showing the structure of the Department of  
6 Natural Resources and Conservation.

7           Q       And where, sir, are you on this  
8 organizational chart?

9           A       Right next to the bottom just above the  
10 governor.

11          Q       And can you explain for the Court what  
12 your responsibilities are, Mr. Tubbs, as  
13 Administrator of the Department of Natural  
14 Resources and Conservation?

15          A       Yes. We oversee the policy and budget  
16 for the department. Its four management  
17 divisions are the Trust Lands Division, the  
18 Conservation and Resource Development Division,  
19 the Forestry Division, and its Water Resources  
20 Division, as well as administratively attached  
21 commissions, including the Reserve Water Rights  
22 Compact Commission and the Board of Oil and Gas  
23 Conservation.

24          Q       Mr. Tubbs, are those divisions and  
25 those administratively attached organizations

1 depicted on Exhibit M-232?

2 A Yes, they are.

3 Q And are the people -- are there people  
4 in the Department of Natural Resources and  
5 Conservation who have responsibilities related to  
6 the Tongue River?

7 A Yes, there are. Some of the key  
8 officials include Tim Davis, the Administrator of  
9 the Water Resources Division; Kevin Smith, State  
10 Water Projects Bureau Chief; Chuck Dalby, a  
11 Surface Water Hydrologist; Mike Roberts, who is  
12 also a hydrologist and trains court appointed  
13 commissioners in Montana; and if a water right  
14 change is necessary, Millie Heffner, the Chief of  
15 the Water Rights Bureau.

16 Q And do these individuals or their  
17 positions appear in the organizational chart  
18 depicted in Exhibit M-232?

19 A Yes, they do. And Administrator Tim  
20 Davis will go on to their duties in more detail.

21 Q Mr. Tubbs, is this case important to  
22 State of Montana and its people?

23 A Yes, it's very important.

24 Q Can you for the court explain why?

25 A Well, as this chart indicates, as a

1 state employee we serve the citizens of Montana.  
2 It's about people like Art Hayes, Roger Muggli,  
3 and the farmers and ranchers of the Tongue River  
4 Valley and their ability to continue farming and  
5 ranching in Montana. It's about neighbors  
6 getting to an agreement and receiving their water  
7 rights under those agreements. The Tongue River  
8 system in Montana is a very simple system. By  
9 the 1st of July in most years only two rights of  
10 direct flow are in force. Those neighbors can  
11 work together to make sure that they divert what  
12 is their water right.

13           The Tongue River Reservoir is a  
14 critical life line, a water supply that provides  
15 for late season irrigation throughout out the  
16 valley. That reservoir and its operation make it  
17 successful for farmers to continue living there.

18           Our irrigators have invested  
19 substantial sums of money in laser leveling their  
20 fields, investments in center pivots, to use  
21 every drop as efficiently as they can. Every  
22 acre-foot is important in Montana. It makes the  
23 difference between if you can eek out your direct  
24 flow rights to July 15th and not draw on your  
25 storage rights out of the reservoir, you might

1 get a third cutting. If you have to go to your  
2 storage right earlier, your crop in the end may  
3 not be there, and you may have consequences, as  
4 your opening indicated, selling cattle when you  
5 otherwise you would be over winter them.

6           So while some people would consider it  
7 a small amount, to the ranchers and farmers of  
8 the Tongue, it is very important.

9           Q       And is there -- do you have any  
10 recollection or experience in the workings of the  
11 Compact and the Compact Commission?

12          A       Montana's been very frustrated for a  
13 number of decades with the administration of this  
14 Compact. The Commission itself is ineffective in  
15 resolving those disputes. To my knowledge,  
16 Wyoming has not delivered a drop of water to  
17 Montana as administered by the Compact, and we  
18 don't expect Wyoming to deliver any water unless  
19 this court sets up a set of rules and directs the  
20 State of Wyoming to do so.

21               This interstate dispute will continue  
22 unless it is resolved in these proceedings.

23          Q       Mr. Tubbs, what do you understand to be  
24 the primary objectives of the State of Montana in  
25 this litigation?

1           A           First, to resolve this long term  
2 interstate dispute. To set up a set of  
3 applicable rules that are easy to administer;  
4 rules that are practical; rules where Montana's  
5 water right holders with pre-1950 water rights  
6 receive the water they are entitled to under the  
7 Compact; rules that can be enforced without our  
8 water right holders in the State of Montana  
9 appealing through the Commission. In short, we  
10 need an enforceable decree directing Wyoming to  
11 deliver the water we have a right to under this  
12 Compact.

13                   GENERAL FOX: Your Honor, I have  
14 nothing further on direct. Would reserve the  
15 right to redirect in the event that Wyoming  
16 wishes to cross.

17                   THE COURT: Thank you very much, Mr.  
18 General Fox.

19                   Mr. Kaste.

20                                   CROSS-EXAMINATION

21           Q           (By Mr. Kaste:) Good morning, Mr.  
22 Tubbs.

23           A           Good morning.

24           Q           We just met this morning, right?

25           A           That's correct.

1 Q Okay. I think you said in the course  
2 of your testimony, every acre-foot is important  
3 to Montana?

4 A Yes, it is.

5 Q So Montana should be very careful with  
6 the water that's within its borders, should it  
7 not?

8 A Yes, we are.

9 Q All right. Now, I understand at some  
10 point in the past you served as the Water  
11 Resources Director?

12 A Administrator, yes.

13 Q Administrator, I'm sorry. From 2006 to  
14 2009?

15 A Correct.

16 Q And during the course of that service  
17 as the administrator you had the opportunity to  
18 attend Yellowstone River Compact meetings?

19 A I believe I attended one.

20 Q Was that on December 6, 2006?

21 A I don't remember the date.

22 GENERAL FOX: Your Honor, I believe  
23 this is beyond the scope of direct.

24 MR. KASTE: He said he had knowledge of  
25 the Yellowstone River Compact and the Compact

1 Commission by virtue of his employment.

2 GENERAL FOX: Your Honor, I believe  
3 there was no mention to any Compact Commission  
4 meeting.

5 MR. KASTE: He said he had knowledge.  
6 He opened the door. He talked about his  
7 employment. I have a couple quick questions  
8 about his employment.

9 SPECIAL MASTER: So I'm going to allow  
10 these questions at this particular point, because  
11 I do believe he opened up the inquiry on this,  
12 and the alternative is actually calling Mr. Tubbs  
13 back to the stand later.

14 MR. KASTE: Thank you.

15 May I approach the witness, Your Honor?

16 SPECIAL MASTER: You may, Mr. Kaste.

17 Q (By Mr. Kaste:) I'm going to hand you  
18 Joint Exhibit 56. I apologize, it's not bound.  
19 That's the report of the Yellowstone River  
20 Compact Commission for 2006, correct?

21 GENERAL FOX: Your Honor, if we may  
22 have a moment to obtain a copy.

23 Q (By Mr. Kaste:) Am I right, that's the  
24 only report from the 2006 Yellowstone River  
25 Compact Commission meetings?

1 A It appears to be so.

2 Q On page IX of that report --

3 A Yes.

4 Q -- does it list the attendants of folks  
5 at that meeting?

6 A Yes; it does.

7 Q And are you one of those folks?

8 A I am.

9 Q Does that refresh your recollection  
10 about whether this was the meeting you attended?

11 A This must be the meeting I attended.

12 Q All right. Turn to the next page,  
13 please. About three quarters of the way down  
14 there's a sentence there in that report that  
15 says, this is the second time we made a call on  
16 Wyoming. We did this also in 2004. Do you see  
17 that sentence? One sentence all by itself, it's  
18 not part of a larger paragraph.

19 A Yes, I do see that sentence.

20 Q And I read it correctly?

21 A Correct.

22 Q Do you have a recollection of who made  
23 that statement at that meeting?

24 A No.

25 Q Well, maybe I can help you with that.

1 May I approach, Your Honor?

2 SPECIAL MASTER: Yes, you may.

3 Q (By Mr. Kaste:) Mr. Tubbs, I've handed  
4 you a transcript of those proceedings. It's not  
5 marked as an exhibit in this case, so you're not  
6 going to read it out loud. But would you turn  
7 with me to the page, you see a Bate stamp says WY  
8 02716, should be about the eighth or ninth page  
9 in there?

10 A Yes.

11 Q The only one that has highlighting on  
12 it, correct?

13 A Correct.

14 Q If you would read for yourself the  
15 portions that I have highlighted and let me know  
16 when you're done.

17 (Pause.

18 A I've read them.

19 Q Does that refresh your recollection  
20 about who made the statement, this is the second  
21 time we made a call?

22 A According to this transcript,  
23 Commissioner Sexton.

24 Q Who is Commissioner Sexton?

25 A Commissioner Sexton is Mary Sexton, she

1 was the Director of the Department of Natural  
2 Resources and Conservation at that time.

3 Q Was she your boss at that time?

4 A She was.

5 Q Okay. Now, after she made that  
6 statement, did you go tug on her shoulder and  
7 say, boss, boss, that's wrong?

8 A I don't remember.

9 Q You don't remember. Did you have an  
10 opportunity to review these minute -- the minutes  
11 of this meeting before it was converted into the  
12 final report?

13 A I don't recall, but certainly would  
14 have seen e-mail traffic on it.

15 Q Do you remember anybody saying we  
16 should change that sentence, it's wrong?

17 A No, I do not.

18 Q Thank you.

19 MR. KASTE: No further questions, Mr.  
20 Tubbs.

21 SPECIAL MASTER: Attorney General Fox.

22 GENERAL FOX: If I may have just a  
23 moment, Your Honor.

24 SPECIAL MASTER: You certainly may.

25 REDIRECT EXAMINATION

1           Q           (By General Fox:) Mr. Tubbs, you were  
2 asked some questions by Mr. Kaste concerning your  
3 involvement in a Compact Commission meeting; is  
4 that correct?

5           A           That is correct.

6           Q           And in particular he asked you about  
7 the statements concerning two formal written  
8 calls made to the State of Wyoming; is that  
9 correct?

10          A           That is correct.

11          Q           And to your recollection have there  
12 been other conversations between the State of  
13 Montana and Wyoming, and as you sit here today,  
14 are you aware of any such conversations in which  
15 Montana has made requests or expressed concerns  
16 concerning the delivery of water across the state  
17 line?

18          A           Yes, as I was an employee of Rich Wein,  
19 in the Water Management Bureau and then his peer  
20 as the Chief of the Resource Development Bureau,  
21 I was aware of continued discussions within the  
22 department about concerns over Wyoming's delivery  
23 of water under this Compact for many years.

24          Q           And as I understand it, the comment  
25 concerning the two written formal calls that are

1 in the transcript that Mr. Kaste referred to are  
2 comments by your former boss, Administrator  
3 Sexton; is that correct?

4 A That is correct.

5 Q And those were not your comments,  
6 correct?

7 A Those are not my comments.

8 Q And do you know whether or not those  
9 comments were made in the context  
10 contemporaneously with that meeting or  
11 historically over the entire length of the  
12 Compact since 1950?

13 A I don't know the answer to that.

14 Q In fact, Commissioner Sexton is not  
15 here today, so none of us know, correct?

16 A That's correct.

17 GENERAL FOX: Nothing further, Your  
18 Honor.

19 THE COURT: Thank you very much.

20 MR. KASTE: May I go again?

21 SPECIAL MASTER: You're fine.

22 MR. KASTE: I'm fine.

23 THE COURT: At this stage, then, Mr.  
24 Tubbs, you're excused.

25 And, Mr. Draper, if you want to call

1 your next witness.

2 MR. DRAPER: Thank you, Your Honor.

3 With that, I would call our next  
4 witness, Dale Book, Your Honor.

5 Whereupon,

6 DALE BOOK,

7 having been first duly sworn, was examined and  
8 testified as follows:

9 DEPUTY CLERK: Please state your name  
10 and spell it for the court reporter, please.

11 THE WITNESS: Good morning. My name is  
12 Dale Book, D-a-l-e, B-o-o-k.

13 DIRECT EXAMINATION

14 Q (By Mr. Draper:) Good morning, Mr.  
15 Book.

16 A Good morning.

17 Q What is your professional address?

18 A I am a consulting engineer with the  
19 firm Spronk Water Engineers, and that is at 1000  
20 Logan street, Denver, Colorado, 80203.

21 Q I'd like to ask you a few questions  
22 about your background. And in that regard, I  
23 would ask everyone to turn to Exhibit M-5, which  
24 contains primarily your report of January 4,  
25 2014, but at the back is your resume, the last

1 six pages. Looking at your resume, Mr. Book,  
2 would you briefly summarize your education  
3 and experience relevant to this proceeding?

4 A Yes. I am a civil engineer. I have a  
5 bachelor's degree in civil engineering from the  
6 University of Illinois. And I have a master's  
7 degree in civil engineering from Colorado State  
8 University. Both of those degrees are  
9 specialized in water resources. Since 1976 I  
10 have been a consulting engineer in the field of  
11 water resources engineering.

12 Q And in that regard have you worked in  
13 various consulting positions and founded an  
14 engineering firm?

15 A Yes. After working at three prior  
16 firms, in 1984 I was one of the founding  
17 principals of the firm Spronk Water Engineers.  
18 We are a water rights engineering firm practicing  
19 out of Denver, Colorado. We specialize in  
20 surface water supply, water rights engineering.  
21 We do work in a variety of states throughout the  
22 west doing work related to the prior  
23 appropriation system and water supply and water  
24 projects.

25 SPECIAL MASTER: So, Mr. Book, I notice

1 you have a glass of something in front of you,  
2 but if you need any additional water, was that  
3 even your glass there?

4 THE WITNESS: I'm not sure if it was.

5 SPECIAL MASTER: Why don't we get you  
6 some water.

7 THE WITNESS: I do have water, though.

8 SPECIAL MASTER: I see you do. I just  
9 wanted to make sure. I didn't see it cross the  
10 bench there. Sorry, Mr. Draper.

11 MR. DRAPER: Thank you very much, Your  
12 Honor.

13 Q (By Mr. Draper:) Can you give some  
14 representative examples of the type of work that  
15 you have performed in the past relevant to this  
16 proceeding?

17 A Yes. The work that I do relates  
18 primarily to the water rights acquisition and  
19 evaluation of water rights for various water  
20 users, primarily in the State of Colorado, but in  
21 other states as well. I assist in quantification  
22 of historical use of water rights which forms the  
23 basis for water rights changes, which are  
24 necessary in a lot of western states to obtain  
25 water supplies.

1           As part of that, I have developed, and  
2 my firm has developed over the years, a lot of  
3 experience in evaluation of irrigation use of  
4 water, since irrigation forms the larger  
5 component of use of water rights that form the  
6 basis for new water supplies.

7           In addition I have worked for municipal  
8 water providers both to determine water demands  
9 and water use characteristics and to develop  
10 plans to develop water supplies for  
11 municipalities. That work has primarily occurred  
12 within the State of Colorado.

13           We have what are termed plans for  
14 augmentation in Colorado, which typically involve  
15 the conjunctive use of ground and surface water,  
16 the combination of development of ground water as  
17 well as reservoir water supplies, and the basis,  
18 in a lot of instances, be changes of water rights  
19 from senior water rights that had a history of  
20 irrigation use.

21           As part of our work we are often  
22 involved in documenting both the historic use and  
23 development of irrigation as well as technical  
24 analysis of irrigation, irrigation management,  
25 things such as conveyances, losses, and

1 deficiencies and crop water use, things of that  
2 nature. Those are largely the technical issues  
3 that are involved in water rights engineering.

4 Q In the course of your career, have you  
5 had any experience with hydrologic issues related  
6 to interstate relations or interstate Compacts?

7 A Yes, I have, going back for a period of  
8 approximately 25 years I have been involved in  
9 several large interstate compact litigation  
10 cases. Two of those have been working for the  
11 State of Kansas. One compact was the Arkansas  
12 River Compact. This is a case that began back in  
13 the mid 1980s, and involved extended periods of  
14 trial, both on liability as well as remedy. My  
15 role in that case involved data collection,  
16 evaluation of consumptive use, mapping of  
17 irrigated area, preparation and development of  
18 hydrologic modeling to determine impacts of  
19 upstream use, as it related to the state line  
20 flow and the delivery pursuant to that compact.

21 I have continued to be involved in that  
22 compact in the post-trial phase, I refer that as  
23 the implementation of decree which was entered in  
24 that case. And that involves actively updating  
25 on an annual basis the hydrologic modeling that

1 was developed as part of that case of water uses  
2 in the State of Colorado. Those updates are  
3 performed annually.

4 I have been involved in the post-trial  
5 activities for the Arkansas River Compact now for  
6 about ten years, and continue to assist the State  
7 of Kansas in that. And that involves, in  
8 addition to the modeling, interacting with the  
9 state water officials from the State of Colorado  
10 as that Compact implementation moves forward.

11 In addition to the Arkansas River  
12 Compact case, I have spent considerable time  
13 working on the Republican River Compact, which is  
14 a Compact between the three states of Nebraska,  
15 Colorado, and Kansas. This was a case that was  
16 filed in the mid 1990s, and then was resolved by  
17 a stipulation which involved, among other things,  
18 the development of a basin ground water modeling  
19 to implement the settlement. I was part of the  
20 committee that developed that ground water model,  
21 which then became documents as part of that  
22 decree in the year 2003.

23 Since that stipulation and decree were  
24 entered, I have continued to be involved as the  
25 proceeding was renewed during the last several

1 years related to compliance and enforcement of  
2 the Compact, and moving forward with the trial,  
3 the case moved forward relating to both  
4 liability, remedy, and damages. I participated  
5 in that trial. It occurred last summer. And I  
6 continue to be involved in the other compliance  
7 issues with the Republican River Compact on  
8 behalf of the State of Kansas.

9 Q In those two interstate cases, were you  
10 accepted as an expert in water resources  
11 engineering and related subjects?

12 A Yes, I was. I provided expert witness  
13 testimony in both of those proceedings before  
14 special masters.

15 Q Is the resume that appears at the end  
16 of Exhibit M-5 a true and accurate representation  
17 of your experience?

18 A Yes, it is.

19 MR. DRAPER: Your Honor, I would offer  
20 Mr. Book as an expert in water resources  
21 engineering, water rights, hydrology, and  
22 hydrologic modeling.

23 MR. KASTE: I don't agree that it's  
24 necessary, but I don't object.

25 SPECIAL MASTER: Then you can proceed to

1 question the witness for his expert opinions.

2 MR. DRAPER: Thank you very much.

3 Q (By Mr. Draper:) Did you prepare a  
4 report, Mr. Book, in the context of this  
5 proceeding?

6 A Yes, I did.

7 Q Is that the report that makes up most  
8 of Exhibit M-5?

9 A Yes.

10 Q What were you asked to do by the State  
11 of Montana?

12 A I was asked to evaluate the water use  
13 in both of the states as it is relevant to and  
14 pertains to Article 5 A of the Yellowstone River  
15 Compact. Specifically, that related to the  
16 pre-1950 water uses and water rights in the State  
17 of Montana, and then to evaluate -- investigate  
18 the post 1950 uses within the State of Wyoming in  
19 the Tongue River Basin.

20 Q How did you go about performing those  
21 duties?

22 A I began by compiling the available  
23 information that had been compiled in public  
24 domain reports; compilations of water use records  
25 in each of the two states; evaluation of aerial

1 photography to determine irrigated acreage;  
2 evaluation of federal reservoir records as well  
3 as federal stream flow records to determine  
4 stream flow characteristics of the basin. I  
5 evaluated water rights information that was  
6 available from the two states for the water  
7 rights both within the State of Montana and the  
8 State of Wyoming.

9 Q I would like to direct your attention  
10 to Figure 1 of your report, and I believe that is  
11 one of the maps that is enlarged and standing  
12 here in the courtroom; is that right?

13 A Yes.

14 MR. KASTE: I think there might need to  
15 be a point of clarification. It's not an  
16 identical map.

17 Q (By Mr. Draper:) Would you describe  
18 what has been enlarged in its relationship to  
19 Figure 1.

20 A This map is a map of the Tongue River  
21 in the State of Montana extending from, on the  
22 bottom, the Montana-Wyoming state line, and  
23 Decker is indicated there at the state line next  
24 to a stream flow gauge indicator. The counties  
25 are indicated. There's three counties within the

1 State of Montana that are within the Tongue  
2 Basin, the Bighorn, Little Coyote, and Custer  
3 County.

4 The Tongue River is indicated with the  
5 blue line as well as tributaries within the State  
6 of Montana. The tributaries that are named are  
7 Hanging Woman Creek, Otter Creek, Pumpkin Creek.

8 The Tongue River Reservoir is located  
9 near the bottom of the map, just about 15 miles  
10 downstream of the state line, just downstream of  
11 Decker. The river then flows for approximately  
12 180 miles from the reservoir down through various  
13 towns that are indicated on that map, down to  
14 Miles City. The towns indicated are Birney,  
15 Ashland, Bandenberg. Down near the bottom end of  
16 the basin near Miles City is also shown on the  
17 map, the location of the diversion and the upper  
18 reach of the canal, or the T&Y Canal.

19 SPECIAL MASTER: If I could interrupt  
20 for a moment, just go back to Mr. Kaste's  
21 question.

22 MR. KASTE: I withdraw that.

23 SPECIAL MASTER: The only thing that  
24 looks different to me is the emblem on the bottom  
25 left-hand corner.

1 MR. KASTE: Figure 1. There was a  
2 Figure 1 that I was looking at incorrectly. I  
3 apologize.

4 SPECIAL MASTER: Thank you.

5 Q (By Mr. Draper:) Mr. Book, is the  
6 enlarged version of Figure 1 the same except for  
7 its size as the Figure 1 that appears on page 45  
8 of your report?

9 A Yes.

10 Q We also have an enlargement of Figure  
11 2. I would ask you to describe that and confirm  
12 that this is an enlarged version of Figure 2 from  
13 your report, dated January 4, 2013, appearing on  
14 page 46.

15 A Figure 2 is a companion map showing the  
16 Tongue River Basin streams and basins, towns and  
17 reservoirs within the State of Wyoming. It picks  
18 up where the other map left off at the Tongue  
19 River Reservoir at the top of the map, and shows  
20 various tributaries within Wyoming, including the  
21 main stem of the Tongue River, which extends up  
22 from Decker through the towns of Ranchester and  
23 Dayton to the west, as well as the significant  
24 tributary Goose Creek, which includes Big Goose  
25 Creek and Little Goose Creek, which enters the

1 Tongue River just to the north of the City of  
2 Sheridan. Also, shown on this map is the Prairie  
3 Dog Creek out to the east side of the Goose Creek  
4 Basin, which flows into the Tongue River near the  
5 state line.

6 Also shown on this map in addition to  
7 the various tributaries, are a series of  
8 reservoirs at the bottom of the map there, which  
9 are high in the Tongue River Basin up above  
10 Sheridan. Those are a series of reservoirs which  
11 I have referred to as the Compact reservoirs.  
12 Those are a series of reservoirs which are  
13 documented what their pre and post 1950 storage  
14 capacity is in the annual Compact reports, and  
15 those reservoirs are named on this map. There's  
16 a more detailed map later in the report, which  
17 will show those in more detail.

18 Q Does this map show stream gauges?

19 A Yes, it does. There is a symbol  
20 indicated for various stream flow gauges.

21 Q That's the circle with black and white  
22 subdivision within the circle?

23 A Yes.

24 Q And are those gauges also indicated by  
25 their USGS number?

1           A           Yes, they are.

2           Q           Is that the unique way in which the  
3 USGS identifies gauges throughout the United  
4 States?

5           A           Yes.

6           Q           Does this map show any towns?

7           A           Yes, this shows Ranchester, Dayton, and  
8 Sheridan in Wyoming. Decker is also noted here  
9 at the top of the map in Montana.

10          Q           And Dayton and Ranchester are located  
11 on the main stem of the Tongue River over to the  
12 left side of the basin as we see it here?

13          A           Yes.

14          Q           I'd like to turn your attention to  
15 Appendix A of your report. Can you describe  
16 where that appears in your report and what it  
17 shows?

18          A           Appendix A it begins on page 68 of the  
19 report. This is a compendium of air photos with  
20 the mapping of the irrigated area within the  
21 State of Montana. This set of maps contains 19  
22 sheets. It is organized to go from the bottom  
23 end of the basin beginning at the diversion point  
24 for the T&Y Canal and it extends up to the state  
25 line up above the reservoir on sheet 19. This

1 set of maps, the irrigated area delineated for  
2 diversions out of the main stem of the Tongue  
3 River in Montana, it is based on 2009 aerial  
4 photography.

5 Q And how did you use this information in  
6 your analysis?

7 A This information was compiled to  
8 document what the currently irrigated area is  
9 within the State of Montana, taking water out of  
10 the Tongue River upstream of the T&Y Canal.

11 Q Let me turn your attention to Tables 1  
12 and 2, and ask you to describe where they appear  
13 in your report. I believe it's page 26 and 27,  
14 if I'm not mistaken. What that data and  
15 information, what relationship that has to the  
16 work you did.

17 A Table 1 is identified on page 26 in the  
18 report, and this is a tabulation of the stream  
19 flow at the state line on the Tongue River at the  
20 Decker gauge. This extends for the period of  
21 record specifically for this Decker gauge, which  
22 begins in 1961. This is tabulation of monthly  
23 acre-feet of flow at the gauge. It is organized  
24 in a USGS water year format October through  
25 September, and this extends through the year

1 2007.

2 Q And this is from the gauge with the  
3 number indicated at the bottom of the Table?

4 A Yes, it is.

5 Q And that corresponds to the number  
6 shown on the maps we just looked at?

7 A Yes.

8 Q And the next page, Table 2, does that  
9 relate to what you were just describing in  
10 Appendix A?

11 A Table 2 is a tabulation of the  
12 irrigated area from Appendix A. This, again, is  
13 the 2009 based aerial photograph interpretation  
14 of the irrigated area located upstream of the T&Y  
15 Canal. And I've got the acreages listed between  
16 various reaches. I've got it segregated in four  
17 reaches. The total irrigated as well as  
18 partially irrigated off the photograph  
19 interpretation for that year was 14,380 acres.  
20 In addition to that, the T&Y Canal irrigated land  
21 from two different sources is indicated also on  
22 this Table, that's approximately 10,000 acres. I  
23 did not map the irrigated area for the T&Y Canal  
24 as part of this effort, but I note the irrigated  
25 acreage under two different sources, including

1 the 1914 Miles City decree, and the water  
2 resource surveys, completed by the State of  
3 Montana in the mid 1940s, what the acreages were  
4 that they had determined for the T&Y Canal.

5 If you combine the total, the 14,380  
6 plus the T&Y Canal, that represents the amount of  
7 acreage actively served from Tongue River flows  
8 in Montana.

9 SPECIAL MASTER: Mr. Draper, if you  
10 don't mind, occasionally if I may have a  
11 clarifying question.

12 MR. DRAPER: I welcome them.

13 SPECIAL MASTER: Could you just quickly  
14 tell me the difference between irrigated an  
15 partially irrigated acreage?

16 THE WITNESS: Yes. The aerial photo  
17 interpretation -- interpretation involves  
18 judgment about what is irrigated, and some of the  
19 other studies in the Tongue River Basin, both in  
20 Montana and Wyoming, had separated partially  
21 irrigated from irrigated, partially irrigated  
22 meaning either the field does not appear to be  
23 fully irrigated at that given point in time, or  
24 the water supply does not appear to be complete.  
25 But, again, that's from an air photo

1 interpretation. So it's probably more generally  
2 related to specific fields where the coverage  
3 didn't appear complete over the field.

4 So this was our interpretation of part  
5 of the acreage from air photo inspection appears  
6 partially irrigated.

7 SPECIAL MASTER: Okay. Thanks.

8 Q (By Mr. Draper:) Mr. Book, did you  
9 also investigate irrigated acreage in the Tongue  
10 River Basin in Wyoming?

11 A Yes, I did.

12 Q Is the results -- do the results of  
13 that investigation appear in Table 3 on page 28  
14 of your report?

15 A Yes, they do. On page 28, Table 3 is a  
16 summary of the irrigated area in the Tongue River  
17 Basin in Wyoming. This shows four different  
18 points in time, three previous studies, and the  
19 mapping that I did as part of this investigation.  
20 The last column to the right in this Table  
21 references 2006 is the mapping that I prepared  
22 for this report based on 2006 aerial photography  
23 and using the metric results, which I'll describe  
24 a little bit more later, but the metric ET  
25 mapping, which was prepared by Mr. Allen, was

1 used to help quantify the irrigated acreage in  
2 2006.

3           The three other points are from prior  
4 investigations. Those are, starting from the  
5 left, the acreages that are considered to be  
6 representative of the acreage irrigated in the  
7 basin at the time of the Compact, or prior to the  
8 time of the Compact, pre-1950, this was a map --  
9 a set of maps that was developed by the United  
10 States Bureau of Reclamation, and it corresponded  
11 to conditions in 1939 to 1942. The total as part  
12 of that mapping was almost 69,000 acres. The  
13 second study indicated here is from a State of  
14 Wyoming study, it is referenced in Footnote 2 on  
15 this Table, an inventory that Wyoming completed,  
16 and they quantified 64,000 acres irrigated at  
17 that time.

18           The third column in this Table is the  
19 what I have been referring to as the basin plan,  
20 it was a study that was completed for the State  
21 of Wyoming by HKM in about 2002, and they  
22 performed GIS-based mapping and classification of  
23 the lands in Wyoming and quantified the irrigated  
24 area based on their mapping at 70,000 acres.  
25 These are all considered comparable by me for the

1 total acreage in Wyoming.

2           The reason that the 2006 number is  
3 lower in this Table is due to water supply.  
4 After a series of dry years, some of the lands  
5 that had been being irrigated primarily as mapped  
6 in the basin plan were either not actively  
7 irrigated in 2006 or the metric results indicated  
8 partial irrigation.

9           I should clarify that the basin plan  
10 mapping that's referred to is 2002, that's when  
11 the study was published, but that mapping was  
12 actually based on 1996 conditions, which  
13 pre-dates the very dry years that we are talking  
14 about.

15           Q       I should mention the basin plan to  
16 which Mr. Book is referring is joint Exhibit 58.

17                   Is the information shown on Table 3, is  
18 that described in the body of your report at page  
19 6 and shown in Figures 3-A and 3-B further back  
20 in your report. When I refer to 3-A and 3-B, I'm  
21 looking at pages 47 and 48.

22           A       Yes. The analysis and the process that  
23 I went through to map the irrigated area in  
24 Wyoming is described on pages 5 and 6. The  
25 mapping of the irrigated area is shown on the

1 Figures 3-A and 3-B which are at pages 47 and 48  
2 of this report.

3 Q Looking at Figure 3-A on page 47, could  
4 you describe a little more detail about what is  
5 shown there and how it relates to Figure 3-B on  
6 the next page?

7 A Yes. You are looking at now both  
8 Figures 3-A and 3-B, this is a two-part map. 3-A  
9 is on the north part of the basin from the state  
10 line down to just a little bit south of Sheridan,  
11 and then Figure 3-B is the upper part of the  
12 basin to the south of Sheridan primarily in the  
13 Little Goose/Big Goose Creek Basin as well as the  
14 upper part of Prairie Dog Creek.

15 What I have indicated on this map is in  
16 the dark green, the irrigated land which  
17 corresponds to the 61,000 acres shown on Table 3,  
18 and then I have also indicated another category  
19 of lands, the idle limited irrigation lands that  
20 were determined for this year.

21 The basis for this mapping started with  
22 the basin plan mapping that was developed as part  
23 of the 2002 HKM study for Wyoming, and then if  
24 additional parcels were identified as developed  
25 or irrigated, those were added to the coverage

1 that had been prepared as part of the Wyoming  
2 basin plan mapping. That combination forms the  
3 GIS coverage for this map, and then the lands  
4 were classified in either of the two categories  
5 that I've indicated.

6 The other thing that I've included on  
7 this map is some of the more significant ditches  
8 on the various tributaries. Those are in light  
9 blue, so you have to look a little bit harder to  
10 see those on the map, but there are a number of  
11 ditches that are included on this map.

12 Q Did you refer in your investigation to  
13 what's known as the Division 2 tab book as part  
14 of your work?

15 A Yes, I did.

16 Q The Division 2 tab book has been  
17 introduced into evidence as Exhibit J-63. We  
18 might turn to that for a moment, if you would.  
19 The document about two inches thick.

20 MR. DRAPER: And, I believe, Your  
21 Honor, you have a copy of that available. I  
22 guess it's on the side table, Your Honor, to your  
23 left.

24 SPECIAL MASTER: Okay.

25 MR. DRAPER: It's about two inches

1 thick.

2 SPECIAL MASTER: It's which number?

3 MR. DRAPER: It's next to the box, I  
4 understand.

5 SPECIAL MASTER: Go ahead.

6 Q (By Mr. Draper:) Mr. Book, do you have  
7 a copy of Exhibit J-63.

8 A Yes, I do.

9 Q Would you identify it, please?

10 A This is the 1999 tabulation of  
11 adjudicated surface water rights for Division No.  
12 2 for the State of Wyoming.

13 Q What is included in the tabulation of  
14 adjudicated surface water rights, Division 2?

15 A This is the basic tabulation of water  
16 rights in Wyoming as of the time of the  
17 publication listing the various elements of the  
18 water rights that are adjudicated, including the  
19 aim of the original appropriator, the permit  
20 number; the priority date; the type of use; the  
21 amount, whether it's in cubic feet per second if  
22 it's a direct flow right, or acre-feet if it's a  
23 storage right; the number of acres served as well  
24 as a location of the point of diversion.

25 Q Is there an index in the beginning of

1 the tabulation book?

2 A Yes, there's an alphabetical index that  
3 starts on the third page of the exhibit and  
4 extends for some number of pages listing all of  
5 the sources of water.

6 Q I might ask you to turn to page 46 as  
7 identified in the upper right corner of each page  
8 next to the October, 1999 date. There are page  
9 numbers.

10 On page 46, which is part of the index,  
11 is the Tongue River listed on the left-hand side  
12 of that page?

13 A Yes, it is. It appears that that's  
14 referring to page 545 of the tabulation.

15 Q So if we turn to page 45 in this  
16 document as those pages are numbered in the upper  
17 right-hand corner, on page 45 do we see the  
18 listing at the bottom of that page identified as  
19 Tongue River tributary of Yellowstone River?

20 A Yes.

21 MR. DRAPER: Your Honor, did you find  
22 that?

23 SPECIAL MASTER: Yes, I'm following.  
24 Thank you.

25 MR. DRAPER: Great.

1           Q           (By Mr. Draper:) Could you give us an  
2 example of the type of information by looking at  
3 this or a nearby page, Mr. Book.

4           A           Well, just looking at the first record  
5 under the Tongue River, this is indicated under  
6 the permit number as a territorial right, which  
7 means the date predates statehood in Wyoming.  
8 You need to get down some number of lines further  
9 into the entries before you hit a permit number  
10 there, which occurs starting after 1890, I  
11 believe it is, partway down the next page.

12 Anyway, back on the record. I was looking at the  
13 Tongue River No. 1 is the ditch on the Tongue  
14 River, in this case the appropriator was Mr.  
15 Henry Baker. The priority here is not listed as  
16 a date but as a season. It's spring of 1882 for  
17 use of irrigation, and the flow rate and acreage  
18 are indicated. Most, if not all, of these  
19 acreages correspond to 1 cfs per 70 acres.

20           Q           So under the column cfs for Mr. Baker's  
21 right, 2.43 refers to the number of cubic feet  
22 per second that that right is adjudicated to  
23 take?

24           A           Yes.

25           Q           And the acres in that case are 170

1 acres?

2 A Yes.

3 Q And what is HGLOC refer to?

4 A Headgate location. And that's a  
5 township range, should be section township range.

6 Q And so for the pages following the one  
7 that you've referred to here is a listing of the  
8 water rights as shown in the tabulation Book?

9 A Yes, these are listed in order of  
10 priority on a source by source basis. So we are  
11 in the list now of appropriations from the Tongue  
12 River.

13 Q And would that be the main stem of the  
14 Tongue River?

15 A Yes. One thing I should point out, or  
16 additional thing I should point out, is that  
17 there will be numerous appropriations within a  
18 single ditch, and each of those appropriations  
19 are listed separately, but if you scan the list,  
20 you will notice that there are multiple entries  
21 for a ditch, and the total water right within any  
22 given ditch will be the water rights, the various  
23 water rights combined from this list.

24 Q And do the main stem rights continue  
25 from page 545 on through the end of page 550?

1           A           Yes.

2           Q           And then after that --

3           A           The last entry on page 50 was a 1989  
4 water rights right, the Addleman sprinkler.

5           Q           And then on the next page you begin  
6 with other sources.    The last page, 50, looking  
7 at the priorities there, those appear to be post  
8 1950 water rights, all of them on page 550, for  
9 instance.    Would that be a correct way to read  
10 that?

11          A           Yes.    The break between the pre and  
12 post 1950 happens to correspond to this page.    So  
13 the first entry on this page is 1951.

14          Q           Now, on this page I notice that in the  
15 cfs column that you described earlier a little  
16 bit to the right of the center of the page, there  
17 are some entries instead of a number, which would  
18 be the number of cubic feet per second, there is  
19 the abbreviation, S.S.    What does that refer to?

20          A           That refers to supplemental supply, and  
21 for those water rights which are permitted as  
22 supplemental supply, there is generally a note in  
23 the tabulation which indicates where the original  
24 supply was from.    So, for example, in the first  
25 entry on this page, it's the enlarged interstate

1 ditch, and there are two components to this water  
2 right. The first is what I refer to as original  
3 appropriation, it's for 12 acres with the  
4 appropriation date April 23, 1951. And then  
5 there is a supplemental components of this water  
6 right and the note indicates that this is for a  
7 35 acres on land that was served from the other  
8 source indicated in the note here, which is the  
9 enlarged grade water ditch, which takes water  
10 from Young's Creek. Young's Creek is a minor  
11 tributary on the north side of the river, very  
12 near the state line, and it enters the river  
13 around the service area of the interstate ditch,  
14 which is the ditch at the state line.

15           So in this example the water right  
16 includes supplemental supply which is an  
17 unquantified amount of cfs for use on this 35  
18 acres.

19           Q       And is that water taken from the Tongue  
20 River main stem?

21           A       Yes, through the interstate ditch.

22           Q       And we see several entries there  
23 related to the interstate ditch with the acreages  
24 that were added at the priority dates there. Are  
25 there other entries as you go down the page that

1 refer to the enlarged interstate ditch? For  
2 instance, about seven or eight or nine entries  
3 down, enlarged interstate or Pernoyer ditch, the  
4 appropriator Ralph DeLapp?

5 A Yes, that's a 1963 priority. I see  
6 about five entries there for the interstate or  
7 Pernoyer ditch on this page.

8 Q And the acreage is associated or shown  
9 in the acreage column on the right?

10 A Yes.

11 Q Did you utilize this tabulation in your  
12 work in this case?

13 A I consulted with this tabulation. I am  
14 aware of the status of the tabulation as 1999  
15 status of the water rights. As I'll describe for  
16 the purpose of the specific tabulations that I  
17 made, I relied on a version of this tabulation  
18 that was prepared by Mr. Napp in the Sheridan  
19 office, where he developed a tabulation of the  
20 water rights in an electronic database, which was  
21 sourced from the tabulation, as well as other  
22 information.

23 Q Did you in your work, also, refer to  
24 hydrographer reports prepared in Division 2 in  
25 Wyoming?

1           A           Yes, I did.

2           Q           And is a set of those hydrographer  
3 records contained in Exhibits M-458 through  
4 M-480?

5           A           Yes.

6           Q           And that brings, as I understand it,  
7 the hydrographer reports from their inception in  
8 1980 through 2005, and is the hydrographer report  
9 for 2006 identified as Exhibit J-62, in other  
10 words, a joint exhibit?

11          A           Yes.

12          Q           Do you have a copy of Exhibit J-62?

13          A           Yes, I do.

14                   MR. DRAPER: Your Honor, I believe you  
15 have a copy of J-62.

16                   SPECIAL MASTER: I do.

17          Q           (By Mr. Draper:) Is this an  
18 appropriate example of what are contained in the  
19 hydrographers annual reports?

20          A           Yes, it is.

21          Q           Would you describe what types of  
22 information appear here, particularly the types  
23 of information that you found useful in your  
24 investigation?

25          A           Yes. This is an annual compilation of

1 data for Division 2. It is separated into two  
2 parts. It is prepared by the state water  
3 officials in the Sheridan office, or various  
4 offices related to that. It is separated between  
5 the Powder River drainage and the Tongue River  
6 drainage. Of particular interest for my work in  
7 this case was the data that was compiled for the  
8 Tongue River drainage. The water districts are  
9 listed on the third page of the exhibit, Bates  
10 number that ends in 885. This is a listing of  
11 the water districts adjacent to a map of the  
12 water districts, in particular we are interested  
13 in Districts 4 and 5, and District 11, which is  
14 the Prairie Dog drainage tributary basin.

15           The general information that's  
16 contained in these reports is stream flow  
17 records, diversion records for selected ditches,  
18 as well as reservoir records for selected  
19 reservoirs.

20           If you turn to Bates number 887, just a  
21 couple of pages in, that is a listing of the  
22 tabulations for the Tongue River drainage. For  
23 example, at the top this lists under Section 5  
24 the reservoir records. This is a set of records  
25 that I relied upon for these various reservoirs,

1 which I refer to as the Compact set of  
2 reservoirs. There's a list that begins at Cross  
3 Creek Reservoir and ends at Saw Mill Reservoir.  
4 The information available for those reservoirs is  
5 included in these reports.

6           There are also stream flow records at  
7 various gauges maintained by the State of Wyoming  
8 that are compiled in this report. And then there  
9 are recorded ditch diversions for those ditches  
10 listed in Section 7. I think that's a summary of  
11 the types of information and data and records  
12 that are contained in these reports, which form  
13 the basis for a lot of the data that I have  
14 relied upon.

15           In addition to the data, there are  
16 short narratives for each of the two basins, the  
17 Powder and the Tongue. These narratives provide  
18 a general description of the water supply  
19 conditions in the basin for that given year.

20           Q       If we could turn to page 92, please, I  
21 believe that's the page that begins the Tongue  
22 River drainage section of this report.

23                    What is shown there?

24           A       Did you refer me to page 92?

25           Q       Yes.

1           A           Yes, that's a map of the Tongue River  
2 Basin, which shows the various streams,  
3 tributaries. This is for the Goose Creek  
4 drainage on the right side, or the east side, as  
5 well as the main stem of the Tongue River. And  
6 for frame of reference you can see the towns of  
7 Ranchester and Dayton shown there, that's the  
8 Tongue River going through that. There are a  
9 couple of tributaries indicated on the north  
10 side, Columbus Creek and Smith Creek. There are  
11 gauge numbers indicated on this map which show  
12 the locations of ditch diversions and stream flow  
13 gauges where data are reported.

14           Q           Are there the district numbers shown on  
15 this map?

16           A           Yes. We are interested here in  
17 Districts 4 and 5. Any records related to  
18 Prairie Dog Creek are included, I believe, in the  
19 Powder River Basin earlier in the report.

20           Q           I see. And District 6 is shown on this  
21 map. Is that of any relevance to our proceeding  
22 here?

23           A           No, it's not. That's a tributary to  
24 the Bighorn River, not part of the Tongue Basin.

25           Q           And do the numbers given specific

1 features on this map, are they related to the  
2 numbers shown on the next two pages of gauging  
3 stations and reservoirs?

4 A Yes.

5 Q And what follows that listing on page  
6 95?

7 A On page 95, extending on to 96, is the  
8 narrative that I mentioned. In this case it's  
9 written by Mr. William Napp for this year  
10 describing the water supply conditions in the  
11 Tongue basin.

12 Q And then in the following pages we get  
13 into the detail of the reservoir records, stream  
14 flow records, and ditch diversions that you  
15 mentioned when we were looking at the Table of  
16 contents?

17 A Yes.

18 Q And then for your purposes of your work  
19 it was important to also look at Prairie Dog  
20 Creek, which is in the Powder River drainage?

21 A Yes.

22 Q And why was that important?

23 A Prairie Dog Creek is a tributary to the  
24 Tongue River and the water use in Prairie Dog  
25 Creek is relevant to this analysis.

1           Q       Are there any immediate conclusions  
2 that can be drawn from this and similar  
3 tabulations with respect to the extent of  
4 regulation?

5           A       The narrative that's contained in this  
6 report includes information either directly or  
7 indirectly that relates to regulation activities  
8 in the basin. I think most notably would be  
9 references to when reservoirs begin releasing  
10 during the year. The reservoirs up high in the  
11 basin, describe those in a little more detail  
12 later, but that kind of information is indicative  
13 when regulation begins in the Goose Creek  
14 drainage. Occasionally there will be some  
15 discussion or comments about dates of regulation  
16 in some of the dryer years. I don't particularly  
17 see one here in 2006. I know those in some of  
18 the reports such comments have been made.

19          Q       And does it lead to any inferences or  
20 conclusions about the extent of regulation on the  
21 main stem of the Tongue River?

22          A       Yes. The references to regulation that  
23 I have noted relate to the Goose Creek Basin.  
24 They don't document that water rights were  
25 regulated on the main stem of the Tongue River

1 below Goose Creek and up the Tongue and the north  
2 side tributaries.

3 Q You referred to a tabulation of water  
4 rights by Mr. William Napp, one of the water  
5 commissioners in Division 2. Let me turn in that  
6 regard your attention and the court's to Exhibit  
7 M-20. Mr. Book, do you have a copy of Exhibit  
8 M-20? If not, I have one that I could provide  
9 you.

10 A I don't believe I do.

11 MR. DRAPER: Your Honor, may I pass a  
12 copy of the exhibit to the witness?

13 SPECIAL MASTER: Yes, you may.

14 MR. DRAPER: Thank you.

15 Q (By Mr. Draper:) Mr. Book, what is  
16 Exhibit M-20?

17 A M-20 is what I have been referring to  
18 as the Napp tabulation of water rights in the  
19 Tongue Basin. This is indicated in the lower  
20 left-hand corner as an excel spread sheet. It's  
21 an electronic database. It contains generally  
22 the information from the tabulation that we  
23 looked at previously for the water rights, the  
24 adjudicated water rights. In addition, it  
25 contains some other information related to the

1 location of use. If you'll notice, there's a  
2 series of boxes out to the right which contain  
3 Yes in locations. That's the location of the  
4 water use.

5 Q When you referred to the earlier  
6 tabulation were you referring to the Division 2  
7 tab book which is Exhibit J-63?

8 A Yes. There's more information on  
9 this -- in this tabulation. If you look at the  
10 second page, it's on the back of the first page,  
11 it's referred to as page 2 of 18. The record  
12 continues on. In addition to the information on  
13 the first page, it contains the source listed in  
14 the remarks, which are narrative descriptions of  
15 the status of the water rights, documenting the  
16 status of various transfers that have occurred.  
17 My understanding is that this narrative was  
18 developed by Mr. Napp from reading the underlying  
19 documents for the water rights or the records in  
20 the county courthouse that related to various  
21 transfers of these water rights. So in addition  
22 to the tabulation, this Napp tabulation contains  
23 information in the remarks column that is helpful  
24 as well as information about the location of use.

25 Q How does the first page relate to the

1 second page?

2 A When I printed out this tabulation, I  
3 kept the first, looks like about seven columns on  
4 the left-hand side, which contain information  
5 about the source of records, either from the  
6 county records or from the State's files. Those  
7 are referred to as the order book and page -- the  
8 order record, the certificate record, book and  
9 page, and the proof number, and then the permit  
10 number and the name of the ditch have been  
11 carried over. And then on the second page would  
12 simply put the source and the remarks. So the  
13 records are the same for two consecutive pages.

14 Q In other words, the second page is an  
15 extension, or shows extended material that was  
16 too far to the right to print on the first page?

17 A Yes, that's correct.

18 Q So the first, just to be sure we  
19 understand, the order record book is Book No. 1  
20 and the page is 246?

21 A Yes.

22 Q And on the second page we see at the  
23 top of that page also order record Book 1 page  
24 246, and that's the same water right?

25 A Yes.

1 Q And you've repeated a few of the  
2 columns that are at the left of the first page?

3 A Yes.

4 Q And what do we see on the third page?

5 A On the third page then starts a new set  
6 of records.

7 Q I notice that the first one on the  
8 third page, just for clarification, also has the  
9 same book and page number order record but it has  
10 a permit number of 776 as compared to the first  
11 we were looking at which was 772. Are those two  
12 different water rights?

13 A Yes, those are proof numbers that you  
14 were referring to. I think at the front of the  
15 tabulation there are territorial rights.

16 Q So the entry under the permit number is  
17 territorial?

18 A Right.

19 Q Are these in priority order, these  
20 water rights?

21 A Yes, by source. So each source is  
22 listed. It's similar to the way the tabulation  
23 is organized. It's first broken out by source  
24 and then from each source it's listed in order of  
25 priority.

1 Q And as we go down that list, the  
2 territorial designation under the permit number  
3 become actual permit numbers after statehood; is  
4 that right?

5 A Yes.

6 Q What in this tabulation was important  
7 for your work?

8 A This was a tabulation that I used to  
9 prepare a listing of all of the post 1950  
10 adjudicated water rights in the Tongue River  
11 Basin in Wyoming and included as part of my  
12 report. And then that formed the basis for those  
13 water rights that were further evaluated.

14 Q So this information formed part of the  
15 basis for the tabulation in Table 3 in your  
16 report and the corresponding mapping in Figures  
17 3-A and 3-B that we discussed earlier?

18 A I was thinking more in terms of the  
19 tabulation of water rights that we had prepared  
20 which follow up later, both the storage and  
21 direct flow water right permits.

22 Q What other information did you rely on  
23 for purposes of establishing the irrigated area  
24 in Wyoming?

25 A As mapped on Figures 3-A and B, I think

1 we've described most of the basis for that. It  
2 was a combination of the mapping that was  
3 available from the basin plan report, the aerial  
4 photography that I evaluated for 2006 conditions,  
5 and the metric ET mapping that was provided by  
6 Dr. Allen.

7 Q And you relied also on the HKM basin  
8 plan that you referred to earlier, Exhibit J 58?

9 A Yes.

10 Q Did you also rely on mapping by the  
11 Bureau of Reclamation?

12 A The Bureau of Reclamation mapping, that  
13 was the source of information that was shown on  
14 the first column in Table 3, it was the basis for  
15 the quantification that was made for the period  
16 prior to the Compact.

17 Q And was the basis of that mapping, the  
18 survey map of the Yellowstone River Basin by the  
19 Bureau of Reclamation dated 1944 which has been  
20 marked as Exhibit M-17?

21 A Yes.

22 Q Did you also rely for this purpose on  
23 the Banner and Associates report of 1985,  
24 entitled, Tongue River Level One Final Report  
25 that's been identified as Exhibit M-21 in this

1 proceeding?

2 A The information that was relied upon  
3 from that report was primarily the tabulation of  
4 water rights that were included in that report.  
5 There was a set of maps that were developed.

6 Q And did you also rely for purposes of  
7 your analysis on Exhibit M-22, which is the  
8 Wyoming Water Planning Report No. 7, Irrigated  
9 Lands Inventory for Wyoming, dated January, 1971?

10 A Yes, that is referenced in footnote No.  
11 2 on Table 3, which is page 28 of my report, and  
12 I was simply citing the quantification of acreage  
13 that was developed as part of that study and  
14 reported in that report.

15 Q I'd like to turn now to your analysis  
16 of crop consumptive use in Wyoming. Do you  
17 describe that in your report beginning on page 6?

18 A Yes, I do, in a section of the report  
19 entitled, background, hydrologic and crop ET  
20 data. I have a description of the quantification  
21 of potential crop evapo- transpiration that I  
22 completed for this investigation.

23 Q In that process did you rely on either  
24 the stream flow data or the diversion data showed  
25 in appendices B and C of your report?

1           A           Those are separate tabulations of data  
2 in Wyoming compiling information in Appendix C  
3 from the approximately ten ditches. Appendix B  
4 is a compilation of stream flow data.

5           Q           And the Appendix C begins on page 105  
6 of your report, I believe. Briefly, what does  
7 that contain and how did you use that data?

8           A           Appendix C begins on page 106 in this  
9 report. The first page is a listing of the  
10 ditches. This is a compilation of the available  
11 diversion records, at least for ditches that have  
12 complete diversions reported from the annual  
13 hydrographer's report. I generally included in  
14 this tabulation the available period of record,  
15 which the available records generally started in  
16 1971. This provides background information for  
17 the various ditches in the Goose Creek drainage  
18 basin in Wyoming.

19                   From this information you can determine  
20 the variability of the water supply for the  
21 various ditches from year to year, as well as the  
22 season of use.

23           Q           What methodology did you use in order  
24 to determine crop consumptive use? And you might  
25 explain for us, if you would, the term ET that

1 you just used.

2 A Yes, the crop ET was determined  
3 separately from a weather data, compilation of  
4 the weather data, and a method, analytical method  
5 to calculate potential crop evapotranspiration,  
6 based on the availability of weather data, the  
7 method that was selected was the Hargreaves  
8 method. This is a methodology that relies on  
9 temperature data only.

10 The results of this are documented in  
11 appendix D.

12 Q Which appendix?

13 A D.

14 Q D as in --

15 A B.

16 Q How do you spell Hargreaves, by the  
17 way?

18 A This is a trick question. I'll spell  
19 it the way I have it my report.  
20 H-a-r-g-r-e-a-v-e-s.

21 Q I believe that's correct. Turning to  
22 Appendix D, which is entitled, Crop  
23 Evapotranspiration, and ET is an abbreviation of  
24 that word, evapotranspiration; is that correct?

25 A Yes, it is.

1 Q And that begins on page 120 of your  
2 report; is that right?

3 A Yes.

4 Q And using Appendix D, would you  
5 describe how you went about applying the  
6 Hargreaves method to determine crop ET?

7 A Yes. We compiled available data for  
8 the period 1990 through 2011 at several weather  
9 stations, both in the Tongue and Powder Basin.  
10 There's a map shown on page 128 of Appendix D  
11 which is a listing of the various locations that  
12 weather data was available for. I did the  
13 potential evapotranspiration calculation for  
14 eight weather stations. The primary locations  
15 that I ended up relying upon for this analysis  
16 were the Miles City station in Montana and the  
17 Sheridan station in Wyoming.

18 The Hargreaves method is a daily time  
19 step calculation of evapotranspiration potential  
20 using max and minimum temperature. Typically, if  
21 you have more complete weather data, such as wind  
22 run, humidity, and solar radiation, then you  
23 would apply a Penman Monteith type analysis. In  
24 this case because that type of data did not exist  
25 for this study period, the Hargreaves-Samani

1 methodology was used that has generally been  
2 found to give comparable results to Penman,  
3 relying only on temperature data.

4 Q Just for the record, Penman Monteith,  
5 how do you spell Monteith? Another quick trick  
6 question.

7 A I don't know if I can refer to  
8 something for that.

9 Q How about M-o-n-t-e-i-t-h?

10 A That sounds right.

11 Q Then when you just referred to the  
12 Hargreaves method, you used the term  
13 Hargreaves-Samani, is that hyphenated reference  
14 to essentially the same method as the Hargreaves  
15 method?

16 A Yes, it is.

17 Q And the name Samani, is that spelled  
18 S-a-m-a-n-i?

19 A Yes.

20 Q I interrupted your description of how  
21 the information shown in Appendix D was used to  
22 apply the Hargreaves method to determine crop  
23 evapotranspiration. If you would complete that,  
24 that would be great.

25 A The last step in the calculation of

1 crop evapotranspiration incorporated the  
2 precipitation. When you're calculating potential  
3 evapotranspiration, that is a total of  
4 evapotranspiration, and when you're evaluating  
5 irrigation use, either crop demand or depletions  
6 associated with irrigation water use, it's  
7 necessary to account for the contribution of  
8 precipitation in meeting that crop demand. That  
9 is typically referred to as effective  
10 precipitation, and so the last step in this  
11 analysis was to incorporate precipitation data  
12 and make a calculation of effective precipitation  
13 to convert the total evapotranspiration to what  
14 we refer to as the consumptive irrigation  
15 requirement, or irrigation. That was done, also,  
16 for this analysis to result in the consumptive  
17 irrigation requirement at these stations for this  
18 period of record.

19           The analysis is conducted for several  
20 crops. The crops that were determined to be the  
21 primary crops in the two states are primarily  
22 grass and alfalfa in the Wyoming part of the  
23 basin, and as you move downstream and into  
24 Montana there becomes more corn included with the  
25 alfalfa and grass mix. The crops that we

1 quantified evapotranspiration for were small  
2 grains, corn, alfalfa and grass at these  
3 stations.

4 Q So the specific crop is a factor in  
5 your determination of the evapotranspiration?

6 A Yes, it is. There are -- the evapo-  
7 transpiration calculation is a basic calculation  
8 driven by the weather data, but then as an  
9 integral step in that calculation is a crop  
10 coefficient, which is developed through research  
11 and is different for various crops and different  
12 for the various parts of the season. The  
13 calculation is done on a daily time step, and so  
14 there's a varying crop coefficient that is  
15 published and applied on a daily basis for each  
16 of the crops.

17 Q And is a crop coefficient needed in  
18 order to convert a reference crop  
19 evapotranspiration into the specific  
20 evapotranspiration associated with a certain crop  
21 other than the reference crop?

22 A Yes, it is.

23 Q What are the typical reference crops  
24 used?

25 A Either alfalfa or grass.

1 Q So these methodologies, like the  
2 Hargreaves, produce, first, a reference ET, and  
3 then you have to convert that using a crop  
4 coefficient to your particular crop mix?

5 A Yes, that's correct.

6 Q All right. And you have shown examples  
7 of the type of data you used in applying the  
8 Hargreaves method in Appendix D; is that right?

9 A Yes, Appendix D is a compendium of the  
10 data and the calculations and the results.

11 Q We've been talking about what's  
12 contained in Section 3.1 of your report, which is  
13 on pages 6 and 7. The other heading under crop  
14 ET is metric analysis. Would you describe that  
15 part of the work that you did?

16 A Yes. This is a satellite imagery based  
17 quantification of crop evapotranspiration,  
18 as well as just generally evapotranspiration not  
19 limited to a crop. This is an analysis that was  
20 performed by Dr. Richard Allen for two years  
21 within the State of Wyoming. The two years at  
22 issue in his quantification are indicated on page  
23 7 of my report, which is a short description of  
24 the analysis that Mr. Allen -- or Dr. Allen  
25 performed and will be testifying to. He

1 quantified the evapotranspiration for the two  
2 years, 2004 and 2006.

3           This result is comparable to the total  
4 evapotranspiration that I was describing with the  
5 Hargreaves method, and it's before any adjustment  
6 for precipitation contribution to those values.  
7 I indicate on page 7 of my report that in  
8 addition to providing the evapotranspiration  
9 results, which comes in the form of database that  
10 you can map, Dr. Allen also provided what he  
11 terms background ET rates, which would be the  
12 evapotranspiration rate based on precipitation  
13 only. That information, then, I used to convert  
14 the evapotranspiration rates to a comparable  
15 value to the consumptive irrigation requirement.  
16 In other words, the consumption of irrigation  
17 water.

18           Q       And why did you rely on Dr. Allen's  
19 work in addition to the Hargreaves analysis that  
20 you provided?

21           A       When you calculate the potential crop  
22 evapotranspiration and convert that to  
23 consumptive irrigation requirement, that is only  
24 the first step in a quantification of irrigation  
25 depletions. The essential step in that analysis,

1 after you quantify a potential, is to determine  
2 what the actual was based on water supply and  
3 what the available water is to satisfy the crop  
4 irrigation demand. In this basin in Wyoming in  
5 the types of years that we are looking at, there  
6 were parts of the basin that did not receive a  
7 full water supply due either to limited water  
8 supply under water rights or due to limited  
9 stream flow, which resulted in the actual  
10 evapotranspiration due to crops being less than  
11 the potential. If you had a full and complete  
12 set of records, either through records maintained  
13 by the agency, or some other way to determine the  
14 amount of water diverted and applied to the  
15 fields, then you could conduct a water budget  
16 analysis with standard assumptions to quantify  
17 the amount of consumptive use, which would be  
18 less than the potential.

19 In this case we had metric results  
20 available which incorporated the actual water  
21 supply, and on a parcel-by-parcel water-right-  
22 by-water-right basis, then you were able to  
23 determine what the actual supply limited  
24 evapotranspiration was in these two years. Based  
25 on the location of where we were looking in the

1 basin and the availability of records, limited  
2 records for the water rights that we were looking  
3 at, I concluded that the metric analysis provided  
4 a viable way to determine the parts supply  
5 consumptive use.

6 Q And when you say parts supply  
7 consumptive use, do you mean the actual  
8 consumptive use?

9 A Yes, the actual, which would be, in  
10 some cases, not all cases, supply limited. So  
11 what the metric does is it gives you've an  
12 integrated result over the entire season. Again,  
13 Dr. Allen can give you the specifics, but I  
14 believe he was integrating an entire year's worth  
15 of information from January to December. I'm  
16 interested in the irrigation season, of course,  
17 which in this situation is primarily May through  
18 September or October. But the metric analysis  
19 will give you an integrated result over the time  
20 frame that you're compiling the data and  
21 processing the data.

22 Q How does potential evapotranspiration,  
23 which I think you're call PET, how does that  
24 compare to actual ET?

25 A It's the upper limit. Now, you will

1 find certain situations, because ET is variable  
2 and the calculation of potential  
3 evapotranspiration is, of course, based on the  
4 scientific derivation of those formulas, and  
5 there is a variability once you get out in the  
6 real world. So you may see metric results that  
7 from time to time exceeded the calculated  
8 potential evapotranspiration. But in concept,  
9 the potential is the maximum amount of  
10 evapotranspiration, and then water supply limited  
11 irrigation will result in less evapotranspiration  
12 actually occurring.

13           Typically, the season -- the irrigation  
14 season is basically May through September. The  
15 runoff occurs in May and June, and then stream  
16 flows start to drop off in July. Then depending  
17 on the seniority of your water right and the  
18 source of your water right, your water supply  
19 late in the season is going to depend on the type  
20 of year you're having and where you're at in the  
21 basin. If you're on a small tributary, those  
22 streams will drop off, or you won't have water  
23 late in the season, in which case the crop  
24 evapotranspiration will basically end in July,  
25 accounting for some soil moisture carried

1 forward.

2 Other places in the basin where the  
3 ditches have reservoir supply and senior water  
4 rights on flowing streams, they can continue  
5 irrigating through the season. The diversion  
6 records that we looked at on Goose Creek indicate  
7 some of those ditches will have a fairly stable  
8 supply through the season in most years, which  
9 would be through September.

10 Q Did you use in your application of the  
11 methodologies provided by Dr. Allen, did you  
12 utilize the reference evapotranspiration  
13 calculation software for FAO and ASCE  
14 standardized equations that we have identified as  
15 Exhibit M-29?

16 A Yes, I did.

17 Q And how did you use that?

18 A That's a basic software package that  
19 allows you to input weather data and generate  
20 potential evapotranspiration from a variety of  
21 equations. That was basically developed by Dr.  
22 Allen and maybe some associates of his. We used  
23 that to do the calculation for Appendix D.

24 Q And do you show the results of that  
25 work in Figures 4-A and 4-D in your report?

1           A           Figure 4 in the report, which is a set  
2 of maps beginning on page 49, is a plot of the  
3 metric results that were developed by Dr. Allen.  
4 So what I have done on these figures is present a  
5 banded mapping of the results, and the metric ET  
6 results are shown on lands that were mapped as  
7 either irrigated or previously irrigated lands,  
8 and then this shows the results broken out in  
9 five bands, color coded. These are the same map  
10 layout as on the irrigated area mapping, and it's  
11 using the same GIS coverage as the irrigated area  
12 mapping. It simply displays the metric results  
13 by location for the two years. Figure 4-A and  
14 4-B are for the year 2004. Figure 4-C and 4-D  
15 are for the year 2006.

16           Q           So there are four maps that make up  
17 Figure 4; is that right?

18           A           Yes.

19           Q           They cover two years, and each of the  
20 two years has a map corresponding to the two maps  
21 that we see as Figure 3-A and 3-B that we talked  
22 about earlier?

23           A           That's correct.

24           Q           And how did you use this information in  
25 your investigation?

1           A           This is the source of the information  
2 that I used to apply to the post 1950 irrigated  
3 area for those parcels in Wyoming that I identify  
4 and describe later. The quantification of  
5 evapotranspiration and irrigation consumptive use  
6 were taken from the metric results.

7           Q           Now, on page 8 of your report you have  
8 headings 4.0, pre-1950 water use in Montana, and  
9 under that 4.1 irrigation development, what  
10 analysis did you do with regard to those  
11 subjects?

12          A           As I previously described, I documented  
13 the actual irrigated area in Montana, which is  
14 shown in Appendix A, and tabulated the irrigated  
15 area for various reaches shown on Table 2.

16          Q           And did you rely in that regard on the  
17 document which has been identified as Exhibit  
18 M-16, entitled, Water Resources Survey, Montana  
19 State Engineer and State Water Conservation  
20 Board, History of Land and Water Use On Irrigated  
21 Areas for Big Horn County, Rosebud County and  
22 Custer County?

23          A           Yes, I did.

24          Q           And that's dated 1947 and 1948; is that  
25 right?

1           A           Yes.

2           Q           Also, in your report on page 8 you have  
3 a section entitled, Tongue River Reservoir.  
4 Would you summarize the information that you  
5 gathered and the analysis that you made with  
6 respect to Tongue River Reservoir as set out in  
7 that section?

8           A           Yes. The Tongue River Reservoir was  
9 operational as of 1939. This is used primarily  
10 for irrigation water supply in Montana, serving  
11 the users between the reservoir and Miles City.  
12 Current capacity of the reservoir is  
13 approximately 79,000 acre-feet. The reservoir  
14 stores water during the winter and runoff season,  
15 and then is relied upon for late season  
16 supplemental irrigation supply, primarily through  
17 the management and operation by the Tongue River  
18 Water Users Association. Virtually all of the  
19 acreage divert water out of the Tongue River has  
20 access through reservoir through contracts,  
21 including the T&Y Canal which serves  
22 approximately 10,000 acres at Miles City. I  
23 tabulated the hydrologic data for the reservoir  
24 that is on a set of tables beginning on Table 4-A  
25 on page 29. I have tabulated the storage

1 contents of the reservoir on a monthly basis for  
2 the period of record through 2008. In addition,  
3 I tabulated the reservoir balance of outflows,  
4 inflows stored, and releases from the reservoir  
5 for the period 1987 to 2007.

6 Q And how are those tabulations  
7 designated?

8 A Tables 4-A through 4-E, these are all  
9 expressed in acre-feet. As will be described by  
10 others, the reservoir was enlarged in 1999, so on  
11 the Table 4-A I include averages for the period  
12 of record as well as post '99 averages after the  
13 reservoir was enlarged.

14 The Table 4-B is a tabulation of the  
15 reservoir outflows, which is simply the stream  
16 gauge below the reservoir, so this is the  
17 physical flow out of the reservoir, and there's a  
18 graph on the bottom which shows the monthly  
19 distribution of these outflows in acre-feet. It  
20 shows that the pattern applies, you know, over a  
21 range, ranging from the maximum to the minimum.  
22 The peak outflows generally occur in June, and  
23 then May, June, July are the most significant  
24 months of water flowing out of the reservoir.

25 Table 4-C is a tabulation of the amount

1 of water that was stored. This is based on my  
2 interpretation of the records of inflow, outflow,  
3 and reservoir content. So I've included a graph  
4 at the bottom of that Table also which shows the  
5 monthly distribution of when inflows are stored  
6 in the reservoir. By storage, I mean accrual to  
7 storage in those months.

8           Table 4-D is a calculated number of  
9 water released from storage. So that is distinct  
10 from the Table 4-B, which is the reservoir  
11 physical outflow. This is calculated actual  
12 release of storage. So you can see that the  
13 primary months of releases are July, August, and  
14 September, which is representative of the use of  
15 the water for irrigation, late season irrigation,  
16 as was described.

17           Table 4-E is a tabulation of bypasses.  
18 And this is the amount of inflow passing the  
19 reservoir.

20           MR. DRAPER: This might be a good place  
21 to break, Your Honor. It's exactly noon.  
22 According to the schedule, you wanted us to  
23 follow, it's time to break for lunch.

24           SPECIAL MASTER: That will be fine.

25           So why don't we then adjourn for the

1 lunch hour. So we will start up again at 1.

2 GENERAL FOX: Your Honor, with Your  
3 Honor's permission, I would like to excuse myself  
4 for the remainder of the day. I would like to  
5 come in on occasion. Again, thank you, Your  
6 Honor, for your time and effort.

7 SPECIAL MASTER: I appreciate your  
8 being here this morning. And I'm sure as  
9 Attorney General you probably have some other  
10 things you also have to attend to.

11 So we will, again, be back in session  
12 at 1 p.m. I'll actually use this great gavel  
13 here.

14 (Recess.)

15 SPECIAL MASTER: I understand that  
16 security downstairs is particularly good at its  
17 job?

18 MR. DRAPER: Yes. They are being very  
19 thorough.

20 SPECIAL MASTER: Okay. So, Mr. Draper,  
21 you can continue your direct examination.

22 Q (By Mr. Draper:) Thank you. We will  
23 figure out the courtroom sooner or later.

24 DEPUTY CLERK: When you're ready, do  
25 you want to tell me when you're ready to display?

1 Q (By Mr. Draper:) Looks like we've got  
2 the screen working.

3 Good afternoon, Mr. Book.

4 A Good afternoon, Mr. Draper.

5 Q Just before lunch we had begun to hear  
6 about your analysis of Tongue River Reservoir.  
7 Would you pick up where you were? I think you  
8 were you were ready to describe, if I'm not  
9 mistaken, Figure 5 on page 53, although I don't  
10 actually see the page number. It's the page  
11 between 52 and 54 in your report. What is Figure  
12 5?

13 A Figure 5 is a bar chart plot of the  
14 maximum content at the end of the month for  
15 Tongue River Reservoir for the period of record,  
16 1940 through 2008.

17 This chart specifically shows for the  
18 period that I have been concerned about, for the  
19 years after the enlargement and repair on the  
20 spillway at the reservoir, that the reservoir did  
21 not fill in the four years, as indicated by the  
22 bars not achieving the 79,000. Those are the  
23 years 2001, 2002, 2004, and 2006.

24 SPECIAL MASTER: Again, just as a matter  
25 of clarity, so this shows the maximum amount

1 stored in the reservoir over the entire storage  
2 year from November to October?

3 THE WITNESS: Yes, that's correct.

4 SPECIAL MASTER: Okay. And for 2008,  
5 that would be November, 2007, through October,  
6 2008?

7 THE WITNESS: Yes.

8 SPECIAL MASTER: Thank you.

9 Q (By Mr. Draper:) Do you draw any  
10 conclusions from this bar graph?

11 A Just those that I just stated about the  
12 reservoir not having filled in those four years.  
13 And in the other years, since the year 2000, it  
14 did achieve a fill.

15 Q Let me direct your attention two pages  
16 later to Figure 7, page 55. What is shown on  
17 that and does it relate in any way to Figure 5?

18 A Figure 7 is a plot of the state line  
19 flow at the Decker gauge showing the average  
20 monthly values. These are monthly acre-feet that  
21 are plotted. And also shown, in addition to the  
22 average, are the four specific years that I just  
23 mentioned showing what the monthly flows at the  
24 state line were. It's apparent from here the  
25 flows for these four years were significantly

1 less than the average for the runoff season,  
2 April through July.

3 Q And is the Decker gauge located at or  
4 near the state line?

5 A Yes.

6 Q And how did you use this to do your  
7 analysis or to illustrate your analysis?

8 A This illustrates the state line flows  
9 for those years when the reservoir did not fill,  
10 that there was a significantly reduced available  
11 flow in the river.

12 Q Let me turn your attention, if I may,  
13 to Figure 6 on the page before, page 54. What is  
14 shown on Figure 6?

15 A Figure 6 is a comparison of the  
16 wintertime flow at the Tongue River Reservoir.  
17 It shows the combined flow for the months of  
18 November through March for two different time  
19 periods. The first is the pre-1950 for the years  
20 prior to the Compact, from the time when the  
21 reservoir was operational, starting with water  
22 year 1942. And the second period is for the  
23 years since the enlargement and repair of the  
24 spillway was completed, for the year -- starting  
25 in the year 2000 through 2006. This is a

1 comparison of the reservoir outflow during these  
2 five months of November through March, comparing  
3 the reservoir outflow and the reservoir inflow as  
4 represented by the state line flow. So that's  
5 the Decker gauge on the X axis. And these are  
6 totaled for the season, for the five-month  
7 season. So the plot is of the total values  
8 listed in the Table below the plot.

9           This shows the outflows at the  
10 reservoir for the pre-1950 period in diamonds,  
11 ranging from approximately 70,000 acre-feet on  
12 the low end, to one year it was up to 108,000  
13 acre-feet. I'm comparing that with the symbols  
14 for each of the individual years for the  
15 post-2000 period, showing that the outflows over  
16 that period were less.

17       Q       And what does this graph allow you to  
18 conclude?

19       A       This is indicative of the operation of  
20 the reservoir prior to 1950 during the winter  
21 months as to how much of the inflow was passed  
22 through the reservoir unstored.

23       Q       And it allows you to compare it to the  
24 period after the reservoir enlargement?

25       A       Yes.

1 Q And --

2 A Each of the four years that I just  
3 discussed are included in this set of years.

4 Q And so what does is the comparison  
5 shown in this graph tell you?

6 A This demonstrates that the wintertime  
7 bypass or pass-through the reservoir since the  
8 year 2000 has resulted in comparable quantities  
9 of water passing through the reservoir in the  
10 winter months as had occurred prior to 1950.

11 Q So it's a comparison of the period in  
12 question here versus the pre-Compact period?

13 A Yes.

14 Q And what is your conclusion about that  
15 comparison?

16 A That the operations in this post-2000  
17 period are comparable to the operations that were  
18 occurring during the winter prior to the Compact  
19 at the reservoir, as it related to pass-through.

20 Q Let me turn your attention now, if we  
21 concluded everything important with respect to  
22 your Tongue River Reservoir analysis, I'd like to  
23 go on to the direct flow demand section of your  
24 report that begins at the bottom of page 9.

25 Could you describe how you went about making your

1 analysis with respect to direct flow rights?

2 A Yes. The purpose of the analysis was  
3 to make an estimate of how much water is needed  
4 in the river to supply the demands for direct  
5 flow water for pre-1950 water rights in Montana.  
6 This was done on the basis of an irrigated  
7 acreage above the T&Y Canal at the time of the  
8 Compact, and I relied upon the acreage that was  
9 delineated in the county surveys that we  
10 discussed earlier this morning to quantify that  
11 acreage.

12 The full demand for pre-1950 water  
13 rights includes the T&Y Canal diverting near  
14 Miles City, which has a specified water right of  
15 approximately 187 cfs for the 9900 acres that are  
16 irrigated under that canal, plus the direct flow  
17 rights for the -- direct flow water rights that  
18 exist between the state line and the T&Y Canal.  
19 For the purposes of this analysis I used the duty  
20 of water on those water rights that I obtained  
21 from the Miles City decree, which was a 1914  
22 decree of water rights in Montana which had set  
23 water rights at the rate of 1 cfs per 40 acres.

24 Q How did you do that analysis and how  
25 did you reach your conclusions?

1           A           The analysis was done, rather than  
2 simply assuming that the demand for all of the  
3 direct flow water rights is equal to the sum of  
4 the water rights, I made a calculation to account  
5 for the existence of return flows, and I also  
6 developed a monthly calculation which allowed for  
7 some reduction of the water rights for the months  
8 of May, June, and September.

9                   The analysis was done for the months of  
10 May through September. I assumed that the full  
11 amount of the water right calculated, as I  
12 previously mentioned, was the measure of the  
13 demand for the two peak growing seasons, month of  
14 July and August, and used lesser amounts for the  
15 months of May, June, and September. I then made  
16 a calculation of the amount of return flow in the  
17 stream that would assist in satisfying a demand  
18 for direct flow diversion to partly reduce the  
19 amount of water that would be indicated as needed  
20 flowing into the system at the reservoir.

21                   I've provided the detailed calculations  
22 for this analysis in Appendix E.

23                   SPECIAL MASTER: Do you have a page  
24 number?

25                   THE WITNESS: Starts on page 265.

1 Q (By Mr. Draper:) The title page for  
2 Appendix E is on 264?

3 A Yes.

4 Q And what does Appendix E contain?

5 A Appendix E contains a set of background  
6 information and tabulations calculating through  
7 the steps that I made to calculate the direct  
8 flow demand. The analysis considered the  
9 consumptive use demand for the acreage between  
10 the reservoir and the T&Y Canal, to estimate  
11 based on a diversion rate the amount of water  
12 that would be returned to the stream. Another  
13 component of the analysis was to compute the  
14 lagged effect of return flows to the stream, so  
15 that return flows were determined to occur over a  
16 schedule that is delayed from the time when the  
17 diversions occur. So the calculation was simply  
18 to determine how much water was necessary at the  
19 top end of the system at the Tongue River  
20 Reservoir in order to supply a series of  
21 diversions between the reservoir and the T&Y  
22 Canal, including the T&Y Canal.

23 Q What assumptions did you make about  
24 return flows?

25 A I made a calculation based on the

1 characteristics of the irrigated area along the  
2 Tongue River as it relates to the distance from  
3 the stream, based on the irrigated area mapping  
4 that I had done to make a calculation of the  
5 delayed return flow pattern that would occur when  
6 water is applied to the irrigated lands. This  
7 allowed me to compute a response function, which  
8 I then applied to the seasonal diversions that I  
9 was applying to the land. I separated the reach  
10 into four reaches. These are shown on page 273  
11 of Appendix E. It's Appendix E-6. I have  
12 reaches that include -- a short reach above the  
13 reservoir and then three reaches between the  
14 reservoir and the T&Y Canal headgate. And then  
15 the demand at the T&Y was for the amount of the  
16 water right for the T&Y.

17           As part of the calculation of the  
18 return flows I determined the crop distribution  
19 for the three counties included in Montana. This  
20 is described on Appendix E-5, page 272, to  
21 developing crop distribution, which then allowed  
22 me to calculate a composite consumptive  
23 irrigation requirement based on the analysis I  
24 described this morning using the Miles City and  
25 Sheridan weather stations. This allowed me to

1 compare the amount of water assumed to be  
2 diverted in this analysis with the crop demand  
3 and compute the return flow associated with that,  
4 such that the diversion minus the crop  
5 consumptive use is equal to the return flow.

6 Q How does the return flow occur  
7 physically?

8 A As deep percolation from the lands back  
9 to the river.

10 Q When you say deep percolation, are you  
11 talking about water that goes into the ground  
12 water system and then discharges from the ground  
13 water system into the river?

14 A Yes, seepage from the ditches and the  
15 irrigated land to the river.

16 Q So a return flow that occurs by seepage  
17 and deep percolation is kind of the opposite of a  
18 depletion due to ground water pumping?

19 A Yes, it is. It's return flow and  
20 accretion to the stream.

21 Q So you're counting ground water flow  
22 that adds to the stream; is that right?

23 A Yes.

24 Q And you're reducing the demand that you  
25 otherwise calculate at the state line by those

1 ground water-induced additions to the stream?

2 A Yes, that's correct.

3 Q Are there other parts of Appendix E  
4 that should be brought to the master's attention?

5 A Yes. On Appendix E-7, page 274, this  
6 is a plot and table of the calculated consumptive  
7 irrigation requirement based on the combination  
8 of the Miles City and Sheridan weather stations  
9 for the crop distribution that I determined here.  
10 This indicates -- for this study period of 1987  
11 to 2007, indicates a consumptive irrigation  
12 requirement ranging from 20 to 21 inches. That's  
13 a pretty standard consumptive irrigation  
14 requirement for this area for the crops that are  
15 grown. And this shows the monthly distribution  
16 of that, as well.

17 Q And, again, what is the consumptive  
18 irrigation requirement?

19 A That's the total crop  
20 evapotranspiration and subtracting out the  
21 effective precipitation to result in the amount  
22 of irrigation water that's consumed by the crop.

23 Q Please go ahead.

24 A On page 276, Appendix E-9, is a plot of  
25 the response function that I computed to apply to

1 the return flows. And this is shown both in a  
2 monthly response function in the top graph and a  
3 cumulative curve on the bottom graph, which shows  
4 the effect over the ensuing months of these  
5 return flows. This was based on characteristics  
6 of the formation along the Tongue River in  
7 Montana.

8 Q And do these graphs, again, show the  
9 ground water discharges to the river that you  
10 counted in your analysis?

11 A Yes. These graphs are referred to as  
12 unit response functions, and so they are  
13 applicable to varying amounts of water at a  
14 monthly time step. So the calculation is to  
15 compute a unit response function based on the  
16 aquifer characteristics and the distance, and  
17 then to apply that to the amount of return flow  
18 to compute the timing of the return flow back to  
19 the stream.

20 Q And that's the return flow, again,  
21 through the ground water system?

22 A Yes.

23 Q Please go ahead.

24 A On Appendix E-10 on page 277, this is  
25 data related to the T&Y Canal. It's referred to

1 in this table as the Tongue and Yellowstone  
2 Canal, and these are the diversions for the  
3 records for the years 1997 through 2005, actual  
4 diversions by the T&Y Canal. This shows the  
5 total quantities as well as the monthly  
6 distribution. This canal has been operated  
7 historically over the months of May through  
8 October. I show a monthly distribution of those  
9 diversions on the bottom. The peak months tend  
10 to be July and August.

11 I think that's the primary information.  
12 The results of the calculations are shown on page  
13 11 of the report.

14 Q Is the table on page 11, is that taken  
15 from Table No. 5 on page 35?

16 A The corresponding demands are listed on  
17 the bottom of Table 55. That's correct.

18 Q And would you describe the results that  
19 you obtained through that analysis that are shown  
20 on Table 5 and in the smaller Table on page 11 of  
21 the text?

22 A Yes. As I mentioned, the calculation  
23 was done on a monthly time step and the demands  
24 for the direct flow water rights were reduced in  
25 the months of May, June, and September from the

1 total amount of the water right.

2 Just to repeat, the amount of the water  
3 right was determined as the sum of the duty of  
4 water for the acreage above the T&Y Canal at 1  
5 cfs to 40 acres, plus the 187 cfs for the T&Y  
6 Canal. This, then, was considered to be the  
7 demand for diversions in this reach, and then the  
8 calculation was made to determine how much water  
9 would be necessary flowing at the state line in  
10 order to satisfy these direct flow demands  
11 downstream at the levels used for each month.

12 For example, for the month of May I  
13 used a 55 percent of the water right amount as  
14 the basic demand, and then determined how much  
15 water would be necessary at the state line to  
16 satisfy that. That result is 195 cfs.

17 For the month of June I used 90 percent  
18 of the water right amount, and the amount  
19 necessary to satisfy that would be 325 cfs.

20 The total of the water rights were used  
21 for the months of July and August. The  
22 calculation for July is 350 cfs, and that can be  
23 compared to the total of the water rights, which  
24 is something in excess of 450 cfs, which is what  
25 you get when you combine the T&Y Canal plus the

1 duty of water for the balance of the acreage.

2 The reason that July is larger than  
3 August, both of those were calculated at the full  
4 water right demand because the return flows tend  
5 to increase as the season goes by, and so the  
6 amount of return flow available for diversion is  
7 increased later in the season.

8 These figures on page 11 represent the  
9 amount of water that would be necessary to  
10 satisfy the direct flow pre-1950 rights in  
11 Montana as determined at that duty of 1 cfs to 40  
12 acres, if there was demand for all of that water,  
13 if there was a full demand occurring.

14 Q You mentioned different percentages of  
15 the rates that you used in different months.  
16 What was your reason for changing the percentage  
17 from month to month?

18 A Typically, when you look at operations  
19 of ditches, and specifically if you look at the  
20 T&Y Canal diversions that we looked at on page  
21 277, what you'll typically see over the  
22 irrigation season is what I refer to as a  
23 bell-shaped curve. Peak operations tend to occur  
24 in the middle of the irrigation season. If you  
25 look at the upper limits of the T&Y Canal

1 diversions during June, July, and August, there  
2 are some months where the full month of diversion  
3 is up at the full water right amount of 187 cfs.  
4 Diversions during the shoulder months of May and  
5 September are typically at lower amounts, and so  
6 these estimates were based on my judgment of the  
7 pattern of diversions.

8 Q And the pattern of diversions you're  
9 referring us to is on page 277, Appendix E-10,  
10 the graph showing average monthly acre feet  
11 diverted?

12 A Yes.

13 Q And that's the historical pattern for  
14 the T&Y water right?

15 A Yes.

16 SPECIAL MASTER: So, Mr. Draper, if I  
17 could ask one or two quick questions. So the  
18 first one is on -- I missed the percentage that  
19 you used for the month of May. And this is in  
20 the table on page 11.

21 THE WITNESS: Yes. Those percentages  
22 are actually shown on page 280, which is Appendix  
23 E-13, and I have a block of data in the center of  
24 that page which shows the demand percent.

25 SPECIAL MASTER: Okay. Thank you. And

1 then the second question is: So for the non-T&Y  
2 diversions, for the direct flow demands, there  
3 those were calculated figures. Was it also a  
4 calculated figure for the T&Y, direct flow  
5 demands?

6 THE WITNESS: The analysis is based on  
7 the water rights. So it's a calculation of what  
8 the -- how much water would need to be in the  
9 river in order to satisfy the amount of the water  
10 right, the direct flow water right. So for the  
11 T&Y, I used 187.5 cfs, and for the other acreage  
12 above the T&Y I used the 1 cfs to 40 acres for  
13 the amount of acreage that was documented in the  
14 survey at the 1950 edition.

15 SPECIAL MASTER: But the table on  
16 Appendix E-10, which is on page 277, those are  
17 the actual --

18 THE WITNESS: Those are historical  
19 diversions on a --

20 SPECIAL MASTER: In the --

21 THE WITNESS: -- monthly basis.

22 SPECIAL MASTER: I'm sorry. I  
23 interrupted you. You were saying that it's the  
24 historic diversions on a monthly basis?

25 THE WITNESS: Yes.

1 THE COURT: For the years shown?

2 THE WITNESS: Yes.

3 Q (By Mr. Draper:) Let me turn your  
4 attention, if you are finished answering the  
5 Master, to Table 5. This relates to the smaller  
6 table that you've directed us to on page 11 of  
7 the report, but it shows more information. What  
8 is shown here?

9 A Yes. Table 5 is a comparison of these  
10 monthly demands for direct flow rights for the  
11 months of May, June, July, August, and September,  
12 with the actual mean monthly flow in the gauge at  
13 Decker, at the state line gauge. And this is a  
14 comparison which shows by shading the months when  
15 the mean monthly flow is less than the calculated  
16 demand. This provides an indication of how the  
17 demand for direct flow water in Montana compares  
18 to the flow at the state line over the season.

19 Q And the blue shading indicates what?

20 A Those are months when the demand  
21 exceeded the mean monthly flow historically,  
22 comparing the historic flow at the gauge with the  
23 calculated demands.

24 Q Under those conditions, what does that  
25 mean as a practical matter?

1           A           That means that during the months of  
2 May and June, which are the runoff months,  
3 there's effectively enough water coming into  
4 Montana to satisfy the direct flow rights. These  
5 are the months when storage is normally still  
6 occurring in the Tongue River Reservoir, meaning  
7 that there's enough river flow to satisfy direct  
8 flow rights, and there's generally, not always,  
9 but generally not a need to release storage water  
10 in those months.

11                       July is the month which is  
12 approximately half the time when flows are below  
13 the calculated demand. July would be the peak  
14 demand month for irrigation. July is also the  
15 month when the runoff starts to drop off in most  
16 years. July will typically be the month when the  
17 flow is declining by the steepest amount, over  
18 the month of July. Then by the time you get to  
19 August, the hydrograph is flattened out. By the  
20 time you get to August here you see that the  
21 state line flow is pretty much normally below the  
22 calculated demand for direct flow rights.

23           Q           There's one year in which all of the  
24 months are shaded; is that right?

25           A           Yes. That's 2004.

1 Q And what does that mean, that they are  
2 all shaded?

3 A The maximum mean monthly flow at the  
4 state line in that year was -- actually occurred  
5 in May, but it was less than 200 cfs. It's 192  
6 cfs. Less than the demand even for the month of  
7 May here. It's very close to the demand for the  
8 month of May.

9 Q And there are some years that have no  
10 shades; is that right?

11 A Yes, that's correct. Those are  
12 probably extremely high flow years where the  
13 hydrograph held up. Those would be considered  
14 unusual years.

15 Q Those are 1968, 1978, and 1998; is that  
16 right?

17 A Yes.

18 Q So what overall conclusion did you draw  
19 from the analysis shown in this graph, or this  
20 table?

21 A This generally confirms what I have  
22 been told consistently, the way the Tongue River  
23 operates in Montana is that the hydrograph and  
24 the runoff is sufficient to satisfy the direct  
25 flow water rights during the runoff season of May

1 and June, and then when you get to July and the  
2 demand increases, typically they will start  
3 releasing water out of the reservoir to  
4 compensate for shortages to the direct flow  
5 rights. In other words, you need to supplement  
6 your direct flow diversions with storage water to  
7 at least some users in the basin. The  
8 calculations that I did here and the comparison  
9 of those calculations to the historical data  
10 support that information about the operation of  
11 the reservoir.

12 Q And is there an implication whether the  
13 direct flow rights are being satisfied when  
14 releases start to be made from the reservoir?

15 A Releases would occur at times when the  
16 direct flow rights are not being satisfied. It  
17 may not be universally across the entire set of  
18 water rights, but that's the general situation,  
19 is that the stream has declined enough that the  
20 direct flow rights, some direct flow rights are  
21 being shorted. It just depends on how much water  
22 is in the stream compared to the demand. But  
23 somewhere along your list of water rights there  
24 will be a shortage and unavailable for other  
25 water rights that are more junior down the list.

1 Those correspond to times when additional water  
2 at the state line would provide additional water  
3 available to direct flow rights.

4 Q And you've been referring to direct  
5 flow rights. Is that all direct flow rights, or  
6 just pre-January 1, 1950, direct flow rights?

7 A This calculation was done for pre-1950  
8 water rights, the level of water rights in  
9 Montana at the time of the Compact.

10 Q So the water rights you analyzed here  
11 do not include any water rights with priorities  
12 after January 1, 1950?

13 A Yes. I'll describe later in more  
14 detail the analysis I did of specific water  
15 rights. This calculation was done on the basis  
16 of pre-1950 acreage using the duty of water of 1  
17 to 40.

18 Q Let me ask you to turn to page 56 of  
19 your report. You have there a Figure 8-A, and  
20 that page and the following pages contain certain  
21 figures labeled as frequency curves. What do  
22 those show?

23 A This series of figures is a comparison  
24 of the monthly demand with the frequency of the  
25 flow for any given month. So Figure 8-A

1 corresponds to the month of May, and this just  
2 graphs up all of the daily data for the month of  
3 May and shows where the demand -- calculated  
4 demand falls on that graph. So, for example, the  
5 May demand of 195 cfs is exceeded during the  
6 month of May 97.4 percent of the time.

7           If you go to the next page for June,  
8 that flow rate is exceeded 90.3 percent of the  
9 time.

10           When you go to Figure 8-C, for the  
11 month of July, that was the one I indicated is  
12 about half the time. The calculated demand of  
13 350 was exceeded approximately 50 percent of the  
14 time.

15           And Figure 8-D is for the month of  
16 August. That's, again, a comparison of all the  
17 daily flows in that month with the calculated  
18 demand, showing that the flow rate was exceeded  
19 8.5 percent of the time.

20           And, finally, September is also shown  
21 on Figure 8-E, and that flow rate was exceeded 19  
22 percent of the time.

23           Q       And is the information shown on these  
24 frequency graphs consistent with the shading  
25 shown on Table 5?

1           A           Yes, it is. It's just a different way  
2 to display the results of comparing the demand  
3 and the state line flow.

4           Q           So, in other words, if we take Figure  
5 8-A, which has a 97.4 percent exceeded rate, if  
6 I'm saying that correctly, corresponds to the May  
7 column on Table 5, which is largely unshaded?

8           A           Yes. The percentages won't work out  
9 exactly because one table is comparing mean  
10 monthly flow and another one is tabulating all of  
11 the daily data. So you won't get the same  
12 percentage. It's two different ways to look at  
13 the results.

14          Q           But they are generally consistent?

15          A           Yes.

16          Q           So looking at page 11 of your report  
17 and the heading there 4.4, what generally were  
18 the conclusions that you drew regarding pre-1950  
19 uses in Montana?

20          A           With respect to the pre-Compact water  
21 right for the Tongue River Reservoir, the test is  
22 was that water right fulfilled or satisfied  
23 during the year, and for the four years that I  
24 identified that the reservoir had not filled  
25 after the improvements on the spillway and the

1 enlargement were completed, in those four years  
2 depletions due to post-'50 uses in Wyoming reduce  
3 the amount of water available for storage that  
4 could have been stored in the reservoir.

5           With respect to the direct flow demand,  
6 what the analysis shows is that in most years, in  
7 about half of the time during July and in most  
8 years in August and September, the state line  
9 flow is insufficient to satisfy direct flow  
10 rights in Montana, meaning that pre-1950 water  
11 rights would benefit from additional water at the  
12 state line under those conditions. So those are  
13 periods of time when post-'50 uses, to the extent  
14 they are occurring in Wyoming, would have an  
15 effect on the availability of flow to those  
16 direct flow water rights.

17           Q       Did you analyze post-1950 depletions in  
18 Wyoming?

19           A       Yes, I did.

20           Q       This, I think, is discussed beginning  
21 on page 12 of your report. Would you generally  
22 describe how you conducted that analysis?

23           A       Yes. The analysis consisted of three  
24 aspects. Analysis of reservoir storage in  
25 Wyoming as it related to post-1950 storage, and

1 within that there were three different categories  
2 of storage that were considered based on the  
3 availability of information and the nature of the  
4 use of those, as well as the magnitude.

5           The second aspect related to direct  
6 flow uses by post-1950 permits for irrigation.  
7 That was limited to a certain part of the basin  
8 for quantification purposes. It was limited to  
9 the areas where Wyoming had not regulated surface  
10 water rights for the benefit of Wyoming water  
11 users, particularly in the Tongue main stem, the  
12 lower tributaries on the Tongue, and Prairie Dog  
13 Creek.

14           The third aspect that I investigated  
15 related to use of ground water in the basin and  
16 potential impact of post-Compact ground water  
17 use. Again, that was separated into two  
18 different categories. The first was any uses of  
19 ground water for irrigation or other uses from  
20 the shallow aquifers in the basin; and the second  
21 related to impacts to stream flow of coal-bed  
22 methane.

23           Q       And would you describe each element of  
24 your analysis in a bit more detail, please?

25           A       Yes. The analysis of reservoir

1 operations, the first category is what I have  
2 referred to as the Compact reservoirs. And I  
3 have a tabulation of those reservoirs on Table 6,  
4 which is page 36 of the report. And these are  
5 reservoirs for which storage amount is reported  
6 by the State of Wyoming in the hydrographers'  
7 reports. There are 11 reservoirs total, of which  
8 not all but some of them have a mix of pre-1950  
9 and post-1950 capacity as reflected in the  
10 priorities. I simply adopted the priority date  
11 as the basis to allocate capacity between pre and  
12 post 1950.

13           Table 6 lists each of these reservoirs  
14 and provides the priority dates as well as the  
15 permitted capacity on each one of those.

16           The first one listed on the top is  
17 Bighorn Reservoir, as an example. The last two  
18 priorities are 1960. Those are the post-1950  
19 priorities. So that means that the Bighorn  
20 Reservoir had a split between pre and  
21 post-Compact capacity in the ratio of those two,  
22 or of those water rights between pre and post.

23           At the bottom of that table I have  
24 summarized the pre and post capacity for all of  
25 these reservoirs. It's 14,000 acre-feet of

1 pre-1950 and 10,000 acre-feet of post-1950.

2 Q Could we take a look, since we visited  
3 Park Reservoir on the basin tour, could you  
4 describe how that series of priorities works out?  
5 I think that's shown just below the middle of the  
6 table.

7 A Yes. There is the approximately 7300  
8 acre-feet of pre-1950 priority capacity with this  
9 reservoir, meaning something just under 4,000  
10 acre-feet of post-1950 capacity in this  
11 reservoir.

12 Q And there are a number of increments by  
13 which the storage capacity was increased over the  
14 years?

15 A Yes. This also happens to be the  
16 largest reservoir of the group.

17 Q It has a total of 11,000,  
18 approximately, acre-feet of storage out of a  
19 total of 24,000; is that right?

20 A Yes.

21 Q In round numbers?

22 A That's correct.

23 Q Let me ask you to look at Figure 9 of  
24 your report on page 61 in this regard. What is  
25 shown on page 61?

1           A           This is a map at a larger scale from  
2 the map that we looked at this morning in Figure  
3 2. This shows in more detail the upper part of  
4 the basin with these reservoirs located.

5           Q           And where does Park Reservoir appear on  
6 that? I think it's also called Big Goose Park  
7 Reservoir.

8           A           Yes. That's located right in the  
9 center of the map.

10          Q           A little bit right of center there?

11          A           Yes.

12          Q           So these are the reservoirs, by and  
13 large, that are contained in the table that we  
14 were just looking at?

15          A           Yes.

16          Q           And did you determine whether any  
17 depletions were being caused -- post-1950  
18 depletions -- by virtue of storage in these  
19 reservoirs?

20          A           These reservoirs that include post-1950  
21 storage tend to exercise enough storage in most  
22 years, if not all years, that some of the storage  
23 accrues to the post-1950 use on capacity. The  
24 available information on these reservoirs is a  
25 little bit limited because of access to the

1 reservoirs. In the hydrographers' reports,  
2 basically the maximum amount of storage is  
3 reported each year, which is generally determined  
4 in either May or June as the reservoirs become  
5 accessible.

6 In addition to that, at the end of the  
7 year the reports include the amount of carryover,  
8 again, total for the reservoir. So it's possible  
9 for each of these reservoirs to determine, based  
10 on if and when they filled, or how much they  
11 filled, if they didn't totally fill, and the  
12 amount of carryover to determine the amount of  
13 water that was stored each year. The depletion  
14 calculation relates to the amount of water that  
15 was stored in these reservoirs and allocated to  
16 the post-1950 priorities.

17 Q How did you determine whether to  
18 allocate storage to a pre-'50 right in the  
19 reservoir as opposed to a post-'50?

20 A The amount of carryover is recorded  
21 each year for these reservoirs, and the carryover  
22 then is allocated to either pre or post 1950. In  
23 this situation I assumed that the carryover would  
24 be allocated first to the post-1950 water right,  
25 meaning that the pre-1950 water is the first

1 water used from the reservoir, and subsequently  
2 the first water to be refilled into the  
3 reservoir, for those reservoirs which had pre and  
4 post-1950 capacity. So the convention that I  
5 used was to assume that the carryover was  
6 assigned to the post-1950 priority. And so this  
7 maximizes the amount of storage that accrued to  
8 the pre-1950 water right.

9 Q Is that analysis consistent with  
10 standard engineering practice, in your  
11 experience?

12 A The situation with reservoirs with  
13 multiple priorities can tend to be variable  
14 depending on the documentation in the reservoir  
15 and ownership of space in the reservoir. If you  
16 have a reservoir with multiple priorities and a  
17 single user, typically the water right for that  
18 situation -- and I'm speaking in general now --  
19 would be allocated the same way that I have done,  
20 where the senior water right would be the first  
21 water considered to be used, meaning the  
22 carryover would be assigned to the more junior  
23 priority.

24 Q Did you perform --

25 SPECIAL MASTER: We'll be getting to

1 this in a moment. Just so I know, so in the  
2 years in which one of the reservoirs did not fill  
3 totally, how there do you allocate between  
4 pre-1950 and post-1950?

5 THE WITNESS: The storage was assigned  
6 first to the pre-1950 up to the amount of the  
7 pre-1950. And I can show you that through the  
8 table that I put together where I show the  
9 assignment. But it went first to the pre-1950.

10 SPECIAL MASTER: Okay. Thank you.

11 Q (By Mr. Draper:) Which table is that,  
12 do you know?

13 A That's actually in Appendix F, which is  
14 at page 283.

15 Q So Appendix F starts on page 282, with  
16 a title page, and then which table are you  
17 referring us to?

18 A The first table in that Appendix is  
19 Appendix F-1, and this is a template of the  
20 analysis that I did for each of the reservoirs  
21 that has post-1950 storage capacity based on the  
22 information I just described about the carryover  
23 and the amount of water stored during the year.  
24 This analysis begins in 1981. I developed  
25 allocations of storage for each of the years 1981

1 through 2008, and I have -- Appendix F contains  
2 the backup for this, which is the detailed  
3 calculations. The reservoirs that are listed are  
4 only those reservoirs that have post-1950  
5 storage.

6 This table, just to go from left to  
7 right across the table, the first contains the  
8 amount of the relative water rights for each  
9 reservoir, the pre-Compact and the post-Compact,  
10 as well as the total capacity in column C. The  
11 total carryover from the prior year is listed in  
12 column D. And then the assignment of the  
13 carryover between the post and the pre capacity,  
14 post-1950 and pre-1950 capacity is next shown,  
15 being assigned first to the post and then to the  
16 pre.

17 Q Can you walk us through an example of  
18 how you specifically did that on one of the  
19 reservoirs?

20 A Yes. For 1981, I'll look at the  
21 Bighorn Reservoir, which has both pre and a post.  
22 The total carryover for the year was zero. Going  
23 into the year it had been emptied. So the  
24 available pre-Compact space is the full amount of  
25 the pre-Compact, 2750.

1           The next column, H, is the actual  
2 reported information for that year. It is the  
3 maximum content. And then after column H, you  
4 allocate the storage. First, you determine the  
5 amount stored from the maximum content, and  
6 that's the maximum content minus the carryover.  
7 That gives you the total stored during the year.  
8 And then the last two columns, J and K, are the  
9 assignment of the amount stored during the year  
10 to pre-Compact and post-Compact.

11           So in this year on the Bighorn the  
12 reservoir was filled and it was allocated to both  
13 pre and the post-Compact based on the amount of  
14 storage capacity in each. That was a relatively  
15 simple calculation.

16           For each of these years I then total  
17 the pre-Compact storage and the post-Compact  
18 storage over these reservoirs so you can see how  
19 the amount of post-Compact storage compares, for  
20 example, with the amount of the post-Compact  
21 storage capacity. So for this year, 1981, the  
22 post-Compact storage total for these reservoirs  
23 was 3,388 acre-feet. The post-Compact capacity  
24 for these reservoirs is 8,144.

25           Q           Just before we leave the Bighorn

1 example in the second line of figures, is it true  
2 that you assigned inflows to pre-Compact up to  
3 the maximum available pre-Compact storage space,  
4 in that case of 2,750 acre-feet, and then  
5 whatever was left over was assigned to  
6 post-Compact storage?

7 A Yes.

8 SPECIAL MASTER: Just one quick question  
9 before we move on. I could probably figure this  
10 out if I sat down and looked at these more  
11 closely, but I notice that the bottom line  
12 capacities for these tables in Appendix F do not  
13 seem to total the same as on page 36. I assume  
14 with respect to the pre-1950 capacity that it  
15 might reflect the fact that you don't have those  
16 reservoirs on here that are purely pre-1950  
17 rights. But it's not clear to me why the  
18 post-1950 water rights don't total the same.

19 THE WITNESS: Yes, I was just noticing  
20 the same thing myself.

21 SPECIAL MASTER: Again, the post-1950  
22 rights increase over time. So you would  
23 expect -- because some of those rights did not  
24 come in until the late 1980s and 1990s, but you  
25 still never get up to the 10,000 figure.

1           THE WITNESS: Yes, that's correct. I  
2 don't have the answer for that right now. I was  
3 just comparing the data with my table.

4           SPECIAL MASTER: No reason to address  
5 it right now if you don't know the immediate  
6 answer to that. My guess is you'll probably  
7 still be on the stand first thing in the morning.  
8 So if you could clarify that point, that would be  
9 great.

10           Thank you.

11           Q        (By Mr. Draper:) Mr. Book, in your  
12 analysis of the so-called Compact reservoirs, did  
13 you draw any conclusions from that analysis?

14           A        I should point to one more table. This  
15 is the overall summary, which is Table 7 on page  
16 37 of the report. This shows the summarized  
17 post-1950 storage for all the years that I  
18 analyzed. One conclusion I draw from this is  
19 that this is a routine aspect of these reservoirs  
20 with their blend of pre and post-1950 storage as  
21 it relates to their exercise in use each year.  
22 So in every year there is consistently some  
23 post-1950 storage that occurs. The storage that  
24 occurs would occur during the storage season,  
25 which would be some combination of storage during

1 the wintertime as well as during the runoff. The  
2 exact allocation of when storage occurs in these  
3 reservoirs is not documented because of access to  
4 the reservoirs. There are not records which  
5 extend month to month across the winter and the  
6 spring. So we don't know when the storage  
7 accrues in these. The best we can do with these  
8 records is that we know storage does accrue  
9 sometime between the time when the releases stop  
10 and when the reservoirs reach a fill and start  
11 being operated for releases.

12           Specifically, the years that we've  
13 evaluated with respect to the Tongue River  
14 Reservoir are shown here, 2001, 2002, 2004, 2006.  
15 This provided the basis of the quantification of  
16 impacts from these reservoirs for those four  
17 years.

18           Q       Are you referring to Table 8?

19           A       Yes, Table 8 is one more step in the  
20 process. It has one additional element it. On  
21 Table 7 what is summarized there is the amount of  
22 actual storage that occurred in each year.

23           Q       And what does Table 8 add?

24           A       There was one more step in the analysis  
25 that I conducted, and that was to make an

1 estimate of the amount of what I term post-1950  
2 return flow. Each of these reservoirs has a use.  
3 Most of them are used for irrigation supply,  
4 supplemental irrigation supply, during the season  
5 after the runoff drops off and the Wyoming canals  
6 start using the water out of these reservoirs.  
7 Based on the allocation of storage, I was able to  
8 discern between the pre-1950 storage and the  
9 post-1950 storage and, again, using the same  
10 convention, that water is first -- first water  
11 used is pre-1950 and then subsequently post-1950,  
12 I was able to determine the amounts of releases  
13 of post-1950 water.

14           The purpose of that analysis was to  
15 compute how much return flow was generated from  
16 the use of the post-1950 water for irrigation.

17           Q       And why did you make that  
18 determination?

19           A       On Table 8, page 38, I have determined  
20 the amount of return flow based on the  
21 calculations that I have made for the return flow  
22 that would accrue during the wintertime. This  
23 would be return flow back to the stream, the  
24 Goose Creek and Little Goose Creek streams. I  
25 have segregated that out between estimated return

1 flows during the wintertime, which would impact  
2 state line flows, and return flows during the  
3 irrigation season, which would impact -- and by  
4 impact, I mean add to the supply of other ditches  
5 in Wyoming. The amount of return flow that I  
6 determined to accrue in the offseason, or during  
7 the winter months, is shown on column 2, and I  
8 have netted that off of the amount of storage  
9 that occurred.

10 Q And how did you use that information?

11 A The last column in that table is what  
12 I'm using as the net effect at the state line,  
13 which is the post-1950 storage reduced -- yes,  
14 reduced by the amount of post-1950 return flow  
15 that would be in the stream during the times of  
16 the year when it would accrue to the state line.  
17 The storage that occurs here is occurring at  
18 times when water rights in Wyoming are generally  
19 satisfied. That's the way the priority system  
20 works. Storage accrues when the reservoirs are  
21 in priority, meaning that the storage, if it  
22 hadn't occurred, would have been available  
23 downstream.

24 Q So did you draw any general conclusion  
25 with respect to the impact of the post-1950

1 storage and Compact reservoirs?

2 A Yes, for the four years at issue here,  
3 that are itemized here, these are the quantities  
4 of storage, as well as post-1950 return flows,  
5 which would result from releases from these  
6 reservoirs to produce a net effect at the state  
7 line.

8 SPECIAL MASTER: Excuse me. When you  
9 said these figures, which figures are you  
10 referring to?

11 THE WITNESS: The Table 8 figures.

12 THE COURT: Column 3?

13 THE WITNESS: Yes.

14 SPECIAL MASTER: Thank you.

15 Q (By Mr. Draper:) Did you also analyze  
16 the Wagner and Five-Mile Reservoirs?

17 A Yes, I did.

18 Q How did you go about -- well, first of  
19 all, what are the Wagner and Five-Mile  
20 Reservoirs?

21 A These are two reservoirs that are  
22 located along the Tongue River near the north end  
23 of the basin, near the state line. These are  
24 reservoirs -- in the case of the Wagner  
25 Reservoir, it is primarily post-1950, although

1 not totally. And with respect to the Five-Mile  
2 Reservoir, I believe that is all post-1950  
3 storage. On these particular reservoirs  
4 additional information was obtained through  
5 deposition of the ranch manager. These  
6 reservoirs are used on the Padlock Ranch, which  
7 is located north of the Tongue River near  
8 Rancheater. The reservoirs are used to irrigate  
9 about 2000 acres of land.

10 Q Is that shown on Figure 10, page 62 of  
11 your report?

12 A Yes, Figure 10 is a detailed map  
13 showing the location of the reservoirs and the  
14 irrigated area that is served by these  
15 reservoirs. As I mentioned, this area is located  
16 near Rancheater north of the Tongue River. This  
17 shows the detail of the property relative to the  
18 Columbus Creek and Five-Mile Creek. This is land  
19 that's irrigated with center pivot sprinklers and  
20 the sole source of supply is from the reservoir,  
21 supply for this ranch. Information was obtained  
22 through deposition for the amount of water  
23 available and used from storage for the two  
24 years. That was used as the basis for the amount  
25 of water used by these reservoirs for the two

1 years.

2 Q So Figure 10, the circles, those are  
3 center pivots?

4 A Yes.

5 Q And the water for those center pivots  
6 comes from where?

7 A The two reservoirs.

8 Q That's the Wagner Reservoir shown  
9 center left, and the Five-Mile Reservoir, top  
10 right of that Figure 10?

11 A Yes.

12 Q And is most of the storage rights in  
13 these two reservoirs post-Compact?

14 A Yes, virtually all of the storage is  
15 post-1950.

16 Q What analysis did you make of the water  
17 uses associated with the Wagner and Five-Mile  
18 Reservoirs in these post-Compact center pivots?

19 A The primary analysis was to document  
20 what the irrigated acreage is. It's something on  
21 the order of 2000 acres. And then, based on the  
22 information provided at the deposition, to  
23 determine how much water had actually been  
24 available in the reservoirs and released for  
25 irrigation. The reservoirs apparently did not

1 fill in the two years that I had information for,  
2 which was 2004 and 2006. So there was something  
3 less than a full amount of the reservoir capacity  
4 that was available and used that year. Based on  
5 the available information about the amount of  
6 water that was available for irrigation and used,  
7 I made a calculation of the consumptive use of  
8 that water by multiplying the amount of water  
9 delivered as irrigation supply times an  
10 efficiency appropriate for center pivot  
11 sprinklers.

12 Q What is the efficiency appropriate for  
13 center pivot sprinklers?

14 A 85 percent is the value that I used,  
15 based on my judgment.

16 Q What conclusions did you draw with  
17 respect to the Wagner and Five-Mile Reservoirs on  
18 the Padlock Ranch?

19 A Well, first of all, I concluded that  
20 these lands were served solely by the reservoir  
21 water and not by direct flow water rights, based  
22 on the information that was provided. That the  
23 total capacity of the reservoirs, including a  
24 third small reservoir, which is referred to as  
25 the Padlock recovery reservoir, the total

1 available capacity is 1200 to 1250 acre-feet.  
2 The amount of water used -- reportedly available  
3 and used in the years 2004 and 2006 was somewhat  
4 less than that. After multiplying by the  
5 efficiency applicable for center pivot  
6 sprinklers, I arrived at the results of 780  
7 acre-feet for 2004 -- excuse me, 610 for 2004 and  
8 840 for 2006. This would be post-1950 storage.

9 Q And those numbers are documented on  
10 page 15 of your report?

11 A Yes.

12 Q Did you also separately investigate  
13 reservoir evaporation in post-1950 reservoirs in  
14 Wyoming that you did not have information about  
15 whether it was used for irrigation or not?

16 A Yes, I did.

17 Q Is that described on pages 15 and 16 of  
18 your report?

19 A Yes, I have a tabulation of the  
20 reservoirs involved. This is on Table 9, which  
21 is page 39. This is a tabulation of storage  
22 rights that are post-1950 and greater than 20  
23 acre-feet. And in addition to that, there were  
24 some other storage facilities that were excluded  
25 from this list on the basis that they were on

1 stream ponds high up in the Goose Creek Basin.  
2 So this is the list that remained after those  
3 exclusions.

4           What is significant in this list is  
5 that the priorities are post-1950 and the  
6 acreages are listed. I simply calculated an  
7 evaporation loss for these reservoirs without the  
8 benefit of records or acreages involved for  
9 irrigation use. Some of these reservoirs, maybe  
10 not all of them, are permitted for irrigation  
11 use, but absent the information about  
12 specifically how they were used or to what extent  
13 they were used, I made a calculation of the  
14 evaporation based on a measured surface area.  
15 The total amount of surface acres for this list  
16 is 215 acres.

17       Q       When you say the acreage is 215 acres,  
18 that's the surface area of the reservoirs in the  
19 table?

20       A       Yes.

21       Q       Combined?

22       A       Yes.

23       Q       It's not an irrigated acreage?

24       A       No.

25       Q       If you had known or had information

1 showing one or more of these reservoirs was used  
2 for irrigation purposes, how would that have  
3 affected your calculation of the consumptive use  
4 associated with that reservoir?

5 A That would have increased the amount of  
6 consumptive use. The replacement of evaporation  
7 based on water surface conditions that we could  
8 document is effectively the minimum amount of  
9 water that would have to be replaced by storage.  
10 So assuming that the reservoirs were in a  
11 position to be filled during the runoff season,  
12 then the evaporation would be the minimum amount  
13 that would have to be replaced, not accounting  
14 for any water that may have been released from  
15 these reservoirs for other use.

16 Q Such as irrigation?

17 A Such as irrigation.

18 Q So to the extent that any of this water  
19 in Table 9 stored in these reservoirs is used for  
20 irrigation, the calculations you did, based on  
21 Table 9, underestimate the total consumptive use;  
22 is that right?

23 A That's correct.

24 SPECIAL MASTER: Mr. Draper, if you are  
25 going to go on to the calculation of the

1 post-1950 irrigated acreage -- I'm looking at the  
2 clock. It's about 2:30 right now. This might be  
3 a good time for the afternoon break.

4 MR. DRAPER: Very good, Your Honor.

5 SPECIAL MASTER: Just so we can plan  
6 ahead, do you have a sense of how much longer  
7 your direct examination is likely to be?

8 MR. DRAPER: I have the feeling by the  
9 end of the afternoon, or early tomorrow morning,  
10 we would be finished with direct examination.

11 SPECIAL MASTER: I just wanted to make  
12 sure Mr. Kaste had a sense of when he might be  
13 able to begin cross-examination. One of the  
14 things I probably should have covered at the  
15 outset is that what I've been thinking about  
16 doing in terms of my own questions of the expert  
17 witnesses is generally while you're conducting  
18 your direct and Mr. Kaste is doing his cross, to  
19 only interrupt for quick clarifications.

20 And then I have been accumulating some  
21 questions that I'd also like to ask the witness,  
22 and my thought is that probably at the end of  
23 each of your examinations, before you actually  
24 sit down, would be a good time to ask any  
25 questions that I accumulate during that period of

1 time. That way if you have any additional  
2 questions you want to ask as part of that, you  
3 can.

4 And it also means, for example, that if  
5 I have some clarifying questions, Mr. Kaste would  
6 have an opportunity to ask any questions in  
7 follow-up and, of course, you'd have an  
8 opportunity in any redirect to address any of the  
9 questions that I raise. But I wanted to see  
10 whether that was okay with both of you, or if you  
11 have any other suggestions.

12 MR. DRAPER: However you want to do it  
13 is fine with me.

14 MR. KASTE: That's fine with me. It's  
15 very reflective of the way we handle jury  
16 questions in these kind of cases or other kinds  
17 of cases. Wait until the end and then the jury  
18 passes up their questions to the witness and then  
19 each counsel has an opportunity to follow up.

20 SPECIAL MASTER: Okay. Great. Then  
21 when you're finished with your direct questions,  
22 then at that point I'll ask some questions of the  
23 witness before you actually sit down.

24 Let's break now. Let's come back at 10  
25 minutes to 3 o'clock.

1 (Recess.)

2 SPECIAL MASTER: Mr. Draper.

3 MR. DRAPER: Thank you, Your Honor.

4 Q (By Mr. Draper:) Picking up where we  
5 were at the beginning of the break, Mr. Book, you  
6 were discussing reservoir operations which is on  
7 your report pages 15 and 16. We talked about how  
8 you went about that analysis. What was the  
9 result of your analysis?

10 A Yes, the summary from that analysis is  
11 on page 16. I took an evaporation, lake  
12 evaporation rate, of average annual conditions  
13 from the Wyoming Climate Atlas, and after  
14 applying a pan co-efficient to the pan  
15 evaporation and netting out the precipitation,  
16 the net annual lake evaporation rate that I  
17 applied is 21 inches. Applied to the 215 acres,  
18 this results in 376 acre-feet per year.

19 Q Is that an average figure?

20 A Yes, I consider that to be an average.

21 Q So that became one part of your  
22 depletion analysis?

23 A Yes.

24 Q You then have a section of your report  
25 entitled, Post 1950 Irrigated Acreage. And just

1 to say one thing about our convention, assuming  
2 you as a witness, and I'm saying that I as a  
3 counsel, when we say post '50 or post 1950, we  
4 mean post January 1, 1950, just to be clear about  
5 that.

6 A Yes.

7 Q What kind of analysis did you perform  
8 that is summarized in section 5.2 of your report  
9 on pages 17 and 18?

10 A The first thing I did was to prepare a  
11 tabulation of post 1950 water rights in the  
12 Tongue River Basin, and this is included as  
13 Appendix G which starts on page 320. This is a  
14 tabulation that was derived from the Napp  
15 tabulation that we discussed earlier this  
16 morning, but limited to post 1950 water rights.  
17 And there are several groupings of these water  
18 rights listed on page 321, generally consistent  
19 with the way they were organized in the Napp  
20 tabulation. So that the first Table G-1 is for  
21 the Tongue River. The second Table G-2 is for  
22 all other tributaries of the Tongue River, not  
23 counting the Goose Creek, Wolf Creek, and Prairie  
24 Dog Creek. The last two tables in Appendix G are  
25 Prairie Dog Creek, main stem, and then

1 tributaries of Prairie Dog Creek.

2           This tabulation includes all of the  
3 post 1950 water rights, including primary or  
4 original supply, which contain a direct flow cfs  
5 rate and acreage associated with that, as well as  
6 supplemental supply water rights, which refer to  
7 an acreage. And also included in this tabulation  
8 are reservoirs, which are indicated by the  
9 reference to acre-feet, or AF in the column under  
10 cfs. So if you see an AF, a number with an AF  
11 behind it, that is referring to a storage right.

12           Q       Can you give us an example of that,  
13 just so I'm sure I'm following you?

14           A       The first one that I see is on page  
15 323, which is the beginning of the tributaries of  
16 the Tongue River. And this is the Watson, that  
17 would be the reservoir, 11.85 acre-feet.

18           Q       Looking at the previous page, 322, I  
19 see some about six or seven entries where there's  
20 the AF and the acreage column, cfs column, is  
21 that an indication of a reservoir?

22           A       Yes, it is. The first one I see there  
23 is the Monarch Slough Reservoir.

24           Q       How did you go about analyzing these  
25 post Compact rights?

1           A           I prepared a summary of the contents of  
2 Appendix G, and that is in Table 10, which is on  
3 page 40.

4           Q           What page is that?

5           A           Page 40 of the report. This is a  
6 summary of the direct flow water rights, not  
7 including supplemental supply rights, just  
8 limited to original irrigation. This shows, for  
9 example, that on the Tongue River Basin there are  
10 425 acres of post 1950 adjudicated water right,  
11 and on the tributaries there are 343 acres.  
12 Those acreages are obtained by summing the  
13 results in the respective tables in Appendix G.

14                   The total amount of acreage for these  
15 post 1950 water rights in the basin is 4,320  
16 acres. The analysis to evaluate the impacts of  
17 direct flow water rights in the years when the  
18 Tongue River Reservoir did not fill was to  
19 quantify in more detail the use of these post  
20 1950 permits on locations in the basin where they  
21 would have an effect at the state line. And  
22 those two locations are considered to be on the  
23 Tongue River and its tributaries and on Prairie  
24 Dog Creek and its tributaries. The reason for  
25 that is that these are areas that have not been

1 subject to regulation in Wyoming for the benefit  
2 of other Wyoming water users; therefore, there  
3 has not been times when the water rights were  
4 regulated off or curtailed based on their  
5 priority date in these two locations in the  
6 basin.

7 Q What are those two locations?

8 A The Tongue River and the tributaries to  
9 the Tongue River, which are the lower  
10 tributaries, and Prairie Dog Creek and its  
11 tributaries.

12 The assumption for this analysis was in  
13 the Big Goose Creek and Little Goose Creek basin  
14 where there are approximately 2,000 acres of post  
15 1950 water rights would be that the regulation  
16 for the benefit of Wyoming water rights would  
17 effectively have precluded the use of these post  
18 1950 water rights at times when they would have  
19 affected the availability of flow for the Tongue  
20 River Reservoir.

21 The next step in the process was to  
22 develop a list of permits, which are listed on  
23 Table 11-A and 11-B for those two areas. Table  
24 11-A contains parcels with permits totaling 388  
25 acres out of the 425 in the Tongue River and

1 248 -- excuse me, 237 acres out of the 343 total  
2 on the tributaries of the Tongue River. So in  
3 Table 11-A I have the list of permits that covers  
4 those two areas, the Tongue River and the  
5 tributaries. And what I have done, then, is  
6 based on the available information that I had  
7 from the aerial photographs as well as the metric  
8 mapping, evaluated these permits for their  
9 irrigation status in the years 2004 and 2006.

10 Q And how did you go about doing that  
11 analysis?

12 A That was based on the first step here  
13 was to have the permitted acreage and then to  
14 evaluate the aerial photograph to see if we could  
15 discern where this acreage was within the  
16 irrigated area mapping that I had prepared, and  
17 to assess based on that, and the metric results,  
18 the irrigation status and the acreage associated  
19 with it.

20 So, for example, the first entry in  
21 this Table referred to as permit 5555 under the  
22 name Verely, that would be one of the post 1950  
23 permits. It's a 12-acre permit. I concluded  
24 that that one was not irrigated those years 2004  
25 and 2006.

1           The various other permits listed here  
2 had various quantifications of acreage based on  
3 my best information I had at the time related to  
4 the location of these permits within the  
5 irrigated area mapping to determine the acreages  
6 in the column. The irrigated acreage that I had  
7 determined was 248 acres for those permits when  
8 you total them up.

9           The next step in the process was to  
10 extract out what are referred to in this Table as  
11 the ET rate. Those are the mapped metric values.  
12 These corresponded to the metric values that were  
13 applicable to polygons within which these permits  
14 occurred.

15         Q       What do you mean by polygon?

16         A       Mapping area, the mapping of the  
17 irrigated area is based on digitized polygons  
18 which are areas that delineate irrigated area  
19 that's classified.

20         Q       This polygon is either a field or a  
21 collection of fields?

22         A       Yes, it's a field or collection of  
23 fields. It depends on the discretization of the  
24 mapping.

25         Q       And by metric what are you referring

1 to?

2 A The evapotranspiration rates that were  
3 provided to me by Dr. Allen in mapped form. So  
4 you are able to determine on a polygon by polygon  
5 basis what the ET rate was for the growing season  
6 that would be applicable to these permits.

7 Q And that's using the methodology you  
8 described earlier?

9 A Yes. Using the results provided by Dr.  
10 Allen, basically his metric mapping of the basin.

11 I then took the ET rates, which are  
12 expressed here in inches, and, again, keeping in  
13 mind that these correspond to rates of total  
14 evapotranspiration, the next step was to apply a  
15 reduction in the total evapotranspiration for the  
16 precipitation to convert this from total  
17 evapotranspiration to what I here have termed net  
18 ET, which is the amount of consumptive use that  
19 occurred by deducting out the precipitation.

20 To do that I used the background rates  
21 of ET that were provided to me by Dr. Allen.  
22 Those rates were approximately 12 inches for each  
23 of the two years.

24 The calculation that is occurring here  
25 on Table 11-A is to convert the total ET in

1 inches to an acre-foot value by deducting the  
2 background ET from the total ET and multiplying  
3 that by the irrigated area I determined. And  
4 that converts the inches to acre-feet, what I  
5 have termed net ET.

6 Q And this is total ET for the irrigation  
7 season in each of those two years?

8 A Yes.

9 Q For each water user?

10 A Yes. For each of these permits.

11 Q All right. And then what did you do?

12 A These values were converted then, as I  
13 mentioned, to acre-feet values of net ET, after  
14 which I summed them up. I have expressed them  
15 down at the bottom of each of these sub-tables as  
16 rates of inches per acre, which is simply the  
17 acre-feet divided by the irrigated area. The  
18 result here was 10.7 inches for 2004 and 16.4  
19 inches for 2006.

20 I did the same exercise for the permits  
21 listed below. These permits on the tributaries  
22 were primarily north side tributaries near the  
23 state line. The permitted acreage for these  
24 parcels was 237. That is out of the 343  
25 permitted acres that are listed in Table 10 for

1 this grouping. I had concluded, based on the  
2 information I had available, that other permits  
3 in this category were not irrigated for these  
4 years, and so they were not included in this  
5 Table.

6 The 237 acres of permits was then  
7 interpreted, again, from the air photo  
8 interpretation and the metric results, and the  
9 digitizing that I had available to me, to be 131  
10 acres irrigated.

11 Again, following the same process that  
12 I described before, this was converted to 87  
13 acre-feet for 2004 and 162 acre-feet for 2006.

14 The other entry on this Table, the Wolf  
15 Creek Cutter water right, subsequent to  
16 submitting this report, it was concluded that was  
17 actually a pre-1950 water right, and that has  
18 been eliminated from the totals when I get to my  
19 rebuttal report.

20 SPECIAL MASTER: Mr. Draper, if you  
21 don't mind, again, I hate to interrupt, but just  
22 figure quick clarifying questions will be  
23 valuable at this stage.

24 MR. DRAPER: Perfectly fine, Your  
25 Honor.

1           THE COURT: First question, on page 18  
2 where you talk about -- this the third paragraph  
3 on page 18, you talk about water rights situated  
4 on the Tongue River or on north side tributaries,  
5 and you say that the water rights total 768  
6 acres. And I notice on page 41 and Table 11-A,  
7 that the total is 626. Is that because -- well,  
8 why is that?

9           THE WITNESS: Yes, the 768 acres that  
10 I'm referring to there is the total of the  
11 permits in Table 10 out of the Napp list. When I  
12 got to Table 11-A, I had eliminated some of the  
13 permits based on my conclusion about  
14 non-irrigation, that some of them were not  
15 irrigated. So the difference between 768 and the  
16 626 is due to some of the permits being  
17 eliminated before I got to this Table.

18           SPECIAL MASTER: Okay. So you had  
19 already come to a conclusion that some of those  
20 were not irrigated, and then after you actually  
21 reduced the number down to the 626 acres, then  
22 you also concluded that some of them had also not  
23 been irrigated?

24           THE WITNESS: That's correct.

25           SPECIAL MASTER: Okay. And then the

1 second question I had, it was not clear from what  
2 you said earlier, in calculating which acres were  
3 irrigated, you said, number one, you relied upon  
4 the metric analysis; is that correct?

5 THE WITNESS: Yes.

6 SPECIAL MASTER: And then you also said  
7 you referred to the aerial photos.

8 THE WITNESS: Yes.

9 SPECIAL MASTER: Is that separate from  
10 the metric analysis?

11 THE WITNESS: Yes, it is, the 2006  
12 aerial photos give you information about the  
13 irrigation status of particular tracts. So I  
14 used both sets of information, the metric as well  
15 as the air photo interpretation.

16 SPECIAL MASTER: And then just a  
17 question on that, then, Appendix A, which has a  
18 group of aerial photos, talks about the aerial  
19 photos being photographs from 2009. Is that  
20 different from the 2006 photos that you're  
21 talking about?

22 THE WITNESS: Yes, that's the Montana  
23 mapping. A little bit confusing. I did  
24 different years for the two states. Montana's  
25 acreage was based on 2009 aerial photographs, and

1 the Wyoming on 2006.

2 SPECIAL MASTER: The final question,  
3 just to clarify, you base the number of acres  
4 irrigated on that 2006 number, and the reason why  
5 there's a variance between the 2004 water usage  
6 and the 2006 water usage is because of the  
7 differences in the ET rates?

8 THE WITNESS: Yes, we had metric  
9 mapping for each of the two years.

10 SPECIAL MASTER: Okay. Thank you. Mr.  
11 Draper.

12 MR. DRAPER: Thank you.

13 Q (By Mr. Draper:) Just to be totally  
14 clear on the master's first question, relating to  
15 the 768 acres on page 18, third paragraph, that  
16 number appears in Table 10, does it not?

17 A Yes.

18 Q That is the total permitted acreage for  
19 the Tongue River and Tongue River tributaries in  
20 that first grouping on Table 10?

21 A Yes.

22 SPECIAL MASTER: I'm sorry, Mr. Draper,  
23 just one more question on this.

24 MR. DRAPER: You bet.

25 SPECIAL MASTER: Which is on Table

1 11-A, on that column of irrigated acreage, you  
2 said you relied both upon the 2006 aerial photos  
3 and the metric analysis. Could you explain how  
4 you use those two together?

5 THE WITNESS: Yes. It's a combination  
6 of considering all the information available to  
7 you. We had metric thresholds established, below  
8 which I considered the water supply to be too  
9 low. That was at a threshold of about 1.5 times  
10 the background ET. So if I had a parcel that was  
11 coming in at less than 18 inches, I considered it  
12 not irrigated. The air photo itself will tell  
13 you about whether water was applied to the field,  
14 just visually, you can determine that, as well as  
15 activities, if you can see irrigation activity or  
16 harvesting, crop harvesting, things like that.

17 I've been using air photos for  
18 interpretation of irrigated area for a long time,  
19 so that's my primary basis for determination of  
20 irrigated area. But the metric then provides  
21 additional information about availability of  
22 water that you need to combine the two.

23 SPECIAL MASTER: So if there -- were  
24 there situations where, for example, your aerial  
25 photos suggested it was irrigated and the metric

1 analysis given the criteria you've just stated  
2 suggested they were not?

3 THE WITNESS: Yes.

4 SPECIAL MASTER: And in that type of a  
5 situation, would you have counted the acres or  
6 not counted the acres?

7 THE WITNESS: I would not count it. In  
8 other words, I would let the metric set the  
9 threshold below which I would not go for  
10 irrigation.

11 SPECIAL MASTER: Thank you. Mr. Draper.

12 MR. DRAPER: Thank you.

13 Q (By Mr. Draper:) And in doing this  
14 work, did you rely on what's been designated  
15 M-14, Exhibit M-14, the Martner 1986 study, or  
16 1986 publication titled, Wyoming Climate Atlas?

17 A That sounds right. Yes, that was the  
18 source of my evaporation information.

19 Q In that regard let me direct your  
20 attention to a couple of figures that may  
21 illustrate the testimony you've been giving here  
22 with respect to evaporation and also post 1950  
23 use. Figure 11 in your report is on page 63.

24 SPECIAL MASTER: Mr. Draper, either I  
25 have suddenly begun going deaf or you're not

1 speaking up quite as much as you were a moment  
2 ago.

3 MR. DRAPER: Thank you, Your Honor.

4 Q (By Mr. Draper:) Mr. Book, does Figure  
5 11 on page 63 show a map of some or all of the  
6 reservoirs that you analyzed and listed on Table  
7 9?

8 A Yes, that is a companion figure that  
9 shows the reservoirs from Table 9. It also shows  
10 the location of the Five-Mile and Wagner  
11 Reservoirs located up near the north part of  
12 there. But it also shows the reservoirs for  
13 which I calculated evaporation for.

14 Q And the neighboring figures, Figure 12  
15 series, do those relate to your analysis of post  
16 1950 acreage in Wyoming?

17 A Yes. Figures 12-A through 12-C  
18 actually refer to permits that were analyzed on  
19 Prairie Dog Creek. These are summarized on Table  
20 11-B, which provides a list of permits, or  
21 groupings of permits, on Prairie Dog Creek,  
22 whether they were irrigated either from a  
23 tributary or from Prairie Dog Creek. These were  
24 permits that were direct flow water rights to  
25 divert water either from the tributary or from

1 Prairie Dog Creek for original irrigation. The  
2 604 acres on Table 11-B compares to the total on  
3 Table 10 of 1,178. So that is the reduction that  
4 I had already incurred when I put this list of  
5 permits together. And then the irrigated area  
6 was further reduced down to 362 acres.

7 The figures that I have included  
8 because of the complexity of the permits on some  
9 of these areas on Prairie Dog Creek, were  
10 provided as backup for the determination of area  
11 and the delineation of the permits. So the  
12 figures 12-A, 12-B, and 12-C correspond to  
13 entries in Table 11-B, basically providing  
14 mapping detail of the permits.

15 Q And what was the result of your  
16 analysis of the post 1950 irrigation shown on  
17 Tables 10 and 11 and in the Figures 12-A through  
18 C?

19 A The calculations were made of the  
20 consumptive use under these permits based on the  
21 metric results for the acreage I had determined  
22 to be irrigated. And that total, then, was used  
23 in my summary of net impacts at the state line.

24 Q All right. I think we are ready, then,  
25 to turn to your analysis of the impacts of ground

1 water pumping. Did you analyze the impacts of  
2 ground water pumping?

3 A I reviewed the information available,  
4 primarily from the basin plan report, the HKM  
5 2002 report. The issue of to what extent there  
6 was ground water being used, either for  
7 irrigation or for other uses in the basin from  
8 the shallow aquifer, I concluded that there was  
9 not a significant amount of that type of pumping  
10 occurring in the basin in Wyoming. 18 permits  
11 were documented in the basin plan report, which  
12 exceeded capacities of 50-gallon per minute. The  
13 information we obtained through deposition was  
14 that ground water use, to the extent it occurs in  
15 the Tongue Basin, is not regulated for the  
16 benefit of senior water rights. Primarily on the  
17 basis that it is considered small, or de minimus.  
18 In my review of those permits, I looked at each  
19 of those 18 permits to evaluate where they were  
20 located in the basin, to what extent they're  
21 being used presently based on aerial photo  
22 interpretation, and what the nature of the  
23 pumping facility was, and concluded that it would  
24 not have significant effects downstream in the  
25 basin. That's primarily because of where they

1 are located in the upper Goose Creek part of the  
2 basin.

3           And I did not prepare any  
4 quantification of impacts attributable to that  
5 type of use.

6           Q       Did you include any other ground water  
7 pumping impacts such as CBM pumping and impacts?

8           A       Yes, I have tabulated the coal-bed  
9 methane pumping impacts on the stream flow based  
10 on the modeling and results that Steve Larson  
11 obtained and provided to me.

12          Q       I believe we have now covered the  
13 various types of impacts that you analyzed. What  
14 general conclusions did you draw, and where do  
15 you show those?

16          A       The quantification of the impacts that  
17 I derived applicable for the four years, 2001,  
18 2002, 2004, and 2006, are summarized on page 43  
19 on Table 12.

20          Q       Would you take us through Table 12,  
21 page 43, part by part to show what impacts are  
22 compiled there?

23          A       Yes. The first part of the Table  
24 relates to the post 1950 storage, and it is  
25 separated out into three parts, based on the

1 various analyses that I described earlier today.

2           The top part relates to the analysis of  
3 post 1950 storage in the so-called Compact  
4 reservoirs, which have combinations of pre and  
5 post 1950 storage, and the evaluation of the  
6 storage records that I made, as well as the  
7 return flows from post 19 -- releases of post  
8 1950 water.

9           I have tabulated the results here for  
10 each of the four years, 2001, 2002, 2004, and  
11 2006, both for storage as well as for the storage  
12 minus the return flow. I have one more  
13 adjustment that I have made to these figures. In  
14 translating them to the state line, I have  
15 reduced the various net impacts that I describe  
16 in this Table by a factor of 10 percent, to  
17 account for incidental losses being conveyed  
18 through the basin. The 10 percent is a figure  
19 that I actually obtained from State of Wyoming  
20 administration of water in the basin. It's a  
21 typical administrative loss that is assessed  
22 primarily on reservoir releases in the basin  
23 where they are delivering water released from  
24 reservoirs to ditches. There is normally an  
25 administrative loss that's assessed. The normal

1 amount is 10 percent. I concluded that 10  
2 percent probably overstates the amount of loss in  
3 the stream, but there would be some loss  
4 involved. Typically, it's referred to as a  
5 conveyance loss.

6 So the numbers are netted between  
7 storage and return flows, and then multiplied by  
8 90 percent.

9 The second category here is the  
10 discussion I had earlier related to the Wagner  
11 Five-Mile and Padlock recovery reservoirs. The  
12 two figures I have for the years '04 and '06 are  
13 tabulated here. Again, there is an amount  
14 released from storage and a return flow amount  
15 that results in a net at the state line, which is  
16 the difference multiplied by 90 percent. I've  
17 used the two years, 2004, 2006, and have derived  
18 an average from those two years, which I then  
19 applied to the other two years that are involved  
20 in this Table, 2001 and 2002.

21 The third category under the post 1950  
22 storage relates to the reservoir evaporation  
23 calculation. The number I mentioned earlier of  
24 376 acre-feet, again, is translated through to  
25 the state line, resulting in 339 acre-feet.

1 That's an average.

2 The second category in this Table is  
3 the post 1950 acreage, and this information is  
4 collecting and summarizing by Table 11-A and  
5 11-B, again restating the irrigated area as well  
6 as the depletions, and then translating the  
7 depletions to the state line using a 10 percent  
8 reduction.

9 I express these, then, as an average,  
10 which I have used for 2001 and 2002 below.

11 The last part of this Table is a  
12 summary of each of these components. In  
13 addition, there is one more component added.  
14 This is referred to as CBM effects, acre-feet per  
15 year. These are results that were provided to me  
16 from Steve Larson, which were the result of  
17 modeling CBM impacts on Tongue River flows. So I  
18 have included those here as either depletions or  
19 accretions, depending on how the effect was  
20 computed.

21 The results are listed for each of the  
22 four years, either using an actual value where it  
23 was available, or using the average where it was  
24 not available for the two years, 2001 and 2002.

25 These are depletions at the state line

1 at times that I have concluded impacted Montana  
2 water rights. These were the years when the  
3 reservoir did not fill. So these would have  
4 occurred at times when the storage could have  
5 occurred.

6 Q And you note the net at the state line  
7 is 90 percent of depletions. I believe you  
8 stated you felt that loss was overstated; is that  
9 right?

10 A I do that as a conservative estimate of  
11 transit loss. Typically, transit loss is bank  
12 storage, a combination of evaporation at the  
13 stream channel and bank storage. Bank storage  
14 will tend to come back in a lagged pattern.  
15 Administrative transit losses account for both  
16 when they are applied for administrative  
17 purposes, but bank losses are typically not a  
18 loss to the system but just a retiming. And then  
19 part of that 10 percent, in my opinion, would be  
20 bank storage that would be retimed back later.

21 Q So in that regard, using the transit  
22 losses you did, tends to understate the impacts  
23 at the state line?

24 A Yes.

25 Q You also state that CBM impacts at the

1 state line did not have transit losses.

2 A That's correct. Those impacts were  
3 computed very near to the state line.

4 Q That's why you did not include a  
5 transit loss there?

6 A That's correct.

7 Q This Table 12 summarizes your  
8 quantitative conclusions based on your initial  
9 expert report?

10 A Yes.

11 Q Did you prepare a rebuttal expert  
12 report for this case?

13 A Yes, I did.

14 Q And why did you do so?

15 A There were certain issues pointed out,  
16 data put forward by the Wyoming experts that I  
17 needed to consider and reconsider and re-evaluate  
18 some of the calculations that I made here with  
19 the additional information. And that was done as  
20 part of the rebuttal report.

21 Q And is your rebuttal report, dated June  
22 4, 2013, and designated Exhibit M-6 in this  
23 proceeding?

24 A Yes.

25 Q Briefly, how did you organize your

1 rebuttal report?

2           A           This report was prepared in response to  
3 two expert reports that were provided by the  
4 State of Wyoming. I've separated this into two  
5 sections, responding to each of those two  
6 reports. The first report that I'm responding to  
7 is from the firm WWC Engineering, Mr. Doyle Fritz  
8 provided that analysis, and that dealt almost  
9 exclusively with the quantification of impacts  
10 within the State of Wyoming.

11                   The second report that I was responding  
12 to was prepared by Mr. Vern Hinckley. That  
13 report in large part addressed issues from my  
14 report related to the analysis of Montana  
15 pre-1950 water rights. So I organized this  
16 report in two parts. First, responding to WWC  
17 Engineering and the additional information I  
18 received as a part of that. And the second part  
19 responding to the issues regarding Montana  
20 pre-1950 water rights.

21           Q           Looking first at the WWC report and  
22 your response to that, what general opinions did  
23 you draw in response to WWC report?

24           A           The quantifications that I had made of  
25 post 1950 uses in Wyoming were challenged in

1 several instances with additional information,  
2 and I considered that additional information, and  
3 made some revisions to my estimates that I had  
4 described earlier. In addition, the report  
5 discussed administration or regulation of water  
6 rights in Wyoming as it related to historic  
7 regulation of water rights throughout the basin.  
8 I concluded certain things. There were certain  
9 conclusions I was able to make about that  
10 documentation of the regulation when it occurs in  
11 the basin and how is that affects post 1950 uses  
12 in Wyoming.

13           There were two specific issues  
14 discussed in that report. One related to use in  
15 the basin specifically in Prairie Dog Creek that  
16 is derived from water that is imported from the  
17 Powder River Basin. Water is supplied to lands  
18 along Prairie Dog Creek from a couple of ditches  
19 that import water from Piney Creek, in that area.  
20 Included in that is a water that is supplied from  
21 Kearney Lake Reservoir, which contains, in  
22 addition to pre-1950 water rights, a water right  
23 that is also considered to be post 1950. WWC had  
24 analyzed the amount of water that was generated  
25 as return flow from the use of post 1950 Kearney

1 Lake Reservoir water in the basin. I addressed  
2 that.

3 In addition, some of the acreage that I  
4 had identified as post 1950 acreage was described  
5 or documented as having been irrigated with  
6 coal-bed methane-produced water in lieu of water  
7 from the natural flow water right in the analysis  
8 that I did. So I addressed that as well.

9 Q What analysis did you perform with  
10 regard to the criticisms based upon Kearney Lake  
11 Reservoir.

12 A Kearney Lake Reservoir is a reservoir  
13 located in the head waters of Piney Creek, and  
14 supplies some water to the lands located along  
15 Prairie Dog Creek. Prairie Dog Creek is unusual  
16 in the Tongue River Basin in that much of the  
17 water supply in that basin is imported from the  
18 Powder River Basin through several ditches.  
19 These are all pre-Compact, pre-1950 facilities.  
20 There's approximately 13,000 acres that are  
21 irrigated now along Prairie Dog Creek, and much  
22 of this is irrigated with this water from outside  
23 the basin.

24 In addition to that, there are numerous  
25 direct flow water rights using Prairie Dog Creek

1 water, as I documented in my Appendix G. A  
2 number of those water rights are post 1950  
3 permits.

4           The calculations that WWC made with  
5 respect specifically to Kearney Lake Reservoir  
6 post 1950 water, was to identify return flows  
7 from the use of that water so that it could be  
8 included in the post 1950 return flows that I had  
9 calculated for the purpose of netting that out  
10 against the impacts that I had calculated for a  
11 post 1950 storage. So it was essentially an add  
12 on of return flows for that calculation.

13           I reviewed the analysis and the data  
14 that Mr. Fritz relied upon for his analysis, and  
15 made some adjustments to his calculations, but  
16 accepted the premise that there would be some  
17 return flow from post 1950 Kearney Lake Reservoir  
18 irrigation and Prairie Dog Creek. The net effect  
19 of that was to increase the amount of return flow  
20 by some amount that is netted out against the  
21 post 1950 storage in my analysis.

22           This is described in more detail in  
23 Appendix C of this report.

24           Q       Does that begin on page 117?

25           A       Yes.

1           Q           And what is contained in Appendix C  
2 then?

3           A           Appendix C is effectively the return  
4 flow calculation that I had described in my  
5 primary report of January, with the same format,  
6 and it has included an extra column, if you will,  
7 for returns from Kearney Lake Reservoir  
8 irrigation water and Prairie Dog Creek. These  
9 estimates of Kearney Lake Reservoir returns are  
10 based on assumptions that were made about how  
11 much water was released and brought over into  
12 Prairie Dog Creek from Kearney Lake Reservoir,  
13 how much of that was post 1950, and then how much  
14 of that would have been delivered for irrigation,  
15 and what the irrigation efficiency would have  
16 been for that water in order to calculate a  
17 return flow.

18          Q           And did this affect your results?

19          A           Yes, it did. Mr. Fritz made several  
20 assumptions regarding the quantification of this  
21 quantity of return flow. I disagreed on the  
22 basis of information and records available about  
23 several of these assumptions, and made  
24 modifications to those assumptions, and made  
25 revisions to Mr. Fritz's numbers and then

1 included them in my calculation of net post 1950  
2 storage.

3 Specifically, the issues were the  
4 irrigation efficiency for irrigation of lands in  
5 Prairie Dog Creek; the amount of water that would  
6 have been delivered from Kearney Lake Reservoir  
7 to lands in Prairie Dog Creek. I believe those  
8 were the two primary assumptions that I made  
9 modifications to.

10 Q And how did you differ with Mr. Fritz  
11 on the irrigation efficiency?

12 A The return flows that I had been using  
13 on the post 1950 storage in the Goose Creek Basin  
14 were derived from the basin plan issued in 2002  
15 based on irrigation systems in the Goose Creek  
16 Basin. My review of Prairie Dog Creek Basin  
17 specifically is that there's a large incidence of  
18 center pivot sprinklers over there, more so than  
19 basin wide, and on that basis I did a summary of  
20 the amount of sprinkler irrigation in that basin  
21 and derived an efficiency that corresponds to the  
22 split between gravity irrigation and center pivot  
23 sprinkler irrigation in the Prairie Dog Creek  
24 Basin.

25 Q And did that differ from the way Mr.

1 Fritz had done it?

2 A Yes, that came up with a higher  
3 efficiency and a lower return flow rate for that  
4 specific water.

5 Q And you had a disagreement with respect  
6 to the amount of water delivered from Kearney  
7 Reservoir. Will you describe that?

8 A Yes. Kearney Lake Reservoir supplies  
9 water to water users on both sides of the divide,  
10 primarily to water users in Prairie Dog Creek,  
11 but also to some limited extent to water users up  
12 on Piney Creek. I believe the assumption had  
13 been made that all of the water indicated as used  
14 out of the reservoir, as allocated to post 1950  
15 priority, had been delivered to lands along  
16 Prairie Dog Creek using a 10 percent reduction.  
17 I modified the factor of 10 percent for two  
18 reasons. Number one, to account for some of the  
19 water most likely being delivered to water users  
20 in the Piney Creek Basin and not into the Prairie  
21 Dog Creek basin, number one; and, number two, to  
22 account for higher loss conditions that likely  
23 occur in the Prairie Dog Creek, which is a  
24 combination of the conveyance ditch from Piney  
25 Creek to Prairie Dog Creek incurring loss, and

1 then Prairie Dog Creek being more of a low  
2 flowing, losing stream. So those two factors  
3 combined caused me to reduce the factor from 10  
4 percent to 20 percent.

5 Q Now, Kearney Reservoir is located in  
6 the Powder River Basin?

7 A Yes.

8 Q Is that also true of Piney Creek?

9 A Yes.

10 Q Whereas Prairie Dog is a tributary of  
11 the Tongue River?

12 A Yes.

13 Q Did you review the criticisms of your  
14 analysis of post 1950 water rights in Wyoming?

15 A Yes, I did.

16 Q And what was the result of your  
17 consideration of those criticisms?

18 A Mr. Fritz had responded to my  
19 quantification of direct flow depletions for post  
20 1950 permits with several factors. I would  
21 describe those into three categories. First, was  
22 that he had concluded that some of the permits  
23 that I had concluded were irrigated were not in  
24 fact irrigated. Second, was that there had been  
25 alternate water supplies provided on some of the

1 permits, specifically coal-bed methane-produced  
2 water, or water from the Piney Creek Reservoir  
3 storage in lieu of diversions on the Prairie Dog  
4 Creek permits. Those were the three primary  
5 issues. And so I investigated each of those  
6 three issues with respect to the permits that I  
7 had analyzed in Tables 11-A and 11-B of my first  
8 report.

9 Q How did you go about analyzing those  
10 criticisms then?

11 A With respect to the first about the  
12 irrigation status of these various permits, I  
13 analyzed the actual permit area based on the  
14 mapped permits for each of these permits, which  
15 had modified in some instances the specific tract  
16 that I had been evaluating on these permits.  
17 When I lined up the specific permit boundaries  
18 with the metric results, and on the air photo,  
19 some of the irrigated area that I had previously  
20 quantified in Table 11-A and 11-B was modified,  
21 as reflected in Tables 2-A and 2-B of my rebuttal  
22 report.

23 Q It was 2-A and B appear in your  
24 rebuttal report on pages 25 and 26?

25 A Yes.

1 Q If you would turn to those, please, so  
2 we can see how you adjusted your analysis.

3 A The adjustments in this Table that I  
4 made are of two types. I have tabulated here the  
5 original irrigated area that I had derived, and  
6 then I have tabulated the irrigated area that Mr.  
7 Fritz had determined and the revised irrigated  
8 area that I determined in response to the  
9 information that he provided. The metric results  
10 in terms of ET rate would have been modified  
11 slightly on some of these permits, to the extent  
12 that the boundaries of the irrigated area, or the  
13 permit, were modified for purposes of this  
14 rebuttal analysis.

15 I've provided both the original ET and  
16 the revised results, and those changes are rather  
17 minor. That's fine tuning the mapping of the  
18 metric of the modified polygon boundaries.

19 Q And just what were the corrections that  
20 you made?

21 A They are documented line by line. And  
22 in addition, I have a narrative on each one of  
23 these permits contained in the report where I  
24 describe the analysis that was developed by Mr.  
25 Fritz and my response to that. The bottom line

1 comparisons can be reviewed by comparing the  
2 original SWE acreage, which is my acreage, with  
3 the revised SWE acreage. So, for example, on the  
4 main stem of the Tongue River the irrigated area  
5 was reduced from 248 down to 202.

6 The primary reason for these reductions  
7 related to the specific digitizing of the  
8 permits, where before I had been using the larger  
9 polygons from the HKM basin plan report. For  
10 this rebuttal analysis I had the specific permit  
11 area for each of these permits digitized and  
12 specifically used that for the air photo  
13 interpretation and the metric results.

14 Also shown on this Table under the main  
15 stem is an entry for the DeLapp permit. This is  
16 a permit that was described to us and documented  
17 for us through mapping, that this permit had been  
18 irrigated with coal-bed methane water at least  
19 for some part of this period. It's not clear to  
20 me exactly which year or years that occurred.

21 But I've indicated that the 35 acres of the  
22 DeLapp parcel were documented to have been served  
23 with coal-bed methane-produced water, and so I've  
24 calculated the bottom line effect, reducing the  
25 total for that permit as well.

1 More of the coal-bed methane water  
2 shows up on Table 2-B, which is Prairie Dog  
3 Creek. There was one set of permits over there  
4 related to the Pilch permits that was also  
5 documented from mapping to have been irrigated  
6 with coal-bed methane produced water.

7 Q What were the overall results with  
8 respect to the Prairie Dog Creek analysis?

9 A Those results are shown on Table 2-B.  
10 The column labeled as irrigated Fritz, which is  
11 claimed by WWC, those are zeros because of  
12 alternate sources of water. There were two  
13 sources of water that were stated. One was the  
14 coal-bed methane. The second was reservoir water  
15 from Piney Creek, primarily from Kearney Lake  
16 Reservoir, as well as Lake De Smet.

17 I have not reduced the post 1950  
18 depletions to account for water that was stated  
19 or reported to have been supplied from those  
20 reservoirs on these lands in Prairie Dog Creek.

21 Q I would like to have you turn our  
22 attention --

23 SPECIAL MASTER: Sorry, could I  
24 interrupt for one second? Again, just  
25 clarification, this should help me. So looking

1 at Table 2-A, if you look down to the DeLapp  
2 line, you'll see that the original SW estimate  
3 was 35. The Fritz estimate was zero. You have  
4 the revised SWE estimate is zero.

5 THE WITNESS: Yes.

6 SPECIAL MASTER: So why does the total  
7 main stem, when you take that zero, does it go  
8 down from the 202 that you have listed up above  
9 down to 160?

10 THE WITNESS: That is the difference  
11 between 202 minus 42. So if you look up in the  
12 fourth line in this Table, I had 42 acres  
13 irrigated on the DeLapp parcel.

14 SPECIAL MASTER: Okay.

15 THE WITNESS: So I included the 42  
16 acres on the top part of this Table.

17 SPECIAL MASTER: I see.

18 THE WITNESS: Show it as irrigated.

19 SPECIAL MASTER: Okay.

20 THE WITNESS: Then deducted out the  
21 coal-bed methane.

22 SPECIAL MASTER: Thank you. So that  
23 second is not really an additive line. It's  
24 actually a subtraction line?

25 THE WITNESS: Yes.

1           SPECIAL MASTER: Thank you.

2           Q           (By Mr. Draper:) Mr. Book, could you  
3 look with us at the figures that you have, Figure  
4 1 and there's 2 through 7, and describe how they  
5 relate to what you've been describing.

6           A           Yes, Figure 1 on page 39 is basically a  
7 restatement of Figure 2 up on the chart from the  
8 first report. This shows some more information  
9 related to the specific permits at issue in this  
10 report. So this would be the first time that I  
11 have presented a map which shows these various  
12 permits and their location. Along the Tongue  
13 River and tributaries of the Tongue River up in  
14 the Dayton/Ranchester area, as well as the  
15 permits along Prairie Dog Creek referenced as  
16 Pilch, Rose, Koltiska. So that the location of  
17 those is identified.

18                   In addition, there are also several  
19 reservoirs shown on this map. Those are specific  
20 reservoirs where I made adjustments to the  
21 surface area for reservoir evaporation. The next  
22 set of figures I provided map detail several of  
23 the permits for comparisons to document the  
24 derivation of the acreage as irrigated. It  
25 starts out by delineating the permitted acreage,

1 and then the irrigated acreage is delineated on  
2 these air photos. There are a set of six  
3 photographs here which correspond to permits in  
4 Tables 2-A and 2-B.

5 Q And do they help understand the choices  
6 you've made with respect to the irrigated acreage  
7 that should be counted?

8 A Yes, these correspond to permits which  
9 we remain in disagreement over the acreage  
10 delineation with Mr. Fritz after review of the  
11 information.

12 Q And the type of information that you've  
13 included on these aerial photographs, consists of  
14 what?

15 A The delineation of land that's  
16 irrigated as well as the permit outline.

17 Q And those are discussed in the body of  
18 the text of your report?

19 A Yes, there's a narrative discussion  
20 that goes along with each one of these permits.

21 Q Those are the narrative descriptions  
22 that appear on pages 6 through 10 or 11 of your  
23 report?

24 A Yes, that's correct.

25 Q You mentioned in looking at the Figure

1 1, I believe I neglected to ask you about this,  
2 that you had looked at criticisms of Mr. Fritz  
3 regarding the evaporation rates from reservoirs?

4 A Yes.

5 Q What did that criticism consist of and  
6 how did you analyze and respond to it?

7 A WWC had concluded that a number of the  
8 reservoirs were located along stream bottoms with  
9 a high predevelopment, evapotranspiration rate,  
10 and that they should have been deleted from my  
11 evaporation calculations. In response to that, I  
12 reviewed the aerial photographs of all the  
13 various reservoirs that he had concerns about,  
14 and made a determination about whether the  
15 reservoir had in fact been constructed in an area  
16 where there was predevelopment  
17 evapotranspiration, what I refer to as riparian  
18 zones. ET supplied from ground water, this would  
19 have to be land that is more than -- is consuming  
20 more than just the precipitation, the background  
21 precipitation. It would have some access to  
22 ground water, and then the theory would be that  
23 the evaporation from such a reservoir would not  
24 be an increase over the natural predevelopment  
25 condition. So I went back and reviewed the

1 various photographs of where these reservoirs  
2 were located with respect to streams and channels  
3 and riparian zones, and for several of the  
4 reservoirs I have either reduced the acreage or  
5 removed them, effectively zeroed out the surface  
6 acreage. Those are displayed on Table 1 of this  
7 report on page 24.

8           For several of these reservoirs I  
9 concluded that the area predevelopment would have  
10 been a riparian zone that would apply to entries  
11 here, such as the Allen Reservoir, the  
12 Eisele-Rise Reservoir, the Polo Reservoir, and  
13 Silver Lake. There are several others, I reduced  
14 the acreage based on -- well, for two of them I  
15 reduced the acreage slightly based on an estimate  
16 about where the riparian zone would be in the  
17 reservoir. That applies to the Widener Reservoir  
18 and the Hanft Reservoir. The issue that related  
19 to the Decker Reservoir was that it was pointed  
20 out to us that reservoir was in part pre-1950 and  
21 in part post 1950. So there was a reduction made  
22 for evaporation loss here of 50 percent on Decker  
23 Reservoir.

24           The net effect was a reduction in the  
25 acreage from 215 acres to 179 acres, and a

1 resulting change in the evaporation loss.

2 Q And is that analysis described in the  
3 text of your report on page 5?

4 A Yes, it is.

5 Q Was there an issue raised by Mr. Fritz  
6 regarding Wagner Reservoir?

7 A Yes.

8 Q Is that described on page 4 of your  
9 report?

10 A Mr. Fritz had concluded that I had not  
11 deducted enough pre-1950 storage from the Wagner  
12 Reservoir quantity of water supply, and proposed  
13 reducing the amount of water supply that I had  
14 developed to account for that. After reviewing  
15 the record from the deposition, the description  
16 of the water rights associated with the Wagner  
17 Reservoir, and the fact that there is split  
18 ownership of some of the water rights in that  
19 reservoir, I concluded that the pre-1950 water  
20 right that was raised at issue here by Mr. Fritz,  
21 was a water right that was owned by the neighbor,  
22 and apparently used by the neighbor, and not  
23 available for use as described by Mr. Benzell.  
24 So I disagreed with that adjustment.

25 Q So you made no change in that regard?

1           A           That's correct.

2           Q           Were there other criticisms by Mr.  
3 Fritz that required a response?

4           A           The last quantitative response I think  
5 I mentioned this earlier, relates to the issue  
6 about the use of reservoir water on Prairie Dog  
7 Creek -- on the permits on Prairie Dog Creek.  
8 The permits that I evaluated were original --  
9 original source irrigation rights for Prairie Dog  
10 Creek lands served from Prairie Dog Creek water  
11 rights. And it was pointed out that the owners  
12 of those lands have access to storage water from  
13 Kearney Lake Reservoir, or Lake De Smet, and had  
14 in fact used that water, and Mr. Fritz had  
15 concluded there shouldn't be any depletion  
16 associated with the Prairie Dog Creek water  
17 rights post 1950 water rights in these years for  
18 these particular permits. I disagree with that  
19 conclusion on the basis that the reservoir  
20 water -- the use of the reservoir water is not  
21 documented as to the time, or specifically who  
22 was using that reservoir water, and it is  
23 unlikely that such water was delivered to the  
24 basin early in the season. The reservoir water  
25 most likely would have started running when the

1 normal reservoir season began with Kearney Lake  
2 Reservoir. And these specific permits at issue  
3 here would be using some other source of water  
4 besides reservoir water, at least for some  
5 significant part of the season.

6 My conclusion overall is that I don't  
7 have enough information regarding the use of  
8 native water or some enlargement of a pre-1950  
9 water right for these, or reservoir water, to  
10 make a reduction to these estimates for these  
11 permits.

12 Q So you made no change in that regard?

13 A That's correct.

14 Q I believe you state your general  
15 conclusions with respect to Mr. Fritz's  
16 criticisms on page 11 and 12 of your rebuttal  
17 report. Briefly, what were your overall  
18 conclusions?

19 A On page 11 and 12, I discuss the  
20 information that I obtained primarily from the  
21 hydrographic survey, but other information  
22 available through discovery as it related  
23 specifically to regulation in the basin for the  
24 four years at issue, 2001, 2002, 2004, and 2006.  
25 This is information that documents periods of

1 time, or points in time, when regulation was most  
2 likely to have started in these basins. Mr.  
3 Fritz had concluded that I had overstated the  
4 post 1950 depletions that would affect Montana  
5 water rights in these four years. And upon  
6 closer inspection of the information available  
7 per regulation, it's my conclusion that  
8 regulation in these types of dry years starts at  
9 various times in these two basins, but does not  
10 apply throughout the entire irrigation season.  
11 The irrigation season is essentially May through  
12 September. The information that I have tabulated  
13 on a year by year basis indicates that regulation  
14 in the Goose Creek Basin can start anywhere from  
15 the beginning of May, in the most extreme year,  
16 to as late as July in some of these four years.

17 My conclusion from that is in periods  
18 when there's not regulation -- active regulation  
19 occurring, there's not an impediment on these  
20 post 1950 water rights within these basins.

21 So it's a review of the information and  
22 a response, I would say, to my initial assumption  
23 about post 1950 use is not occurring in the Goose  
24 Creek and Little Goose Creek subbasin.

25 The quantitative results are summarized

1 on Table 3. Table 3 on page 27 is a mirror image  
2 of Table 12 in the original report. The top part  
3 of this Table, again, this is organized in the  
4 same order as before, the top part of this Table  
5 shows the effect of the post 1950 return flows  
6 from Kearney Lake Reservoir as proposed by Mr.  
7 Fritz and adjusted by me. So that's reflected in  
8 the last column.

9           The second category, the Wagner  
10 Five-Mile results have not changed. The  
11 reservoir evaporation was modified, as I  
12 described, to account for a revision to the  
13 acreage.

14           The section on the post 1950 acreage is  
15 totaled for the revisions, both before any  
16 adjustment for coal-bed methane. So you can see  
17 the acreages both total and then the reduced  
18 acreage if you remove the lands that had been  
19 mapped as irrigated with coal-bed methane water.  
20 So the bottom line total is expressed without  
21 coal-bed methane lands, or with those lands  
22 deducted.

23           The bottom line total on this Table is  
24 listed on the bottom line of the Table after the  
25 adjustments I described. The average over the

1 four years is 2030 acre-feet per year.

2 Q In this last part of the Table, in  
3 which you entitle, summary, covers the four years  
4 you analyzed, and you bring the numbers from the  
5 upper part of the Table into this part; is that  
6 right?

7 A Yes.

8 Q Could you just describe how you do  
9 that, where each one comes from?

10 A The post 1950 storage comes from the  
11 same column as in the previous report, and the  
12 Kearney Lake Reservoir return flows are netted  
13 out in the second-to-the-last line in this Table.  
14 So the post 1950 storage comes from the top part  
15 under net at state line. The Wagner Five-Mile,  
16 again, I'm using the average for the years '01  
17 and '02, and then the original values for 2004  
18 and 2006. The evaporation is an average value  
19 that gets pulled in for each year.

20 The post 1950 acreage reflects the  
21 total for each of the two years, without CBM --  
22 without lands irrigated by CBM water, that's 327  
23 acre-feet for 2004 and 473 acre-feet for 2006.  
24 Then I use the average for each of the other two  
25 years. The coal-bed methane effects are

1 unchanged from the original report.

2 And then those are totaled, and the  
3 Kearney Lake return flows are netted out.

4 Q And that gives you the net with Kearney  
5 Lake return flows accounted for?

6 A Yes.

7 Q So your total on this Table is 8,120  
8 acre-feet of impacts for the four years?

9 A Yes, that's correct.

10 Q Does that summarize the response that  
11 you developed in answer to Mr. Fritz' responsive  
12 report in this case?

13 A Yes.

14 Q And you also responded to Mr.  
15 Hinckley's report; is that right?

16 A Yes.

17 Q What criticisms did Mr. Hinckley  
18 present in his responsive expert report?

19 A I would characterize those in three  
20 categories, which I have responded in part to  
21 each of those. I know others are responding as  
22 well.

23 The three issues that Mr. Hinckley  
24 raised that I am addressing specifically, the  
25 first one related to the actual irrigation of the

1 pre-1950 acreage in Montana, as it had been  
2 mapped in my first report, and as it compared  
3 with the mapping from the survey, the 1946 and  
4 '47 county surveys.

5           The second aspect related to the  
6 calculation I made of the direct flow demands,  
7 and specifically as that related to the timing of  
8 return flows that were used as a component in  
9 that calculation.

10           The third aspect related to the  
11 wintertime operation of Tongue River Reservoir  
12 and the effect that the bypasses in the  
13 wintertime had on the actual filling status that  
14 occurred for the reservoir in each of those four  
15 years. Those were the three primary issues.

16           Q       Let's look at the first one of those,  
17 the issues relating to Montana's pre-1950 water  
18 rights. What did those consist of specifically  
19 and how did you respond?

20           A       I did some more investigation of the  
21 status of the pre-1950 water rights in Montana;  
22 specifically, the direct flow water rights  
23 between the state line and the T&Y Canal. I did  
24 not do any further investigation of the T&Y  
25 Canal, but I did compile documentation of the

1 status and location of the lands that are  
2 pre-1950 under the current State of Montana  
3 adjudication proceedings. I compiled the maps  
4 for those pre-1950 water rights, and prepared a  
5 tabulation in this report. And then produced  
6 mapping which identified where the actual  
7 pre-1950 lands are that are irrigated presently.

8           There are two primary elements of this.  
9 The first is the tabulation that I produced on  
10 Table 4-A and 4-B. This is found at page 28.

11         Q       What do you show on Table 4-A, page 28?

12         A       Table 4-A is a compilation that I  
13 prepared of the pre-1950 water rights in Montana,  
14 as documented in their current database of water  
15 rights on the Tongue River. They have documented  
16 them in addition to the ownership information and  
17 various identifications. They have the priority  
18 dates listed as well as flow rates.

19           On the second page of this tabulation,  
20 this is laid out Table 4-A is the first page,  
21 Table 4-B is a companion page, which contains  
22 various additional information regarding the  
23 status of the documentation. It's primarily on  
24 the second page providing acreage information for  
25 these various water rights from different sources

1 that are contained in the water rights files.  
2 These are documented with a set of footnotes  
3 describing the columns.

4 My conclusion about the acreage is  
5 listed on the first page on Table 4-A, under the  
6 column heading, Maximum Acres. That's the  
7 current status of the water right. In addition  
8 to that is the maximum flow rate in column 9.

9 The other information that's contained  
10 on this Table is a map ID. There is a set of  
11 maps in Appendix A which documents the acreage  
12 irrigated for each of these based on the  
13 information from the maps in the file and located  
14 by this map ID.

15 The bottom line total in terms of  
16 acreage and flow rate is summarized on page 29.  
17 This is a total for these water rights, excluding  
18 the T&Y Canal, so it corresponds to the land  
19 upstream of the T&Y Canal. There are several  
20 other pre-1950 water rights that are located  
21 below the T&Y Canal on the Tongue River. Those  
22 are indicated in blue lines on this Table. But  
23 the total, the bottom line total that I have  
24 listed here, excluding the rights at and below  
25 the T&Y Canal, are 465 cfs, and a maximum acreage

1 of 11,576 acres.

2 I then overlaid these water rights  
3 claims over the irrigated area mapping that I had  
4 described in my original report. I believe that  
5 was Appendix A. What I have tabulated here is  
6 irrigated in 2009, that corresponds to the  
7 irrigated area mapping that I had prepared, and  
8 these figures are the irrigated area for these  
9 pre-1950 water rights. That number totals 8,295  
10 acres for 2009. That would be in addition to the  
11 T&Y Canal.

12 Q And do you show these water rights in  
13 your appendices?

14 A Yes, I do. I prepared an Appendix D to  
15 this report.

16 MR. DRAPER: Your Honor, I might  
17 interrupt for a second. The clock has just  
18 passed 4:30. What's your pleasure?

19 SPECIAL MASTER: I would suggest that we  
20 at a minimum finish up the discussion with  
21 respect to the Montana pre-1950 water rights, and  
22 then the question is on the return flow analysis  
23 in the Tongue River Reservoir, if they are going  
24 to take the more than five or ten minutes, we  
25 should probably leave those for tomorrow morning.

1           MR. DRAPER: I think they will. Now,  
2 there's a couple of appendices, most of this bulk  
3 in the report is related to these pre-1950  
4 rights, the documentation of those. We'll go  
5 through as much of that as you want us to this  
6 afternoon?

7           SPECIAL MASTER: Why don't we do that  
8 right now.

9           MR. DRAPER: Okay.

10          Q        (By Mr. Draper:) Mr. Book, I believe  
11 you have these rights mapped and also documented  
12 in the appendices; is that right?

13          A        Yes, that's correct. I would  
14 characterize Appendix D as the information out of  
15 the water rights files that provides the backup  
16 for the compilation that I prepared, which is the  
17 tabulation.

18          Q        And Appendix D starts on page 125?  
19 I'm sorry, I think it's a little before that.  
20 120.

21          A        Yes, that's correct.

22          Q        And what do you have compiled in  
23 Appendix D?

24          A        I have compiled a series of maps which  
25 correspond to the headings in the Table that we

1 referred to as well as the basic documents  
2 related to the claim and the status of the water  
3 right.

4 Q Now, you have an index in the beginning  
5 of Appendix D. How many water rights are listed  
6 there?

7 A I didn't bring my copy of that Appendix  
8 with me.

9 Q Would you believe 77? Maybe I can --  
10 SPECIAL MASTER: I'm loaning the  
11 witness my copy for a second so he can look.

12 THE WITNESS: Yes, that is correct.

13 Q (By Mr. Draper:) We are supplying the  
14 witness with a copy. Now, these 77 entries on  
15 pages 121 through 124, what do those represent?

16 A These correspond to the individual  
17 records that are tabulated in Table 4-A, and  
18 these are the pre-1950 water rights on the main  
19 stem of the Tongue River in Montana between the  
20 state line down to Miles City.

21 Q So there are 77 pre-1950 water rights  
22 on the Tongue River in Montana?

23 A Yes.

24 Q Those are direct flow water rights?

25 A Yes.

1 Q So they don't include the Tongue River  
2 Reservoir, right?

3 A No, that's correct.

4 Q And just for a brief feel for what you  
5 have in this appendix, the first entry on the  
6 list is the Nance Cattle Company. Documentation  
7 starts on page 125; is that right?

8 A Yes.

9 Q And what do you have here in terms of  
10 documentation?

11 A First, I have a series of maps. This  
12 is a map of the water resource survey as mapped  
13 by the state and the examined place of use.

14 The second map on page 127 is a map of  
15 the claims, claim place of use.

16 The third map is a map of an aerial  
17 photograph with the examined place of use.

18 The fourth map, page 129, is a copy of  
19 the water resource survey, mapping over this  
20 claim on an air photo.

21 Q And after the maps you have further  
22 documentation?

23 A Yes, I've included the information  
24 necessary to compile this information from the  
25 file for the various claims.

1           Q           And is the next document the  
2 preliminary decree in the Tongue River  
3 adjudication?

4           A           Yes.

5           Q           Now, this is the Nance Cattle Company  
6 we are using as an example. What's its priority  
7 date as shown on page 130?

8           A           July 6, 1886.

9           Q           And from compiling this, do you know  
10 whether this is the earliest right on the river?

11          A           It is.

12          Q           Is that the way you've organized these  
13 in terms of priority dates, starting with the  
14 earliest and proceeding later in time?

15          A           We've got this tabulation broken out  
16 into three different categories. The first one  
17 is based on decree; the second category is based  
18 on the file; and the third category is based on  
19 use. These are all different bases for the water  
20 rights.

21          Q           And so using Mr. Nance as the example  
22 here, does the decree include a flow rate?

23          A           Yes.

24          Q           What is that in Mr. Nance's case?

25          A           10.48 cfs.

1 Q Is the acreage also specified?

2 A The maximum acreage ultimately is 375  
3 acres here.

4 Q The maximum acres shown on page 130 is  
5 426, if I'm reading that correctly.

6 A Yeah, that's been modified.

7 SPECIAL MASTER: So just to be clear on  
8 that, then maybe we probably should stop because  
9 I want to make sure people get out of the  
10 courthouse before they actually lock the doors  
11 downstairs. And I figure you probably need to  
12 have a little bit of packing that you'll need to  
13 do.

14 But just so I'm clear last point, just  
15 ask one or two follow-up questions and then you  
16 are certainly welcome, Mr. Draper, to continue on  
17 this particular point tomorrow.

18 But the abstract of the water right  
19 claim is the abstract of the claim that was  
20 submitted by the holder of the claimed water  
21 right permit; is that correct?

22 THE WITNESS: Yes.

23 SPECIAL MASTER: So that was a claim  
24 for 426 acres?

25 THE WITNESS: Yes, that's correct.

1           SPECIAL MASTER: And then following  
2 that you actually have the Master's report in the  
3 proceeding itself, and that's where you saw the  
4 figure 375?

5           THE WITNESS: Yes, that's correct.  
6 That's on page 135.

7           SPECIAL MASTER: Just one other quick  
8 question. In this, are all of the various  
9 materials in this appendix, are they from the  
10 water rights proceeding with respect to the  
11 Tongue River?

12          THE WITNESS: Yes.

13          SPECIAL MASTER: Okay. Thank you. So  
14 if you have one more question you can ask it, Mr.  
15 Draper, but otherwise you can continue first  
16 thing in the morning.

17          MR. DRAPER: Let's continue in the  
18 morning.

19          SPECIAL MASTER: Okay. Thank you very  
20 much. Just quickly, do we have, then, the list  
21 of other witnesses proposed for the next two  
22 days?

23          MR. KASTE: Yes. We received one.

24          SPECIAL MASTER: Okay. I'm assuming  
25 the direct examination will conclude very rapidly

1 in the morning.

2 MR. DRAPER: That's a good assumption.

3 SPECIAL MASTER: Okay.

4 MR. KASTE: I imagine he'll be on the  
5 stand at noon. He's likely to take the whole  
6 afternoon, Mr. Dalby.

7 MR. DRAPER: We can look into that.

8 SPECIAL MASTER: Okay.

9 MR. KASTE: I don't have any major  
10 heartburn about the exhibits that were  
11 identified.

12 SPECIAL MASTER: Great. So what I  
13 would simply suggest is that if there is  
14 significant chance that in fact we'll be able to  
15 finish up with these four witnesses tomorrow,  
16 that we do have a fifth witness available. The  
17 one thing I don't want to do is to end up losing  
18 an hour at the end of the day because we have  
19 finished with all the witnesses without having  
20 somebody available. So I'm not going to suggest  
21 that we have to finish everybody on this list  
22 tomorrow, but if there's a significant chance of  
23 that, I would ask that we have somebody on  
24 standby.

25 MR. DRAPER: We'll do our best.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SPECIAL MASTER: Thank you very much.  
We stand in recess.  
(Recess.)

## 1 REPORTER'S CERTIFICATE

2 I, Richard L. Mattson, Certified Court  
3 Reporter, certify that the witness was first duly  
4 sworn by the Notary Public to testify the truth,  
5 the whole truth, and nothing but the truth; that  
6 this deposition was reported by me in machine  
7 shorthand and thereafter reduced to typewriting  
8 via computer-aided transcription; and that it is  
9 a true and correct record of the testimony given  
10 by said witness.

11 I further certify that I am not attorney for,  
12 nor employed by, nor related to any of the  
13 parties or attorneys to this action, nor  
14 financially interested in the action.

15 IN WITNESS WHEREOF, I have set my hand and  
16 seal at Billings, Montana, this day of  
17 2011.

18  
19  
20 Richard L. Mattson  
21 Certified Court Reporter  
22 Notary Public for the  
State of Montana  
Residing at Billings

23 (Seal)  
My Commission expires:  
24 June 15, 2015  
25