

No. 137, Original  
IN THE SUPREME COURT OF THE UNITED STATES  
VOLUME 10 OF 25 VOLUMES  
TRANSCRIPT OF TRIAL PROCEEDINGS

---

STATE OF MONTANA  
v.  
STATE OF WYOMING  
and  
STATE OF NORTH DAKOTA  
Plaintiff,  
Defendants.

---

BEFORE THE HONORABLE BARTON H. THOMPSON, JR.  
Special Master  
Stanford, California

James F. Battin United States Courthouse  
2601 2nd Avenue North  
Billings, Montana 59101  
9:01, Wednesday, October 30, 2013

Vonni R. Bray, RPR, CRR  
P.O. Box 125  
Laurel, MT 59044  
(406) 670-9533 Cell  
(888) 277-9372 Fax  
vonni.bray@gmail.com

Proceedings recorded by machine shorthand  
Transcript produced by computer-assisted transcription

## 1 APPEARANCES

2 FOR PLAINTIFF STATE OF MONTANA:

3 Mr. John B. Draper, Special Assistant AG  
4 Montgomery & Andrews  
5 325 Paseo de Peralta, 87501  
6 P.O. Box 2307  
7 Santa Fe, NM 87504-2307  
8 Telephone: (505) 986-2525 Fax: (505) 982-4289  
9 E-mail: jdraper@monand.com

7 Mr. Jeffrey J. Wechsler, Special Assistant AG  
8 Montgomery & Andrews  
9 325 Paseo de Peralta, 87501  
10 P.O. Box 2307  
11 Santa Fe, NM 87504-2307  
12 Telephone: (505) 986-2637 Fax: (505) 982-4289  
13 E-mail: djwechsler@montand.com

11 Mr. Cory Swanson  
12 Deputy Attorney General  
13 602 Sanders  
14 P.O. Box 201401  
15 Helena, MT 59624  
16 Telephone: (406) 444-4774 Fax: (406) 444-3549  
17 E-mail: coswanson@mt.gov

15 Ms. Anne Winfield Yates  
16 DNRC Chief Legal Counsel  
17 1625 Eleventh Avenue  
18 P.O. Box 201601  
19 Helena, MT 59620-1601  
20 Telephone: (406) 444-0503 Fax: (406) 444-2684  
21 E-mail: ayates@mt.gov

19 Mr. Kevin R. Peterson  
20 DNRC Legal Counsel  
21 1625 Eleventh Avenue  
22 P.O. Box 201601  
23 Helena MT 59620-1601  
24 Telephone: (406) 444-5785 Fax: (406) 444-2684  
25 E-mail: KevinPeterson@mt.gov

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## APPEARANCES CONTINUED

FOR DEFENDANT STATE OF WYOMING:

Mr. James C. Kaste  
Water & Natural Resources Division  
Sr. Assistant Attorney General  
123 Capitol Building  
Cheyenne, WY 82002  
Telephone: (307) 777-3535 Fax: (307) 777-3542  
E-mail: james.kaste@wyo.gov

Mr. Chris Brown  
Water & Natural Resources Division  
Sr. Assistant Attorney General  
123 Capitol Building  
Cheyenne, WY 82002  
Telephone: (307) 777-3406 Fax: (307) 777-3542  
E-mail: chris.brown@wyo.gov

Mr. Andrew J. Kuhlmann  
Water & Natural Resources Division  
Assistant Attorney General  
123 Capitol Building  
Cheyenne, WY 82002  
Telephone: (307) 777-3537 Fax: (307) 777-3542  
E-mail: andrew.kuhlmann@wyo.gov

FOR DEFENDANT STATE OF NORTH DAKOTA:

Ms. Jennifer L. Verleger  
Assistant Attorney General  
500 North 9th Street  
Bismarck, ND 58501-4509  
Telephone: (701) 328-3640 Fax: (701) 328-4300  
E-mail: jverleger@nd.gov

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## INDEX

	VOLUME	PAGE
--	--------	------

Proceedings .....	10	2053
Certificate of the Court Reporter .....	10	2277

## INDEX TO WITNESSES

FOR THE DEFENDANT:	VOLUME	PAGE
--------------------	--------	------

WILLIAM KNAPP (CONT.)

Direct Examination Cont. by Mr. Kaste .....	10	2055
Cross-Examination by Mr. Draper .....	10	2137
Examination by the Special Master .....	10	2183
Redirect Examination by Mr. Kaste .....	10	2205
Further Examination by the Special Master	.10	2213
Further Redirect by Mr. Kaste .....	10	2215
Further Examination by the Special Master	.10	2217
Further Redirect by Mr. Kaste .....	10	2218

PAT BOYD

Direct Examination by Mr. Kaste .....	10	2220
Cross-Examination by Mr. Wechsler .....	10	2230
Examination by the Special Master .....	10	2259
Redirect Examination by Mr. Kaste .....	10	2259

DAVID SCHROEDER

Direct Examination by Mr. Kaste .....	10	2265
---------------------------------------	----	------

INDEX TO EXHIBITS			
EXHIBIT		VOLUME	PAGE
M020	Tabulations of adjudicated Water Rights in the Tongue River Basin. Bill Knapp, Wyoming Disclosure (WY28913)	..10	2141
M423	Tongue River Schematic Diagram, Tongue River Rights (First Page Only - MT24112)	...10	2157
M485	Memo from Bill Knapp "Digging Deeper into the Well" Re: Reservoir Storage (WY030256)	.....10	2183
M495	E-mail from Bill Knapp to Sue Lowry (Depo Ex. 39) (WY028763-028767)	.....10	2157
W034	Bill Knapp Diary	.....10	2093
W035	Pat Boyd Diary	.....10	2225
W037	Bill Knapp Diary	.....10	2093
W038	Pat Boyd Diary	.....10	2226
W040	Bill Knapp Letter Re: Storage Regulation 2004 Water Year (WY043758-43760, 40848, 43761-43766) with the Exception of Opinion at top of Page 1 and the Last Paragraph on Page 2.	.....10	2137
W041	Bill Knapp 2006 Reservoir Data (WY043757)	....10	2133
W175	Wyoming Reservoirs for Tongue River Basin and Powder River Drainage (Depo Ex. 11)	.....10	2130
W191	Official Tag Books of Pat Boyd and Bill Knapp (Depo Ex. 45)	....10	2234

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

INDEX TO EXHIBITS (Cont.)

EXHIBIT		VOLUME	PAGE
W248	Knapp Tags (WY61214-61221) .....	10	2071
W266	Yellowstone River Compact .....	10	2054
	Commission Report (WY20761-20839)		
W305	Water Distribution Sheet .....	10	2081
W327	2004 Park Diversion Water Orders ..	10	2079
	(Depo Ex. 79)		
W348	Water Distribution Sheet .....	10	2081

1 WEDNESDAY, OCTOBER 30, 2013, 9:01 A.M.

2 SPECIAL MASTER: Okay. Everyone can be  
3 seated. My stack of papers is growing up here. This  
4 is somewhat disturbing.

5 So, Mr. Kaste, it looks like you're ready to  
6 use the -- it's an Elmo? Is that the name for it?

7 MR. KASTE: Yeah.

8 SPECIAL MASTER: I can never remember my  
9 Sesame Street characters.

10 MR. DRAPER: Your Honor, I have a matter that  
11 we might address before he begins his questioning.

12 SPECIAL MASTER: Yes, Mr. Draper.

13 MR. DRAPER: Thank you. Good morning. I  
14 think it was yesterday there was an offer of an exhibit  
15 designated W266.

16 SPECIAL MASTER: That's correct.

17 MR. DRAPER: And I wanted to take a look at  
18 it. I have taken a look at it, and I have no  
19 objection.

20 SPECIAL MASTER: Okay. Excellent. So then  
21 we will admit Exhibit W266. And although we are  
22 admitting it with a Wyoming exhibit number, can we say  
23 that this is actually another one of the joint  
24 exhibits?

25 MR. DRAPER: Yes. That would be fine. It's

1 an early engineering report. So it's fine. Shall we  
2 designate it as the next joint exhibit?

3 MR. KASTE: I don't know if --

4 SPECIAL MASTER: I think it's fine just to  
5 keep it as W266. And actually, I'm not sure it has  
6 much significance, but given it looks like all the  
7 other joint exhibits, it's useful to think of it as one  
8 of them.

9 (Exhibit W266 admitted.)

10 MR. DRAPER: Yes.

11 SPECIAL MASTER: Thank you. I appreciate  
12 that.

13 What's going to be the order of the witnesses  
14 this morning?

15 MR. KASTE: Mr. Knapp followed by Mr. Boyd  
16 followed by Dr. Littlefield followed by Mr. Schroeder.

17 SPECIAL MASTER: Excellent. So thank you  
18 both.

19 Mr. Kaste, do you want to continue your  
20 direct examination?

21 MR. KASTE: Yes.

22

23

24

25



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 WILLIAM KNAPP (CONT.),  
2 having been first duly sworn, testified as follows:

3 DIRECT EXAMINATION

4 BY MR. KASTE:

5 Q. Good morning, Mr. Knapp.

6 A. Good morning.

7 Q. I have placed on the screen beside you a  
8 document entitled "Tongue River schematic diagram."  
9 Did you prepare that document?

10 A. No, sir, I did not.

11 Q. Have you had a chance to look it over and  
12 assess whether or not it accurately depicts the  
13 relationship between the streams, reservoirs, and  
14 ditches, major ditches, on the Tongue River?

15 A. Yes, I looked at it yesterday. And it does  
16 do a very good representation of the flows of the  
17 mountain as well as the streams.

18 Q. All right. Now, obviously, it's not to  
19 scale; right? These things may be closer or farther  
20 apart than that; right?

21 A. Yes. It's definitely not to scale.

22 Q. But I think it's confusing, I think, for  
23 folks who aren't up and down the mountain every day  
24 like you are to understand how all of these things are  
25 connected. So I thought it would be helpful for the

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Special Master to kind of look over this particular  
2 schematic as we talk a little bit today. Okay?

3 A. Okay.

4 Q. And the first thing I think would be helpful  
5 to point out is, with regard to the reservoirs in the  
6 Big Horn Mountains, are they depicted on this  
7 schematic?

8 A. Yes, they are.

9 Q. They are identified by the triangles towards  
10 the top of the document; is that fair?

11 A. Yes, they are in the triangles.

12 Q. And the lines in between those triangles,  
13 does that show how water can flow between those  
14 reservoirs?

15 A. Yes, it would.

16 Q. So, for example, Park Reservoir has two lines  
17 coming out the bottom of it. Does that mean water can  
18 be released both into the east fork of Big Goose and  
19 into Willow Creek?

20 A. Yes. That's exactly what they're showing.

21 SPECIAL MASTER: Can I just ask, Mr. Kaste.  
22 Number one, I understand you're not introducing or  
23 trying to introduce this into evidence at this  
24 particular point in time because the witness has not  
25 seen this before. But it would be useful for me, if

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 you're going to discuss this, just to quickly have the  
2 witness sort of identify the various arms of the  
3 schematic.

4 THE WITNESS: I'd be glad to.

5 SPECIAL MASTER: Mr. Draper, do you --

6 MR. DRAPER: Pardon me, Your Honor?

7 SPECIAL MASTER: Yes.

8 MR. DRAPER: I was not trying to say  
9 anything.

10 SPECIAL MASTER: Okay. Great. I was wanting  
11 to make sure you didn't have any concerns.

12 MR. DRAPER: Not at this time.

13 SPECIAL MASTER: Thanks.

14 BY MR. KASTE:

15 Q. So if you could, in response to the Special  
16 Master, identify the major components in the Tongue  
17 River system on this diagram and kind of explain how  
18 they fit together.

19 A. Yes, I can. It's a substantial plumbing  
20 system up there. Since we mentioned Park, I will  
21 identify it first. It's this reservoir right here. It  
22 is on the east fork, which runs this way.

23 The other outlet on Park that we described is  
24 what we call the Park diversion ditch, and it runs over  
25 and into the headwaters of Little Goose. So everything

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 on the left side of the drawing is Little Goose in one  
2 way or another.

3 Q. Can you show us where on the diagram Little  
4 Goose Creek flows? Show us which one of these lines is  
5 Little Goose Creek.

6 A. Oh, sure.

7 SPECIAL MASTER: It's just that the writing  
8 is extremely small.

9 MR. KASTE: I can provide you a hard copy if  
10 that might help you.

11 SPECIAL MASTER: That would actually be  
12 useful.

13 MR. KASTE: This is actually Montana Exhibit  
14 423. It contains more pages than just the schematic  
15 diagram that we're looking at.

16 SPECIAL MASTER: Thank you. So you were  
17 explaining that Little Goose is the branch of the  
18 schematic on the far left?

19 THE WITNESS: The far left, third, correct.  
20 I can -- on the screen I can redraw it. Somebody  
21 changed scales, so it's no longer -- it's very extreme  
22 left, kind of trapezoidal line stick drawing is Little  
23 Goose.

24 SPECIAL MASTER: Okay. Actually, maybe it  
25 will be even faster if I just ask whether or not

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 various things are correct.

2           So as I understand it, what this shows is  
3 that there is Little Goose Creek far left. Then sort  
4 of shifting a little more, there's Big Goose down the  
5 middle. There's Soldier a little bit farther on the  
6 right. And those three all flow into Big Goose Creek  
7 that then flows into the Tongue; is that correct?

8           THE WITNESS: That is absolutely correct.

9           SPECIAL MASTER: Thanks.

10 BY MR. KASTE:

11           Q. And can you identify which reservoirs are  
12 capable of putting water into Little Goose Creek?

13           A. Yes. That would be Cross Creek, Big Horn,  
14 Park, Martin, Last Chance, Willitts. And I do believe  
15 that would be them.

16           Q. All right. And which of the mountain  
17 reservoirs can put water into Big Goose Creek?

18           A. Okay. That would be, again, Park. Big Horn  
19 and Cross Creek have that capability, but there's no  
20 shareholders there. So it doesn't go that way.  
21 They're on a drainage that could potentially send water  
22 to Big Goose, but they don't.

23           Weston goes to Big Goose. I'm sorry. I hit  
24 Park. Weston goes to Big Goose. Dome Lake, which is  
25 upper and lower. There's two storage facilities. Twin

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Lakes actually is now a facility. Sawmill Reservoir,  
2 and that is west fork storage.

3 Q. There's a triangle on this diagram for  
4 something called Stull Lake?

5 A. That's a natural reservoir lake. It's not a  
6 storage facility.

7 Q. Okay. Great. And this diagram also shows  
8 some of the major ditches. Can you confirm for us it  
9 doesn't show all of the ditches on these creeks?

10 A. Sure.

11 Q. You just have to look at them and make sure  
12 it doesn't have all of them.

13 A. No, it does not. I see a couple missing.

14 Q. Does it show accurately which of the  
15 tributaries to the Tongue River these ditches are able  
16 to obtain their water from?

17 A. Yes, it's correct in that aspect.

18 Q. So, for example, the Gerdel Ditch gets its  
19 water from Little Goose Creek; is that correct?

20 A. That's correct.

21 Q. And to give some idea of the location of  
22 these ditches in relation to the town of Sheridan,  
23 where is the Gerdel Ditch headgate, in relation to the  
24 town of Sheridan or the town of Big Horn?

25 A. The Gerdel Ditch headwater is immediately

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 below the town of Big Horn, which is approximately  
2 6 miles upstream of Sheridan.

3 Q. All right. And where is the Burn Cleuch  
4 headgate?

5 A. The Burn Cleuch headgate is about a half a  
6 mile above Sheridan.

7 Q. So in the order of headgates, upstream would  
8 be Gerdel, downstream would be Burn Cleuch?

9 A. That's correct.

10 Q. And the schematic shows on Big Goose Creek,  
11 for example, the Alliance and PK Ditches going across  
12 the line for Soldier Creek?

13 A. Yes.

14 Q. What does that mean?

15 A. It means they have the potential to deliver  
16 water into the Soldier Creek drainage.

17 Q. All right. And would it be generally  
18 accurate to say that the streams upon which these  
19 headgates are located are the streams where their  
20 return flows will end up?

21 A. Yes, that's correct.

22 Q. Although, I get the impression something like  
23 the Alliance Ditch, depending on where the water comes  
24 out, might go either into Big Goose Creek or into  
25 Soldier?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           A.    Yes, and I would clarify that.  There's a  
2 substantial amount of return flows in Soldier Creek  
3 that has originated in Big Goose.

4           Q.    All right.  I have to tell you, I've been up  
5 and down that mountain a couple of times.  And the  
6 location of things still baffles me.  And this is the  
7 best thing I have ever seen for somebody who doesn't --  
8 that's just not familiar with the area to put things  
9 sort of in place.

10                   I'd like to talk to you just a little bit  
11 about the reservoirs that are depicted on this  
12 schematic.  Can you identify which of these mountain  
13 reservoirs allow a winter release?

14           A.    Park Reservoir releases 4 and a half foot, by  
15 statute.  They got money from the WWC -- I'm sorry.  
16 From the state.  And I do not know the initials.

17           Q.    The Wyoming water Development Commission?

18           A.    Was it them?  Okay.  It was WW developed  
19 commission of water.  And a part of that agreement was  
20 that there would be a winter release for fish, which  
21 was done by statute for state monies.

22           Q.    And --

23                   SPECIAL MASTER:  Again, just for clarity for  
24 the record.  When you say "4 and a half feet," feet in  
25 that case is --



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 THE WITNESS: Cubic feet per second.

2 SPECIAL MASTER: CFS. Okay. Thank you.

3 MR. KASTE: And I just want to let the Court  
4 know, Mr. LoGuidice yesterday talked about the statute  
5 being in Title 41. That's incorrect. The statute is  
6 actually in the non-codified sections of Wyoming  
7 statutes, which I didn't actually know existed until  
8 this case. And it can be found at Wyoming Statute  
9 99-99-504.

10 BY MR. KASTE:

11 Q. I asked you to identify the reservoirs that  
12 allow a winter release. You've identified Park.

13 A. That's correct. I just learned recently  
14 Cross Creek cracks their headgate a little in the  
15 winter. But Big Horn immediately below them is closed.  
16 So any water in Cross Creek does not store. It does  
17 not make it off the mountain. And that's it for all of  
18 these identified reservoirs on this schematic.

19 Q. So really when we talk about winter releases  
20 among the reservoirs in your district, we're talking  
21 about Park Reservoir; correct?

22 A. That's correct.

23 Q. Now, does the Wyoming Game and Fish  
24 Department or commission have a water right in Park  
25 Reservoir?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. Yes, they do.

2 Q. For how much?

3 A. They have three different parcels. They have  
4 a minimum pool, which is, again, for the monies, for  
5 fish. They have 90 acre-feet of water which is to help  
6 offset the damages incurred by having this 4 and a half  
7 foot winter release, so their storage water to  
8 compensate for that flow that they capture every year.

9 And then they have a flushing release, which  
10 I believe is around 500 acre-feet. And that is so that  
11 they can run 90 CFS down the stream for three days to  
12 desilt the beds of the Big Goose and help the fish.

13 Q. So if I understand right, there's 90  
14 acre-feet of water set aside within Park Reservoir to  
15 off -- that's what gets used for the 4 and a half foot  
16 winter release?

17 A. It's specifically to cover the differences  
18 between inflows and outflows on that release.

19 SPECIAL MASTER: Again, just for clarity, you  
20 used the term minimum pool.

21 THE WITNESS: Yes. At the bottom of the  
22 pool, Game and Fish now owns a piece of Park. It's  
23 above the grades.

24 SPECIAL MASTER: Okay. Thank you.

25 THE WITNESS: Not to be released.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 BY MR. KASTE:

2 Q. But they have a water right to store water in  
3 there for that fish purpose?

4 A. Yes.

5 Q. All right. While we're talking about  
6 reservoirs, let's talk a little bit about how you might  
7 count the inflows and outflows from a reservoir. Park  
8 Reservoir has a winter release offset by the Game and  
9 Fish water right. In general -- and it sounds like  
10 your reservoirs don't typically do this -- but if a  
11 reservoir released during the course of a winter a  
12 certain amount of water, could you count that against  
13 their fill?

14 A. Yes, I would.

15 Q. All right. Does that kind of thing ever  
16 happen in the reservoirs within your district, where  
17 you're forced to assess whether or not winter releases  
18 should or shouldn't be counted against a fill?

19 A. It has not happened yet. These reservoir  
20 operators shut down on October 1. They get ready for  
21 the next water year.

22 Q. Is there anybody above them that they could  
23 call in the event that they didn't fill?

24 A. Just the independent reservoirs. Park could  
25 call for Cross Creek, but that -- they have not had to

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 do so.

2 Q. You haven't been faced with a situation where  
3 water was so short you had to make that kind of  
4 decision; right?

5 A. No.

6 Q. All right. What about a situation -- what if  
7 water had to go past the headgate because there was  
8 some reason why the dam couldn't store? Could you  
9 count that flow against the reservoir's fill?

10 A. Yes, I certainly could.

11 Q. Would you -- well, you haven't had do that  
12 yet, so I won't ask you a hypothetical what you would  
13 do in a given situation that has not yet arisen.

14 What about if the reservoir just decided,  
15 because for operational reasons, it wanted to pass  
16 water to keep its reservoir at a certain level? You  
17 can count that against the fill?

18 A. Yes, any water that they failed to store  
19 would -- could be counted against their storage rights.

20 Q. Fair enough. All right. Now let's talk  
21 about something a little different for a while. And  
22 regulation during the course of the irrigation season.  
23 We talked with Mr. Whitaker about this to some extent,  
24 and we talked to Mr. LoGuidice to some extent. So we  
25 won't spend an inordinate amount of time on it.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           But you're the guy on the ground who is  
2 charged with day-to-day regulation of Big Goose and  
3 Little Goose Creek; correct?

4           A.     That's correct.

5           Q.     All right. Now, I'd like you to explain to  
6 us how regulation is typically initiated in your  
7 district.

8           A.     Well, before we're into regulation, I will be  
9 checking my diversions, checking stream flows. As  
10 Little Goose, in particular, gets down to the mid-60  
11 range, I know it's starting to become short, so then I  
12 pay a little more attention at my headgates.

13                   Typically what happens is at some point I  
14 will see one of my early rights short. And so I will  
15 make myself available, even to the point of driving  
16 into that ditch rider or president's driveway, and talk  
17 to him. And if he decides -- you know, "I went to your  
18 headgate and you're a couple feet short."

19                   And he says, "Yeah, and I'd sure like to have  
20 it," then that is a call. I construe that as being a  
21 call. He asked me for the difference in his water  
22 right.

23                   So at that time, I will go back to his  
24 headgate, confirm that it is actually short, and then  
25 decide which priority is most junior above them. And

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 then we just start reducing water by priority until we  
2 satisfy the senior rights.

3 Q. Now, is that different from looking at a  
4 stream gauge and seeing a specific number and saying,  
5 "I'm going to go out and start turning headgates on my  
6 own"?

7 A. It's very different. I've had a similar  
8 situation where I asked an early right if he wanted his  
9 water, and he said, "No, I'm fine with what I got." So  
10 I don't go get him the water. He said he doesn't need  
11 it. So I can't assume that they need their entire  
12 right.

13 Q. All right. Now, once regulation begins, are  
14 you able to react to changes in demand on the stream  
15 sort of day to day?

16 A. Yes, I have to react day to day.

17 Q. All right. Does the demand on the stream  
18 change day to day?

19 A. Yes, it can.

20 Q. How does that happen?

21 A. Well, there's haying, is the immediate  
22 effect. Around about the time they are ready to do  
23 first cut, I'll start seeing a lot of lack of water,  
24 maybe somebody in the other ditches, same ditches is  
25 increased.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           There's -- ditches have problems. There's  
2 been more than once that a ditch will blow out, and all  
3 of a sudden I had 6 foot of water in the creek. And  
4 where did it come from? I wish they would tell me my  
5 ditch blew out. But this doesn't happen that way.

6           Our reservoir water -- you know, these guys  
7 do their best on the mountain. They say we want 5,  
8 CFS; it might be 5 and a half on Tuesday. It goes up  
9 to 7, and it might be 6 and three-quarter on Thursday.  
10 But overall, I've lost a little piece of water. And in  
11 Little Goose, it's tight enough that those minor  
12 changes like that affect me.

13           Q. In response to these minor changes, are you  
14 consistently moving up and down the stream and  
15 adjusting the diversions to the various appropriators?

16           A. I am, three times a week.

17           Q. All right. And are you in contact with the  
18 irrigators and the streams in your district?

19           A. Yes. I'm more specifically in contact with  
20 the people that run the ditch. But I think about every  
21 irrigator out there knows who I am.

22           Q. On a day-to-day basis, are you communicating  
23 with the ditch riders about their needs and their  
24 supply?

25           A. Not everyone every day. But everyone,

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 whenever they're affected by what I'm doing.

2 Q. Do they tell you when they are short water?

3 A. Most assuredly.

4 Q. Now, in the course of regulating, we've had  
5 some testimony about tags.

6 A. Yes.

7 Q. Now, I have some tags that I'd like to show  
8 you and share with the Special Master that we can talk  
9 about. So I'm going to hand you Exhibit W248. And  
10 this is a collection on one page of several cards.  
11 That might be a good way to describe them. If you  
12 would thumb through those for me and tell me if you  
13 recognize those documents.

14 A. Yes, I do. These are tags that I have used.

15 Q. All right. And so did you -- you're the  
16 person who did the writing on these tags?

17 A. Yes, I am.

18 Q. Did you do that as a force of work in  
19 Division 2?

20 A. Yes.

21 Q. Okay. And if you'll turn to the last page of  
22 the exhibit. You see one card that's a little  
23 different, and it doesn't have any handwriting on it.  
24 Can you identify what that is?

25 A. Yes. What we were looking at originally was



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 the stubs that remain in our tab book. This is what is  
2 torn out and attached to the headgate with similar  
3 writing, the gauge type that they are allowed and our  
4 phone number and my name.

5 MR. KASTE: I'd move for the admission of  
6 Exhibit W248.

7 SPECIAL MASTER: Mr. Draper?

8 MR. DRAPER: No objection, Your Honor.

9 SPECIAL MASTER: Okay. Exhibit W248 is  
10 admitted.

11 (Exhibit W248 admitted.)

12 BY MR. KASTE:

13 Q. Let's stay on the last page and look at the  
14 tag that is whole and not yet filled out.

15 Can you explain -- if I understand right,  
16 these come in a book not yet filled out; correct?

17 A. That's correct.

18 Q. Okay. So what do you do with this document  
19 from beginning to end?

20 A. When I have a need to tag a headgate, I will  
21 set the headgate to the gate height I'd like it to be  
22 at first. And then I go to the actual diversion  
23 structure on the creek and just use -- fill out water  
24 commissioner, district, division numbers, the date, and  
25 then the office phone number.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           And then it also has a number of -- the  
2 spreadsheet allows for date and by a gauge height, CFS,  
3 maybe 16 entries. So on the first one, I will put the  
4 date, the gate, by BK, gauge height, and CFS that I'm  
5 setting it for and tagging it to on that date.

6           As things change, sometimes you can fill up  
7 the entire tag.

8           Q. Now, is it fair to say the right side of the  
9 document with the big word "notice" on the top is  
10 detached and then attached to the headgate?

11          A. Yes, that is a portion -- along the dashed  
12 line is a tear-out, and it's attached to the headgate.

13          Q. And do you ever -- do you get those back?

14          A. No.

15          Q. 'Cause we don't have a big stack of this side  
16 of the tags in the documents in this case.

17          A. No.

18          Q. But the left side of the document stays in  
19 the book; is that correct?

20          A. The left side stays in the book.

21          Q. All right. Now, if we just look above at one  
22 of the example tags, that one says Division 2, District  
23 IV, Little Goose, Muskrat Ditch, regulated by BK Is  
24 that you?

25          A. That would be me.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. And then what is the information?

2 A. Well, what it says there is on 8/3 -- and  
3 what I've learned since then is to make sure I put the  
4 year, but I did not at this time -- the gauge height  
5 was .63. The CFS is 1.97. I noted Muskrat Ditch.  
6 Their actual right is the 1.52. But they always order  
7 a half a foot of water because they are a little tree  
8 farm, and they like to water above the ditch. So half  
9 foot minus 5 percent brings them up to 1.97. So I was  
10 giving them their territorial right plus a half foot of  
11 reservoir water that day.

12 Q. All right. Now, I got the impression from  
13 one of your earlier answers that sometimes you write  
14 multiple -- on multiple lines on the tag that you affix  
15 to the headgate; is that true?

16 So, for example, you might be there on  
17 August 3rd and maybe on August 10th. Would you write  
18 something on the tag affixed to the headgate in  
19 addition?

20 A. I will. I mean, this is -- one way of  
21 communicating with the operator of that ditch is I've  
22 got a tag, and they don't touch them when I tag it.  
23 I've told them if there's sticks and limbs and headgate  
24 pressure changes, you can bring it up to the gauge  
25 height tag, but I'd like them to know where it belongs.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           They might change the reservoir order from a  
2 half foot to a foot and a half. So now instead of  
3 1.97, they get another .9 percent. So the day that  
4 happens, I will redate it, give them a new gauge  
5 height, and a new quantity that carries forth from that  
6 date until a change is made.

7           Q. All right. Do you always write that on the  
8 tag in the book?

9           A. No, I do not. I write it in my diary.

10          Q. All right. 'Cause if you look at the next --  
11 or the second to last page, if you just flip one page  
12 forward, I see the two tags that have dates on them.  
13 There's only -- it only shows there's one date visited  
14 for that tag. Can you see that?

15          A. Yes.

16          Q. Okay. So we would have to look at your diary  
17 in order to see what you did subsequent to the  
18 placement of the tag originally?

19          A. Yes, we would.

20          Q. And I think we've heard from a number of  
21 witnesses that you don't always put tags on the  
22 headgates; right?

23          A. No, I do not. In my district it's been  
24 verbal most of the time. If they're cooperating and I  
25 tell them this is the headgate I'd like to have -- and

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 part of it is because once I tag it -- I mean, Martin  
2 McCarty called me this summer, "My headgate's a little  
3 low."

4 SPECIAL MASTER: I know that you'd like to  
5 talk to me, and I appreciate it. But if you'd talk  
6 into the mic.

7 THE WITNESS: Okay. I will. I moved it as  
8 far as it could go this way.

9 Martin McCarty called me this summer 'cause  
10 his headgate was a little short, and I told him he can  
11 bring it up to the gate height. But with that tag on  
12 there, he won't touch it. I was like, "All right,  
13 Martin. I'll come out and set your headgate where it  
14 belongs."

15 So with the tag, they're scared of them. If  
16 it's just verbal and I tell him that, it doesn't carry  
17 the same weight as this document that says they're  
18 going to owe \$1200 if they touch this thing.

19 BY MR. KASTE:

20 Q. So it sounds like part of the consideration  
21 about whether or not to put a tag on is your  
22 relationship with the particular irrigator?

23 A. In a large part, yes, it is our relationship  
24 with the irrigator.

25 Q. And I got the impression that sometimes,

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 based on that relationship, you authorize them to make  
2 changes to their headgate?

3 A. Yes, I do. And specifically those kind of  
4 incidents. Last Chance Ditch is prone to headgate  
5 pressure changes. So if I got to be there five days a  
6 week, I don't have time. If they would help me  
7 maintain the gauge height that we agreed upon, it saves  
8 me time that I don't have for those kind of details.

9 Q. After you authorize them to take certain  
10 action, do you go back and check and make sure that  
11 they did it right?

12 A. Oh, yeah. Regardless of -- I mean, these  
13 headgates on Little Goose, I'm typically there three  
14 times a week. So, yes, I've checked it. They may have  
15 done it on Tuesday and I'm there on a Wednesday, but  
16 it's constantly checked.

17 Q. All right. Now, we've talked about in the  
18 past the presence of reservoir water in with natural  
19 flow in the streams. And that happens on both Big  
20 Goose and Little Goose, sounds like most of the time;  
21 right?

22 A. Most of the time.

23 Q. All right. Now, I'd like to talk with you  
24 about how reservoir orders are initiated. And I have a  
25 document I'd like you to look at.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. Correct.

2 Q. I'd like to hand you Exhibit W327.

3 A. I have it.

4 Q. All right. Go ahead and thumb through those  
5 real quick because there's a stack again.

6 A. Okay. I know what I'm looking at.

7 Q. Okay. What are we looking at?

8 A. You're looking at the order records for Park  
9 Reservoir for 2006, if they are in sequential order.  
10 The first one I'm seeing is 8/6, yes. So more than  
11 likely, the first order we got Park Reservoir was on  
12 8/6.

13 Q. Let me stop you. I'm looking at the same  
14 page, and it says 8/6/2004.

15 A. You're correct. Each individual -- the Wild  
16 Rose water Group ordered 2 CFS, Alliance. The JC Ranch  
17 ordered 2 CFS for the Peralta. And then right on down  
18 the page through 8/6, there's a total of six orders  
19 changes that day from six individuals out of Park  
20 Reservoir.

21 John Wantulok brings these in on Mondays,  
22 Wednesdays, and Fridays after he's received these  
23 orders from the shareholders of the Park Reservoir and  
24 gives them to us so that we know how much water is  
25 being released from Park that day and where it's

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 supposed to go.

2 Q. All right. Turn to, I think it's the third  
3 page in, of the exhibit. And we see a document that's  
4 a little bit different.

5 A. I do.

6 Q. Can you tell us what that handwritten note  
7 is?

8 A. That is what John does. And it's very  
9 helpful for us. It's pretty much a running tabulation  
10 of the totals in the ditch. The first page is strictly  
11 changes. And I would -- seeing that second page for  
12 '89, there's -- a little more water already had been  
13 ordered prior to 8/6. So what John will do is, the  
14 Peralta Ditch has 4 CFS, JC Ranch, we saw that ordered.  
15 But there's also a foot and a half of Little Goose  
16 Ranch. And I suspect that wasn't a change -- no.

17 So it gives us just a quick little total for  
18 the Peralta Ditch and a quick total for the Last  
19 Chance.

20 The front ones are the actual official change  
21 sheets. John is just an accountant. He likes to keep  
22 these little cheat sheets like page 3 is.

23 Q. Okay. And are the remaining documents in  
24 this exhibit either the official change orders for  
25 these kind of running totals?



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           A.    The remaining, I did not see another --  
2 there's something. There's at least one of those  
3 farther down.

4           Q.    All right. And we don't have to go through  
5 the contents of those in detail. I think we have a  
6 better exhibit for that. But I want to give the  
7 Special Master an idea that the type of writing that  
8 you receive in order to order reservoir water. And is  
9 that what these are?

10          A.    That's exactly what these are.

11          Q.    And there should be a whole bunch more of  
12 them out there; right?

13          A.    Should be, yes.

14          Q.    All right. And these are just a sample.

15                MR. KASTE: I'd move for the admission of  
16 Exhibit W327.

17                MR. DRAPER: No objection, Your Honor.

18                SPECIAL MASTER: Thank you. Exhibit W327 is  
19 admitted.

20                                (Exhibit W327 admitted.)

21 BY MR. KASTE:

22          Q.    So the various reservoir operators come in  
23 and give you something in writing --

24          A.    Yes.

25          Q.    -- either that card or some other

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 communication indicating that they want to order  
2 reservoir water; right?

3 A. That's correct.

4 Q. All right. Now, what do you do with that  
5 information?

6 A. Because there's as many reservoirs as there  
7 are and as many options for how the water gets to a  
8 ditch and which ditch it's going to, we created a  
9 reservoir diversion sheet that I use in the field just  
10 to make sure I know where water is going.

11 Q. And at the end of the year, end of the water  
12 year when you're compiling your data for the year, do  
13 you then take the data from these various reservoir  
14 orders and compile that into a document?

15 A. Yes, I do. It's in our annual hydrographer's  
16 publication.

17 Q. Let me show you a couple other documents and  
18 make sure I understand. I'd like to hand you  
19 Exhibit 305 and 348, W305 and W348.

20 A. Thank you.

21 Q. Do you recognize those two documents?

22 A. Yes, I do.

23 Q. Can you tell us what they are.

24 A. The one I'm looking at right now is the  
25 reservoir distribution sheet.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 SPECIAL MASTER: Which one is that?

2 THE WITNESS: It would be W305.

3 SPECIAL MASTER: Okay. Thanks.

4 THE WITNESS: And it is the reservoir  
5 distribution sheet for the water year of 2004.

6 BY MR. KASTE:

7 Q. All right. And is Exhibit W348 the reservoir  
8 distribution sheet for the water year 2006?

9 A. That is correct. That's what that one is.

10 Q. And does this contain or reflect all the  
11 reservoir orders in your district for 2004 and 2006?

12 A. Yes, it does.

13 Q. All right. And you create this record in the  
14 regular course of your business; is that right?

15 A. Yes, we do.

16 MR. KASTE: I'd move the admission of  
17 Exhibits W305 and W348.

18 MR. DRAPER: No objection.

19 SPECIAL MASTER: Okay. Thank you. So  
20 Exhibits W305 and W348 are admitted into evidence.

21 (Exhibits W305 and W348  
22 admitted.)

23 BY MR. KASTE:

24 Q. All right. Now, let's pick a day and kind of  
25 go through the information on one of these reservoir

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 spreadsheets. And you have Exhibit 305 in your hand;  
2 correct?

3 A. Correct.

4 Q. So that would be the water year 2004?

5 A. Correct.

6 Q. And the first page has a date on it. What's  
7 the date?

8 A. June 9th, 2004.

9 Q. Okay. What -- if that's the first page, what  
10 does that tell us?

11 A. That would be the first order of any of our  
12 reservoirs for that year.

13 Q. All right. Let's pick a day where there's  
14 some more interesting stuff going on, because as I  
15 understand it, as the season goes on, reservoir orders  
16 increase; right?

17 A. Yes.

18 Q. Pick a day in July and turn to that page.

19 A. July. Well, first thing I put was July 20th.

20 Q. All right. Can you walk us through what  
21 Exhibit 305 shows was occurring on July 20th, 2004,  
22 with regard to reservoir orders?

23 A. Yes, I can. On July 20th, Park Reservoir is  
24 in bold at the top portion of the page. There was --  
25 it looks like nine different people had ordered -- have

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 or had ordered water. They're presently releasing  
2 water to those folks. And those nine people, that  
3 water is going into five different ditches. In this  
4 case, all of these ditches are in Little Goose.

5 Then Big Horn Reservoir, there was, I would  
6 say, approximately 12 people. And Big Horn Reservoir  
7 is secondary supplies. It's attached water. So it  
8 always goes, as you see here, to the Colorado Colony  
9 Ditch.

10 Last Chance Reservoir has a foot and a half  
11 ordered. And it's going into the East Side Ditch.

12 Q. All right. So if I understand right, the --  
13 well, the first column identifies the reservoirs and  
14 the reservoir shareholders; right?

15 A. That's correct.

16 Q. And then the next two columns identify what?

17 A. This would be that schematic we started with  
18 today. It would pertain to those. Park Diversion  
19 Ditch is how water gets out of Park Reservoir into  
20 Little Goose. So that's what I expect to see on the  
21 mountain, is that much water in Park Diversion Ditch.  
22 So that's why it's broken down into the -- subtotal of  
23 20 CFS. If I was going to the mountain that day,  
24 that's what I would want to see in Park Diversion  
25 Ditch.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. Okay. And as we move across the spreadsheet  
2 into the overall column that says Park Diversion, what  
3 do those individual columns underneath that show?

4 A. That's our -- Malcolm Wallop has ordered 2  
5 and a half CFS. Joe Schuchert ordered 7. And on down  
6 the line. Those are individual shareholders and how  
7 much they ordered.

8 Q. And then -- but going down in the columns  
9 under Park Diversion, does that show the ditch that  
10 that water is to be delivered to?

11 A. Not yet. That's how much I'm seeing in Park  
12 Diversion Ditch. That's a ditch. So I expect to see  
13 20 CFS coming out of the Park on the mountain, in the  
14 Park Diversion Ditch.

15 Q. And we see that total down at the bottom  
16 under Park Diversion?

17 A. Yes.

18 Q. What I'm talking about is the middle of the  
19 spreadsheet as you move farther across and you see  
20 things like Peralta, Last Chance, Red Hill.

21 A. Sure.

22 Q. Under those columns, does that imply the  
23 ditch the water is going to go into?

24 A. All right. Yes. The first third of this  
25 page is the mountain plumbing. The second third on

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 this one is titled wrong. Where it says Park  
2 Diversion, maybe that's the confusion. The portion  
3 where it says Park Diversion in a big, wide tab should  
4 say Little Goose. And those are all of the Little  
5 Goose ditches. Once it comes off the mountain, then  
6 that water is further distributed according to these  
7 ditches.

8 In this case, Malcolm Wallop, which I  
9 mentioned had 2 feet in the Park Diversion Ditch, its  
10 ultimate destination is Last Chance Ditch.

11 Q. All right. And if we look at the bottom, we  
12 have a release coming from Dome Lake. And that goes  
13 into the west fork of Big Goose; is that correct?

14 A. Yes.

15 Q. And then we follow that particular release  
16 all the way across. And we're able to determine that  
17 it's going to go into the Alliance Ditch; is that  
18 right?

19 A. Yes, that's correct.

20 Q. All right. And you make this spreadsheet  
21 every day of the irrigation season when reservoir water  
22 is ordered?

23 A. Yes.

24 Q. So you -- are you able to tell at the dam how  
25 much water is coming out and where it's all supposed to

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 go?

2 A. Yes, I can.

3 Q. And all of that information -- well, not all.  
4 But does that information then make it into the annual  
5 hydrographers' report?

6 A. Yes, it does. The shareholder -- it's not in  
7 the same format. You will not see this distribution  
8 sheet. But what you will see is Malcolm Wallop ordered  
9 a total of 600 acre-feet, if that's how much he ordered  
10 that year under that particular reservoir. And there  
11 will be another portion that shows Peralta ditcheries  
12 [sic], on April 27, was receiving 6 CFS from Park, it  
13 was receiving 2 from Cross Creek. So, yes, it's in  
14 different formats, but it's all there.

15 Q. All right. And then how do you make sure  
16 that the water coming out of the reservoir in these  
17 amounts actually end up in these ditches in the same  
18 amounts?

19 A. I go and put the water in. Once we're in the  
20 reservoir, we essentially put the water into the  
21 ditches.

22 Q. Well, the way you describe that sounds like  
23 you have a bucket and you walk it over and dump it in.  
24 And I don't think that's what happens.

25 A. No.



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. Can you explain the actual process in a  
2 little more detail?

3 A. An actual headgate is not a bucket. It's a  
4 screw gate with a -- sometimes round pipes. So it's a  
5 round gate that slides in front of a pipe and a  
6 square-threaded screw and a wheel that you turn it.  
7 And you can lift and open up more pipe. Sometimes  
8 they're rectangular on rectangular gates.

9 Regardless, you screw them up to allow more  
10 water into the ditch; screw them down to allow less  
11 water in the ditch.

12 Q. How is it that you're able to figure out  
13 that, for example, this particular ditch is supposed to  
14 get 2 feet of water from this reservoir on this day?

15 A. Well, on the sheet we talked about, I will  
16 have that, that that's what's supposed to happen. We  
17 have recorders. For example, the Park Reservoir Ditch  
18 is a supply ditch that shows the actual reservoir water  
19 on the mountain. In the canyon, I can see the canyon  
20 gauges show change.

21 When the reservoir changes are significant,  
22 then I can see what time the water showed up and if the  
23 appropriate change in the creek happened in the canyon.  
24 And then on the ditch, we have measuring devices that  
25 allow me to see how much water I have put in the ditch.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. So it's just basically a continual process of  
2 measurement from the top all the way down to the bottom  
3 and adding and subtracting as water goes here and  
4 there?

5 A. Yes, you can track it from the source to its  
6 original destination. And it's measured two or three  
7 times by the time it gets there.

8 Q. Are you also keeping track of the natural  
9 flow that exists in the creek?

10 A. Yes, we are.

11 Q. And then are you able to regulate the natural  
12 flow by the priorities along the stream as well?

13 A. Yes, I absolutely do that.

14 Q. And does that basically follow the same  
15 process?

16 A. Well, the same process as this is water  
17 that's already in the creek. So when I go, for  
18 example, to the Little Goose gauge and I have my  
19 reservoir distribution sheet, it tells me I've got a  
20 total of 57 CFS of reservoir water in Little Goose but  
21 there's actually 87 in the creek. I will sit there,  
22 after I've determined that it's 87 CFS, and I will take  
23 57 CFS that is reservoir releases, apply a 10 percent  
24 shrink to that. So now I'm 57 minus 5.7. So I'm 51.3  
25 feet. I had 87, so the difference there is what the

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 creek flow is, the natural portion of the stream.

2 Q. So if I understand right, at each of these  
3 particular measuring points, as you're working your way  
4 down the stream, you can assess what's reservoir and  
5 natural flow; right?

6 A. Yes.

7 Q. And if the return flows have occurred and  
8 they have increased the natural flow from the last  
9 point you can see that; is that fair?

10 A. I can. I can see that I've -- I have more  
11 water in the stream. It's not necessarily measured. I  
12 have two gauges on Little Goose. So I can see that  
13 I've -- between gauges I can see that increased or  
14 decreased and have a little definition of what I've  
15 received.

16 Q. All right. And do you utilize those return  
17 flows to help satisfy the early irrigators farther down  
18 the stream?

19 A. Yes. Once it enters the system again, it  
20 becomes a portion of the flow.

21 Q. Okay. Before we move on from these reservoir  
22 spreadsheets, I want to make sure the Special Master  
23 doesn't have any particular questions about how they  
24 work. I think you've done a good job explaining them.

25 SPECIAL MASTER: I could ask all day what

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 each of the individual notations means. But I'm going  
2 to trust you that you've already asked about the  
3 important ones.

4 MR. KASTE: We picked randomly that  
5 particular day. I just want to give you a feel for how  
6 the document operates. And then we're going to have, I  
7 think, a little more discussion about some specific  
8 things later on.

9 SPECIAL MASTER: I have a good feel for that.

10 MR. KASTE: Great.

11 BY MR. KASTE:

12 Q. Now I'm going to jump back to a different  
13 topic because that's the way I did my outline, and I  
14 don't have a good reason for it. With regard to  
15 reservoirs, we've talked about some of the documents  
16 that you've created to demonstrate the reservoir  
17 operations. There's another document that we've talked  
18 about called a notice to fill. And I don't have any of  
19 those in my hand, Bill.

20 A. No, you do not.

21 Q. Do you know what a notice to fill is?

22 A. I do know what a notice to fill is.

23 Q. Historically, what has been your practice  
24 with regard to distributing notices to fill to the  
25 reservoir operators in your district?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           A.    When I first started, we were doing notices  
2 to fill on all these reservoirs. It would take me a  
3 week to two weeks to find the operators of these  
4 ditches and hand them a piece of paper that was telling  
5 them to do something they started on October 1st every  
6 year. So I gave them up.

7           Q.    All right. So we don't have notices to fill  
8 for the reservoirs in your district for the years 2004  
9 and 2006?

10          A.    No, you do not.

11          Q.    Did the reservoir operators in your district  
12 begin storing water on October 1st during 2004 and  
13 2006?

14          A.    Yes, they did.

15          Q.    Is that what they do every year?

16          A.    That's absolutely what they do every year.

17          Q.    Do the -- well, do you communicate with those  
18 reservoir operators all the time?

19          A.    Yes.

20          Q.    All right. Do you and they have an  
21 understanding about what could happen to them if they  
22 don't fill?

23          A.    Yes, they do understand that -- what could  
24 happen, if they are -- they could potentially lose  
25 water if they don't store immediately.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. Now, we talked about reservoir  
2 orders and how you record those.

3 And you also create, every year, a  
4 hydrographer's diary; is that correct?

5 A. Yes.

6 Q. And we haven't seen those yet in this  
7 litigation, so I'd like to hand you a couple more  
8 exhibits.

9 I'm going to hand you what's been marked  
10 Exhibit W34 and Exhibit W37. Can you tell us what  
11 Exhibit W34 is?

12 A. Yes. Exhibit W34 are copies of my 2004  
13 hydrographers' diary.

14 Q. And the handwriting in that diary is all  
15 yours?

16 A. Yes, the handwriting is mine.

17 Q. Does the diary appear to be complete?

18 A. Yes.

19 Q. And is that a record that you regularly  
20 create in the course of your business?

21 A. Yes, it is.

22 MR. KASTE: I'd move for the admission of  
23 Exhibit W34.

24 MR. DRAPER: No objection.

25 SPECIAL MASTER: Okay. Exhibit W34 is

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 admitted.

2 (Exhibit W034 admitted.)

3 BY MR. KASTE:

4 Q. Same basic questions with regard to Exhibit  
5 W37. Can you identify what that document is?

6 A. Yes, I can. This is my 2006 -- a copy of my  
7 2006 hydrographers' diary.

8 Q. And is the handwriting in there yours?

9 A. The handwriting is mine.

10 Q. Does it appear to be complete?

11 A. Yes, it does.

12 Q. Is that something that you create regularly  
13 in the course of your business?

14 A. Yes, it is.

15 MR. KASTE: I'd move for the admission of  
16 Exhibit W37.

17 MR. DRAPER: No objection, Your Honor.

18 SPECIAL MASTER: All right. Exhibit W37 is  
19 admitted.

20 (Exhibit W037 admitted.)

21 BY MR. KASTE:

22 Q. What do you hope to do with your  
23 hydrographers' diaries? How do you use them, and what  
24 do you put in them?

25 Give us an overview of why you carry that

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 around like it's your baby.

2 A. I carry this around like it's my baby because  
3 all of my field information that I collect is in here.  
4 It's times I visited headgates. It's times I took  
5 elevations on reservoirs. It's the observations that I  
6 made.

7 If I'm in regulation, it shows how much  
8 reservoir water that ditch was receiving, how much of  
9 the natural flow they're receiving, if any, and most  
10 often the math that I use to derive these numbers.

11 Q. There's a lot of math doodles in here; right?

12 A. Yes, there is.

13 Q. Have you thought about investing in a  
14 calculator?

15 A. I have one in my pack, but I still like to  
16 illustrate it in the diary. Ten percent's a real  
17 simple number to work with.

18 Q. All right. Very good. So do you try to put  
19 into this diary pretty much all of what you do every  
20 day?

21 A. Yes.

22 Q. That's not necessarily true in the winter,  
23 though, is it?

24 A. No, it's not. I'm in the office. We do a  
25 weekly report of our duties. And so what I do is on



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 those sheets in the winter.

2 Q. All right. So in the irrigation season, in  
3 summer and spring when you're working hard, your  
4 day-to-day activities are reflected in these two  
5 diaries?

6 A. They are reflected here.

7 Q. And your observations about what you see are  
8 often reflected as well?

9 A. Yes.

10 Q. All right. I want to look at a couple of  
11 pages in here and -- to give everybody a feel for the  
12 kind of information that's in there and what we can  
13 learn from it.

14 Let's start on Exhibit W34, which is the 2004  
15 diary. And let's look at May 9.

16 A. Okay. I have that page.

17 Q. There's just one entry on May 9th; is that  
18 correct?

19 A. That's correct.

20 Q. What does it say?

21 A. It says "Dome filled." I went to Dome that  
22 day and observed it was full.

23 Q. That's one of the reservoirs in the mountains  
24 in the Big Horns?

25 A. That's correct.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. And you observed that the reservoir was full  
2 by that date?

3 A. Yes.

4 Q. And do you often record your observations of  
5 the reservoirs in the hydrographers' diary?

6 A. Yes, at every opportunity.

7 Q. But you -- I assume you don't spend your  
8 whole day on the mountain?

9 A. No, I would like to catch the actual point  
10 when they fill as filled, but it's practically  
11 impossible. So I may have been to the mountain a week  
12 ago spot-checking the reservoirs. Park Reservoir is --  
13 I will continue with an example. It may not be the  
14 same one. I was there on a previous week. And, say,  
15 9:30 I saw the Park Reservoir was minus 1.1 feet. A  
16 week later, I may be at Park; it's now full.

17 So I will -- those two data entries would be  
18 reflected, the two observations at the points of time I  
19 saw those observations. What that tells me is Park  
20 filled somewhere between those two days.

21 Q. All right. Let's go look at another day  
22 where there's more stuff reflected in your diary.

23 A. Sure.

24 Q. Let's go look at May 17th, 2004.

25 A. Yes.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. Can you walk us through the  
2 information reflected in your diary for that particular  
3 day.

4 A. Yes, I can. May 17th, 11:37 I went to the  
5 Red Hill Ditch. I read the Parshall flume there at  
6 .388 gauge height. This is a 4-foot flume, so that  
7 indicates there was 2.64 CFS.

8 I regulated it off and tagged it that day.  
9 Noted that there was a leak past the headgate of 0.11,  
10 which is 4/10 of a CFS. It was leaking at that time.  
11 I did not seal it, or I would have indicated so.

12 11:47 I then went to the Peralta, noted the  
13 gauge height of .26 equals 2.8 CFS. 1.07 CFS is --  
14 that is the Red Hill right that's legally been  
15 transferred to Peralta. And so I noted that they are  
16 1.37 CFS strong. It does not appear that I took their  
17 1.73 feet at that time. No, I did not.

18 Then at 11:53 I went to the Little Goose  
19 gauge. And it was -- this is the actual stream gauge.  
20 So I noted a gauge height of 1.72 feet on it.

21 We are, at this point in time, applying a  
22 minus 1 shift. Streams are static. They don't always  
23 stick to the rating table as moss comes in, silt comes  
24 in. We measure them monthly to check the accuracy.  
25 The previous measurement indicated I needed to shift

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 the rating down a hundredth of a foot to keep it within  
2 the scope of the stream. And that 1.71 with shift  
3 applied was 56.3 CFS.

4 12:10 went to Last Chance. Their gauge  
5 height was .68. Equal to 10.84. Last Chance has a  
6 right to 11.05, which equals a gauge height of .68. So  
7 they are on -- exactly on the gauge chart I want them  
8 to be on for their early territorial rights.

9 12:35 went to Colorado Colony. 1.20 gauge  
10 height equals 37.49 CFS. Open five turns to 1.21  
11 equals 37.99. Net gain of half a CFS; 2 and a half  
12 from Red Hill release. Okay. So I'm anticipating that  
13 the water I took from the Red Hill at 11:37 is going to  
14 show up at this headgate, but it's not here yet.  
15 Maybe. I can't indicate really that it's here yet or  
16 not.

17 Q. All right. I got a couple questions about  
18 that.

19 A. Sure. That was my morning.

20 Q. Now, can you tell from your hydrographer  
21 diary, and you might have to look at a page before or  
22 after potentially, what streams in your district were  
23 in regulation on May 17th?

24 A. Yeah, I would have to look at previous pages  
25 to be able to see what was going on in Big Goose.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Those are my two streams. Pat potentially had  
2 something going on in his district. So it would be in  
3 his diary.

4 Q. Sure. There's a bit in the second line here  
5 where you talked about a leaky headgate.

6 A. Yes.

7 Q. Do you recall that?

8 What do you do, typically, when you  
9 experience a headgate that's leaking?

10 A. Well, I have gone and got an orange tarp out  
11 of my truck and put it in front of a headgate in the  
12 past. If it's an easy enough one for me to take care  
13 of myself, I will so. If it's beyond anything I can  
14 do, I'll contact the ditch company and let them know  
15 they need to deal with an issue.

16 Q. So you take the tarp, and you stick it in  
17 front of the headgate to hold water?

18 A. Creekside of the headgate. Get my waders on  
19 and get in.

20 Q. All right. But if it's a more complicated  
21 repair, you notify the owner of the headgate?

22 A. Yes, I will contact them for anything that I  
23 can't deal with like that.

24 Q. Do they typically come out and fix it?

25 A. Absolutely, yeah.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. In a timely way?

2 A. Absolutely, yes.

3 SPECIAL MASTER: Can I just suggest -- we're  
4 going to try to do the two morning breaks. So this  
5 might be a good time, if that's fine with you, to take  
6 the first ten-minute break if you're going to go to a  
7 different document.

8 MR. KASTE: I'm going to do one more page in  
9 here and then move on to another document. Now might  
10 be a good time.

11 SPECIAL MASTER: Sounds good. So we then  
12 will take a ten-minute break now and come back at  
13 quarter after the hour.

14 (Recess taken 10:06 to 10:17  
15 a.m., October 30, 2013)

16 SPECIAL MASTER: Okay. Everyone can be  
17 seated.

18 Mr. Kaste.

19 BY MR. KASTE:

20 Q. All right. Mr. Knapp, we're going to take  
21 two steps back and three steps forward to try to get  
22 some things that I missed.

23 First, with regard to the Tongue River  
24 schematic, could you please identify for us -- you  
25 testified that it didn't show all the ditches, just

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 some of the major ones.

2           Would you show us where the NB Held Ditch is  
3 located in relation to Big Goose Creek?

4           A.    Yes, I would.

5           Q.    Okay. Please do that.

6           A.    It would be on Big Goose Creek approximately  
7 here on the electronic display; it's a little dot. The  
8 confluence -- yes, that is it.

9           Q.    So the NB Held Ditch is downstream of the  
10 Alliance Ditch?

11          A.    Yes, it is. It's just above Sheridan.

12          Q.    Just above Sheridan. So is there regulation  
13 that occurs in a typical year related to the NB Held  
14 Ditch?

15          A.    Yes. In a drought year, we've had to  
16 regulate for NB Held.

17          Q.    Is Big Goose Creek regulated from basically  
18 the town of Sheridan up to the mountains in a typical  
19 year?

20          A.    In a drought year. It's essentially  
21 regulated in most years, in the sense that they are  
22 delivering reservoir water. That's enough tailwater --  
23 it becomes dry at the Alliance in that scenario. But  
24 there's enough tailwater returning to the system that  
25 we do not get calls from the early rights, which are

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 all downstream. They have that right to call us when  
2 it's short. But in a heavier water year, we can dry it  
3 up to Alliance, and the tailwater takes care of the  
4 bottom half of the system.

5 Q. So the lower rights are senior in that --

6 A. The lower rights are senior.

7 Q. But they are satisfied?

8 A. Yes, they are.

9 Q. And not making a call on the upper rights?

10 A. They are not making the call.

11 Q. All right. And like I said, two steps back,  
12 here's the second step back. If you'd go with me to  
13 Exhibit W348. The reservoir -- or the order  
14 spreadsheet.

15 A. Okay.

16 Q. And look at July 28th, 2006.

17 A. Okay.

18 Q. Can you identify what reservoirs were  
19 releasing water on July 28th, 2006?

20 A. I can. They would be Park Reservoir, Big  
21 Horn Reservoir, Dome Reservoir. Sawmill Reservoir is  
22 not. Twin Lakes is releasing. Martin is releasing, as  
23 is Cross Creek Reservoir.

24 Q. When a reservoir is making releases like  
25 that, I assume they are not storing?



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. No, they are not storing. At this point in  
2 time, they have to pass through these inflows.

3 Q. At that point in time, July 28th, 2006, is  
4 there any storage happening in your reservoirs?

5 A. No.

6 Q. And for --

7 A. They've been in regulation for a while, and  
8 they were passing through stream flows.

9 Q. All right. Now, hopefully we can make one  
10 step forward. Go ahead and put that exhibit away. And  
11 let's look at one more date in your 2004 hydrographers'  
12 diary.

13 A. Okay.

14 Q. Do you have that one?

15 A. I do.

16 Q. Exhibit W34. Can you tell us from your diary  
17 what May 24th, 2004, looked like for you?

18 A. May 24th, I looked in Cross Creek water for  
19 the Peralta right that was released through Park. So  
20 at that point in time, we had taken all of Cross Creek  
21 water and given it to Peralta Ditch, which has the  
22 priority. There's priority over storage on the  
23 mountain. And so we'd essentially cut off the fill on  
24 Big Horn and Cross Creek to give the water to the  
25 Peralta Ditch.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           The top note above says, "37 percent of the  
2 water belongs to the Colorado Colony."

3           Q.    So if I understand right, at that point in  
4 time, the irrigation rights downstream are trying to  
5 get their natural flow water, and in order to give that  
6 to them, you're going to stop storage?

7           A.    That is correct.

8           Q.    All right.  If any was occurring during that  
9 time.  All right.

10           What else is going on May 24th?

11           A.    24th looked at the Park Diversion at 0900.  
12 It had 20 CFS.  I believe the reason I looked there is  
13 because that's where I expected to see the Peralta  
14 water show up as a means of conveyance out of the east  
15 fork into Little Goose.

16           And then I just checked the lower system of  
17 Little Goose.  I went to the Burn Cleuch which is the  
18 lowest of my territorial rights of significance in  
19 Little Goose up to the Gerdel, up to the west side,  
20 assured it was off.

21           Q.    Why does it have to be off?

22           A.    'Cause it's out of priority by now.

23           Q.    So is the Little Goose Creek in regulation on  
24 May 24th?

25           A.    Yes.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. Oh, okay. Then what happened after you  
2 looked at the west side?

3 A. Up the east side. This is the No. 2 right.  
4 They have a right for 13, but they were getting 6.74.  
5 Muskrat was getting their right -- no, they were not.  
6 They were .45 short. So I did not do anything for them  
7 at that time, just noted it.

8 Measured Little Goose. So I went up and did  
9 one of those cross-sectional measurements we referred  
10 to where we try to keep the accuracy of the stream  
11 gauges within parameters.

12 Peralta is right there, so I checked the  
13 Peralta notes on the Peralta at 1800. I have to cipher  
14 this. 1.7 of Red Hill. And 10.71 of the Cross Creek  
15 water is what I'm referring to with that 10.71. And  
16 that's since Colorado Colony is getting 37 percent,  
17 some of that water legally could have been the  
18 Colorado. They're getting 48 percent of 20, I guess.  
19 I expect -- I saw 20. I don't know if that's the  
20 number I expected to see.

21 So -- but I determined anyway that their  
22 total diversion was -- they would be allowed 10.71 CFS.

23 Q. Okay. Now, down at the bottom of this page  
24 it says, "Park Res minus 9.5" feet I assume?

25 A. Yes. 9 and a half foot below full.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. So on that day, Park Reservoir was not full?

2 A. It was not full.

3 Q. Park Reservoir, did it fill in 2004?

4 A. No, it did not.

5 Q. All right. Now, we've talked about these  
6 entries in your hydrographer diary, which often focused  
7 on your measurements and stream gauge and headgates and  
8 reservoirs and so forth. Now, this water goes from the  
9 headgate to different places.

10 Did you ever -- do you ever take a look at  
11 what's going on with the water, say, at the end of the  
12 ditch?

13 A. I will look at the end of -- the end of the  
14 ditch if -- I know where a lot of return flow comes  
15 from. East side, the No. 2 regs I'm sending to Coyote  
16 Draw. You will see numerous notations in my diary  
17 concerning Coyote Draw. If I see too much water in  
18 Coyote Draw, I will take it from that ditch.

19 Q. What do you mean when you say "take it from  
20 that ditch"?

21 A. I'll go to their headgate and reduce their  
22 diversion.

23 Q. And you're doing that, I assume, 'cause  
24 they're wasting water at the bottom of their ditch.

25 A. Yes, they are. To a point, it helps me

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 satisfy some of my lower water. But if it gets to the  
2 point where I can't use it, I give it to somebody that  
3 will.

4 Q. All right. Are there any other kinds of  
5 situations where you see waste and you take action?

6 A. Yes.

7 Q. Can you give us an example?

8 A. Headgate leaks, we discussed. But, yes,  
9 that's one. If I've got -- a lot of it's return flow  
10 issues. If I'm seeing water returned to the creek that  
11 I can't account for and I can't use, it's a big factor.  
12 If I'm getting return flows and I've got legitimate use  
13 downstream, then I can do that.

14 Q. All right. Now, you told us earlier that you  
15 were able to administer the stream in priority despite  
16 having to account for all these reservoir releases. Do  
17 you remember that?

18 A. Yes, I do and I can.

19 Q. And we looked at some stream schematics with  
20 Mr. LoGuidice yesterday. Do you utilize those in the  
21 course of administering the stream in priority?

22 A. I do. I carry mine with me constantly.

23 Q. All right. And the information on the stream  
24 schematics comes from where?

25 A. It comes from -- it's an attempt to reduce

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 the tabulation of water rights for a stream drainage  
2 down to something that is manageable in the field. And  
3 it shows the significant rights during times of  
4 regulation that would be players. There's at least one  
5 right in every ditch just to get the ditches on the  
6 schematic. But when I have to regulate Little Goose,  
7 I'm immediately at 1883. So I'm not concerned with  
8 putting late water on the schematic because it's out of  
9 the game once we regulate it.

10 Q. What do you mean when you say, when I  
11 regulate on Little Goose, I'm immediately at 1883?

12 A. I'm immediately to 1883 because the Colorado  
13 Colony Ditch has an 83 CFS right for 1883 water out of  
14 Little Goose. By the time we regulate, if we got all  
15 of their water right, we've got 150-foot in the creek  
16 for other users too.

17 Q. So typically is it the Colorado Colony Ditch  
18 that is the first ditch to call on Little Goose?

19 A. Typically it's the Gerdel. I have had the  
20 Colorado Colony, when I've asked them "Is it like the  
21 water above them that's out of priority," say, "No,  
22 we're okay." And so I don't go get that water. But  
23 when the Gerdel gets the same question posed to them  
24 when they're short, they say, yes, I want it.

25 And at that point in time, the water

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 regulation is below the Colorado's. So it kicks in  
2 above them as well.

3 Q. All right. Now, let me see this. The  
4 hydrograph typically for both of the major creeks in  
5 your district, Big Goose and Little Goose, it has -- it  
6 goes up real high typically during the runoff and then  
7 drops off real steeply?

8 A. Yes.

9 Q. So the water supply within, what, a couple of  
10 days goes from being more than anybody can take to  
11 something much less?

12 A. Yeah. A couple of days a year are radical,  
13 but it does occur quickly.

14 Q. If I understand right, when you say we're  
15 regulating to 1883 immediately when that hydrograph  
16 drops low --

17 A. Yeah.

18 Q. -- you have to cut off everybody who has a  
19 right above 1883, pretty much right off the bat?

20 A. Every right junior to 1883, yes, gets cut  
21 off.

22 Q. Are a lot of those junior rights found in  
23 some of the same ditches that you're regulating back to  
24 1883?

25 A. Absolutely. There's many enlargements on the

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 ditches that start with the territorial right and come  
2 up into pertinent numbers that will be in the '20s, and  
3 ultimately there will be some in the '50s and '90s,  
4 occasional brand-new water rights applied.

5 Q. When you regulate down to 1883, or even the  
6 headgate that you're calling for, could it be less than  
7 its full capacity because those enlargements aren't  
8 supposed to get any water?

9 A. Yes, it could be.

10 Q. And does that happen most of the time?

11 A. Most of the time, yeah.

12 Q. All right. Now, we've talked about the  
13 reservoir orders and reservoir spreadsheet and the  
14 hydrographers' diary, and we talked a little bit with  
15 Mr. LoGuidice about the hydrographers' annual report.

16 A. Okay.

17 Q. Now, you're responsible for preparing the  
18 hydrographers' annual report for the Tongue River; is  
19 that right?

20 A. Yes, I'm responsible for the Tongue River.

21 Q. How do you do that?

22 A. I will -- we have digital records or paper  
23 records at points in diversion at stream gauge. We  
24 will reduce that data down to mean daily flows. We  
25 will take all of the previously looked at reservoir



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 orders, then build spreadsheets that show where that  
2 water went, what day it went, which reservoir it came  
3 from.

4 We will establish -- at the end of the year,  
5 we'll have tried to get a final elevation on the  
6 reservoirs near October 1 as we can get to so we know  
7 their carryover and just start collecting data and  
8 publish it and get it in a public format.

9 Q. The hydrographers' annual reports for 2004  
10 and 2006 have been admitted in this case already as  
11 joint exhibits. They are Joint Exhibit 61 and 62. And  
12 I just want to show you two pages out of one of those.  
13 And that's going to be Joint Exhibit 61.

14 A. Okay.

15 Q. I'm sorry. It's not bound. I've handed you  
16 Joint Exhibit 61. Is that the 2004 Hydrographers'  
17 Annual Report for Division 2?

18 A. Yes, it is.

19 Q. All right. What I'd like you to do is turn  
20 to page 92, and ask you to explain what page 92 and 93  
21 are.

22 A. Every year in the hydrographer's annual  
23 report, we are expected to do just a little write-up of  
24 how our summer went that was pertinent data about that  
25 water year. So this is that write-up for my drainage

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 in 2004.

2 Q. All right. And this is your narrative  
3 summary of the year, and then all the specific data  
4 follows; right?

5 A. Yes.

6 Q. I'm just interested in one sentence in the  
7 third paragraph. And it says -- the third sentence  
8 there says, "Big Horn, Cross Creek, and Park Reservoirs  
9 were unable to fill this year." Do you see that?

10 A. I do see that.

11 Q. Is that true, those three reservoirs weren't  
12 able to fill in Wyoming?

13 A. That is true.

14 Q. All right. Now, with the hydrographers'  
15 report in hand and your diary and the other data that  
16 you collect every year, can you look at that data and  
17 reconstruct the events of the particular year?

18 A. Yes, I can, with a great deal of accuracy.

19 Q. Great. Well, there are a couple years at  
20 issue in this case, so we need to talk about them.

21 A. Okay.

22 Q. I'm going to hand you Exhibit W40. And then  
23 we're going to take some baby steps about it as we go  
24 through; all right?

25 A. All right.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. I've handed you W40. Can you  
2 tell the Court what that document is.

3 A. Yes. Let me look at it just a moment. This  
4 is storage regulation in the 2000 water year. So it  
5 cites a number of pages of data that I studied and  
6 looked at and determined -- I used to support the  
7 statements I'm making in this record.

8 Q. All right. Is this a document that you  
9 created?

10 A. Yes, it is.

11 Q. And are the attached documents from your  
12 hydrographers' diary and the annual report?

13 A. I see one from my diary, I also believe --  
14 two from my diary. Two from my diary. The rest are  
15 from my report.

16 Q. Those are also documents that you created;  
17 correct?

18 A. Yes.

19 Q. And the first two pages of this, are they a  
20 summary of the records that -- from your hydrographers'  
21 diary and from the annual report?

22 A. Yes.

23 Q. In addition, you make some statements in that  
24 second page about what does this data mean; is that  
25 fair?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. I'm sorry. I didn't hear after "about."

2 Q. About what the data that you're identifying  
3 means.

4 A. Yes, there are statements concerning that.

5 Q. Do you remember when you prepared this  
6 document?

7 A. I do not.

8 Q. It was after 2004?

9 A. Yes, it would have been. I noticed my  
10 signature block says I was assistant superintendent.

11 Q. When did that occur?

12 A. That occurred in 2009.

13 Q. All right. Have you looked at this document  
14 recently?

15 A. Only just recently. Maybe this weekend.

16 Q. Did you look at it when you made it?

17 A. I'm sorry. I guess I don't understand the  
18 question.

19 Q. Well, what I'm trying to assess is, you put  
20 this together some time ago; fair?

21 A. Yes.

22 Q. And you've had a chance to look at it  
23 recently?

24 A. Yes, I have.

25 Q. And you have had a chance to assess whether

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 it is accurate?

2 A. Yes, I have.

3 Q. Is it accurate?

4 A. Yes.

5 Q. All right. And if I understand this right,  
6 all of the data that you have referenced here in this  
7 memorandum comes from the sources that are attached to  
8 the back?

9 A. Yes.

10 Q. All right. And what is the purpose -- what  
11 was the purpose of creating this memorandum?

12 A. It was basically to give a summary of 2000  
13 water -- 2004 water year, I think in particular, to  
14 reservoirs not filling to capacity and dates that  
15 regulation began. And I think that's essentially it.

16 Q. All right. That's fair.

17 SPECIAL MASTER: Mr. Draper, you're standing  
18 up already.

19 MR. DRAPER: I'm just waiting for him to  
20 offer it, Your Honor.

21 MR. KASTE: I'll do that now. I would offer  
22 Exhibit W40 into evidence as the summary of voluminous  
23 documents prepared by Mr. Knapp and including some  
24 opinions that he formed, during the course of his  
25 employment, about water use and water storage in 2004.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 MR. DRAPER: Your Honor, we would object to  
2 this exhibit. It's essentially an expert report. It  
3 was prepared after the beginning of 2011. You can see  
4 that from the name of the governor of Wyoming there who  
5 took office at the beginning of 2011. This is a  
6 document that is prepared in the course of litigation  
7 in this case. It's essentially an expert report. We  
8 had no opportunity to depose the witness on this. And  
9 we would object to its entry into evidence or use in  
10 this proceeding.

11 SPECIAL MASTER: Mr. Kaste.

12 MR. KASTE: I think I told you Mr. Knapp kind  
13 of is an expert with regard to the day-to-day  
14 activities and operations performed in Division 2.

15 These reflect -- this document reflects his  
16 own observations of the conditions that prevailed at  
17 the time. And then he can give us his opinion about  
18 what that means. Because he's formed it during the  
19 course of his employment.

20 MR. DRAPER: Your Honor?

21 SPECIAL MASTER: Yes, Mr. Draper.

22 MR. DRAPER: As I told Mr. Kaste when I  
23 discussed this with him earlier, this is not  
24 appropriate at this time as an expert report. And it's  
25 essentially, as he said himself, an expert-prepared

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 document. He tried to have Mr. Knapp named as an  
2 expert earlier in the case. You, by order, denied that  
3 request. No expert report was submitted in a timely  
4 fashion to allow discovery on it. And this is simply  
5 an extension of that effort. And we would object to  
6 it.

7 MR. KASTE: Well, I would let the Court know  
8 that this was produced during the course of discovery.  
9 And it was, if I understand right, included with  
10 materials provided by Mr. Doyle Fritz.

11 MR. DRAPER: Your Honor, I might clarify one  
12 thing in that regard. We did not have access to this  
13 until after Doyle Fritz, one of their experts,  
14 submitted his expert report in this proceeding after we  
15 had taken Mr. Knapp's deposition. It was in his backup  
16 and appears to be essentially a memo.

17 MR. KASTE: And nobody asked us if which --  
18 to take Mr. Knapp's deposition a second time. We would  
19 have produced him. They had this information. There's  
20 not a surprise here.

21 SPECIAL MASTER: So let me just walk through  
22 various parts of this. So, first of all, are all of  
23 the attachments to this document admitted separately at  
24 this stage?

25 MR. KASTE: Yes, they are.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           SPECIAL MASTER: And if I understand the  
2 document, on the first page, if you exclude just first  
3 couple of sentences, that's basically a description of  
4 what is attached; is that correct?

5           MR. KASTE: Yes. He's pulled the relevant  
6 portions from those records.

7           MR. DRAPER: Yes, he's performed an expert  
8 selection of evidence from --

9           SPECIAL MASTER: I understand.

10          MR. KASTE: From that data, as we turn to the  
11 second paragraph, that data helps inform his  
12 determination about when reservoirs filled or didn't  
13 fill in Wyoming in 2004. He's able to extrapolate that  
14 from the data that he has existing. And he can explain  
15 that process.

16          SPECIAL MASTER: So what I'm going to  
17 tentatively do at the moment, simply so it's all in one  
18 record -- and this is going to take you a little bit  
19 more time, Mr. Kaste -- but I will admit -- tentatively  
20 admit the exhibit. But I'm not going to admit it for  
21 the opinion expressed at the top of page 1, on ceasing  
22 storage in 2004, on May 24th, 2004, nor -- because I'm  
23 not quite sure what is there yet in the last paragraph  
24 of the document on page 2. And if you want to ask the  
25 witness about the -- what he actually is aware of or to



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 explain how particular aspects of his documents  
2 actually show that, based on his records, a particular  
3 reservoir was not storing on a particular date, then  
4 that's fine.

5 And, Mr. Draper, you're welcome, if you want  
6 to, to object if you think that Mr. Kaste is getting  
7 into a question which is calling for an opinion based  
8 on something other than what the witness was actually  
9 doing as a percipient witness. Okay.

10 MR. DRAPER: Thank you, Your Honor.

11 SPECIAL MASTER: And, again, if there are  
12 other things, as we go through this particular  
13 document, that appear not to be admissible, then at  
14 that point, we can talk about what exactly to permit of  
15 this. But I think the alternative is basically to try  
16 to reconstruct this piece by piece. And it's easier, I  
17 think, for me to have this as one document at this  
18 point.

19 MR. KASTE: Fair enough. That's why we make  
20 summaries.

21 SPECIAL MASTER: Okay.

22 BY MR. KASTE:

23 Q. All right. Mr. Knapp, we're going to go  
24 through this slowly to see how it was constructed. So  
25 we're going to start at the bottom datewise.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           And can you explain to us, and maybe just  
2 going down the list of 1 through 9 things and helping  
3 us understand why those particular pieces of data were  
4 relevant to your work trying to figure out when storage  
5 ceased in 2004.

6           A.    All right.  Item 1 states, "On 24th, Monday,"  
7 in quotes "diary," citing the source.  It states that  
8 "Cross Creek water for the Peralta water right," I  
9 wrote "released."  Someone has crossed that out and  
10 written "bypassed through Park Diversion, 0900," being  
11 the time of day, "20 CFS."

12                   I also noted on that same page in my diary,  
13 "Park Reservoir was 9 and a half foot below fill."

14           Q.    All right.  Just to make something clear.  Is  
15 the red writing on this document yours?

16           A.    No, it is not.

17           Q.    All right.  We're going to pretend like it  
18 doesn't exist.

19           A.    Okay.

20           Q.    Now, what does the fact that Cross Creek  
21 water for the Peralta right was released through the  
22 Park Diversion on May 24th and that Park Reservoir is  
23 down 9 and a half feet from full, what is that telling  
24 you?

25           A.    I've noted the elevation in Park Reservoir at

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 that time. We are not allowing storage out of Cross  
2 Creek, which would most assuredly affect the Big Horn  
3 Reservoir, the Cross Creek Reservoir, the Martin  
4 Reservoir, and the Last Chance Reservoir.

5 Q. All of those reservoirs stopped storing at  
6 that time?

7 A. Absolutely.

8 Q. All right. What is the next data point that  
9 you looked at?

10 A. May 25th, the next day in my diary. At  
11 10:12 the Peralta equals .64, equals 11.72 CFS.

12 So what I've done is, of that 20 percent --  
13 of that 20 CFS of water that we took from the upper  
14 reservoirs and put in Little Goose, I've given 11.1  
15 CFS, which is their portion of that, to the Peralta.

16 The other portion -- so 20 minus 2, 18, the  
17 difference of 18 and 11.1 has been legally transferred  
18 to the Colorado Colony Ditch. So I would have also put  
19 it in the Colorado Colony Ditch, the rest of that  
20 water.

21 Q. All right. What was the next data point you  
22 were looking at?

23 A. 11.3 would have been May 26, the next day in  
24 my diary. Cross Creek releases to Little Goose are  
25 19.6. So not quite the 20 we discussed. Minus

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 10 percent equals 1.96. I was identifying 10 percent.  
2 So minus the 10 percent is 17.64 CFS. 50 percent of  
3 this goes to the Peralta, equals 9.53 CFS. They still  
4 get their 1.07. So does Red Hill out of Little Goose,  
5 which is a priority. So we're navigating water from  
6 two sources.

7 At 11:44 water that date, the Peralta is now  
8 a gate height of .65 equals 12.07 CFS. Regulated  
9 Peralta to 0.60 equals 10.63. I did my math. They  
10 were entitled to 10.6, so .60 on their measuring device  
11 gave them 3/100 of a foot over their appropriation,  
12 about 12 gallons a minute too much in their ditch at  
13 that time.

14 Q. All right. Those last two pieces from your  
15 diary that you talked about.

16 A. Yes.

17 Q. What does that tell you about storage  
18 conditions in your district between May 24, 25, 26,  
19 2004?

20 A. Well, it tells me that we're done in the  
21 Cross Creek drainage storing any water.

22 Q. All right.

23 A. It just got regulated.

24 Q. And what were the next data points that you  
25 looked at?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           A.    Page 141 of the hydrographers' annual report,  
2 division of flow records of Park Diversion Ditch, the  
3 flow recovered by Park Diversion.

4           Q.    I think it's the very --

5           A.    There it is.  Yes, I have it.  So I think in  
6 a previous note, I noted that I went up and turned on  
7 the Park Diversion Ditch.

8                    And it is -- they are releasing 19.6 CFS,  
9 which is that Cross Creek water we just regulated off  
10 of storage to give storage to people in Little Goose  
11 for their territorial right to this water.  And it's  
12 now -- prior to the time I turned on the records, it  
13 had already been started into Little Goose.

14          Q.    All right.  So that's telling you storage is  
15 complete for what reservoirs?

16          A.    Everybody in Cross Creek, Big Horn Reservoir,  
17 Cross Creek Reservoir, Martin, Last Chance.  We're done  
18 storing.

19          Q.    All right.  What about the next data point,  
20 No. 5?  What were you looking at there?

21          A.    No. 5 is page 134 of the Hydrographers'  
22 Annual Report, the diversion of flow records of the  
23 Peralta Ditch.  I have them now.  All right.  I  
24 highlighted numbers previously on what I am seeing on  
25 this page -- this is on May 24th -- the Peralta was

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 only diverting 6.7 CFS. On May 25th, I was there. I  
2 did the math, and what they were entitled to -- now we  
3 have Cross Creek water out of -- coming into this  
4 Little Goose system and gave their proportionate share.

5 Q. All right. And that confirms that that water  
6 that was being released showed up in the Peralta Ditch?

7 A. It does. It shows that we took it. We sent  
8 it in the canyon, and we put it where it belongs.

9 Q. All right. What was the next data point you  
10 considered?

11 A. That would be data point 6, June 1st,  
12 Tuesday, in the diary.

13 All right. I have that. And I've  
14 highlighted what I'm looking at, is at 1438 I was at  
15 Park Reservoir. And it's now 10.1 CFS elevation. So  
16 it's lost nearly a foot of elevation since the previous  
17 diary notation of 9, I think it is. I think it's  
18 exactly a foot.

19 Q. And that tells you that it's not storing --

20 A. It's actually releasing water. It's  
21 releasing a foot of water in elevation since the two  
22 dates on the diary.

23 Q. All right. What about the next data point,  
24 No. 7?

25 A. No. 7, Hydrographer's Annual Report, page 142

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 of that report is diversion -- or flow records of Big  
2 Goose and Beaver No. 1.

3 All right. I have that page. I see nothing  
4 highlighted. It's included -- let me look at the  
5 24th dates.

6 At this point, all I can say is that is a  
7 copy of the annual report in reference to the Big  
8 Goose, Beaver No. 1 headgate diversions.

9 Q. You didn't note on the first page why you  
10 thought that one was important when you created this?

11 A. It may come to me with -- okay. I do know.  
12 I would have to refer back to the Peralta Ditch page  
13 out of Little Goose.

14 This one became important because we put the  
15 11.8 feet into the Peralta on the 25th. It stayed in  
16 there. On the 27th, you can see they're right back to  
17 7.7, barely over what they were when we gave them the  
18 Cross Creek water.

19 The reason Big Goose-Beaver is a player is  
20 because when they found out that water was going to the  
21 Peralta, they said, no, we're senior to them. We would  
22 like that water. So we had to take it right back from  
23 the Peralta and give it to Big Goose-Beaver.

24 Q. At this time, were the Big Goose-Beaver and  
25 the Peralta, were they receiving all the water that

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 their rights would entitle them to?

2 A. No. In the case of Big Goose-Beaver, their  
3 right is mid-70s to 80 feet. And I'm seeing on the  
4 24th, when we gave them the water, they managed to get  
5 the 64. So they were still shy of their right by a  
6 substantial amount.

7 Q. Now, if I understand right, Big Goose-Beaver  
8 and Peralta have the right to reservoir water. They  
9 have some; right?

10 A. They have some.

11 Q. And they could have called on storage if they  
12 had decided to?

13 A. Yes, they absolutely could have.

14 Q. Do you know, personally know, whether -- why  
15 they didn't call down that storage?

16 A. They will not call on their direct flow right  
17 because there would be -- would prefer to have more  
18 water later. If they can get these reservoirs filled,  
19 it is their desire to allow them to do so.

20 Q. So in this period around May 24th and before,  
21 are these two ditches taking less than they're entitled  
22 to?

23 A. Yes.

24 Q. And allowing water to store in the  
25 reservoirs?



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. Yes.

2 Q. All right. Here's the part of this exhibit  
3 that gets people excited. If a call had been placed on  
4 the river somewhere down way and storage had to cease,  
5 say between May 17th and May 24th of 2004, would the  
6 water that was stored in these reservoirs be taken by  
7 these senior rights?

8 A. Yes, it would.

9 Q. Now, I think we're on the last data point,  
10 No. 9.

11 A. No. 9 is page 126 of the Hydrographers'  
12 Annual Report, diversion or flow records east fork of  
13 Big Goose Creek below Park. Okay. I have trouble  
14 shuffling through and finding this one. But I'll be  
15 there. There it is. Thank you. I have it.

16 All right. This is -- reflects what had me a  
17 little bit puzzled as far as why these pages were  
18 included. Big Goose-Beaver water goes past this gate  
19 out of Park Reservoir. So when Big Goose-Beaver made  
20 the call on the Peralta, it shows the water that -- the  
21 Cross Creek water now taking a different path on the  
22 mountain to get to their headgate.

23 Q. All right. Now, from this data that you've  
24 identified, are you able to figure out when storage  
25 ceased in 2004?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. Yes.

2 Q. What day that was?

3 A. It ceased by the 24th date. We were done  
4 storing, most assuredly, on May 24.

5 Q. And is that for all the reservoirs in your  
6 district?

7 A. Yes.

8 Q. All right. Now, you know, May 17th is an  
9 important date in this litigation. So it would be  
10 important to know what was in your reservoirs on  
11 May 17th?

12 A. Yes.

13 Q. Probably. And we're going to see if we can  
14 figure that out. So I'm going to hand you Exhibit  
15 W175. Can you tell us what that document is?

16 A. Yes, it is in the format of what we  
17 traditionally use at the Yellowstone River Compact  
18 meetings. It indicates storage in the Tongue River  
19 Basin, and the lower half is storage in Powder River  
20 Basin. Lower half is Powder River Basin.

21 It then goes on to indicate the total  
22 permitted capacity in the itemized reservoirs and  
23 breaks them out between pre- and post-'50 water. The  
24 center area is unfilled as of 10/1/2003. So data  
25 points on those reservoirs for October 1st of 2003. So

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 this would be the carryover from the previous water  
2 year. Storage as of 5/19/2004 in all of the itemized  
3 reservoirs.

4 Q. Let's stop right there and talk about that.  
5 5/19/2004. You're aware that Montana wrote a call  
6 letter on May 17, 2004; right?

7 A. Yes.

8 Q. I assume you heard about that from  
9 Mr. Whitaker?

10 A. Yes.

11 Q. Did he ask you to do anything in response to  
12 that letter?

13 A. He asked me to go check on reservoir  
14 elevations and see where we were at as far as storage  
15 values.

16 Q. Did you record the reservoir elevations that  
17 you observed on May 19th on Exhibit W75?

18 A. Yes, I did.

19 Q. All right.

20 MR. KASTE: I'd move for the admission of  
21 W175.

22 SPECIAL MASTER: Mr. Draper.

23 MR. DRAPER: Your Honor, there's been no  
24 testimony as to who created this document or whether  
25 it's been verified. So we would object on that basis.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 MR. KASTE: I think he did that.

2 BY MR. KASTE:

3 Q. Mr. Knapp, who created this document?

4 A. I did.

5 SPECIAL MASTER: Can I just ask, did you  
6 prepare this contemporaneous to May 19, 2004? Not on  
7 the exact date, but in that general vicinity?

8 THE WITNESS: Yes, it would have been in that  
9 vicinity.

10 SPECIAL MASTER: All right.

11 MR. DRAPER: That's satisfactory, Your Honor.

12 SPECIAL MASTER: Thank you, Mr. Draper. Then  
13 Exhibit W175 is admitted into evidence.

14 (Exhibit W175 admitted.)

15 MR. KASTE: Apparently I've misspoken several  
16 times and said May 17. And as usual, Mr. Kuhlmann has  
17 corrected me and told me the call letter is dated  
18 May 18th. So I apologize for that misstatement.

19 BY MR. KASTE:

20 Q. So the next day, you went out and looked at  
21 what's actually in the reservoirs; right?

22 A. Yes, I did.

23 Q. All right. Can you identify whether any of  
24 the reservoirs in the Tongue River drainage were full  
25 as of May 19th?

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           A.    I would have to compare capacity.  There we  
2 go.  I have Column 3 to Column 5 is where I need to  
3 look.  Cross Creek was full.  Dome was not.  That was  
4 not.  Willitts was full.  So Cross Creek and Willitts  
5 was full.

6           Q.    All right.  And some of these reservoirs  
7 don't have any post-1950 space on them; right -- or in  
8 them?

9           A.    They do not.

10          Q.    So, for example, if I understand right,  
11 Granger, Willitts, Martin, Last Chance, and Weston  
12 don't have permitted post-'50 capacity?

13          A.    Could you repeat that list?

14          Q.    Sure.  Column No. 2.

15          A.    Okay.  That will help.

16          Q.    The ones that say zero there, Granger,  
17 Willitts, Martin, Last Chance, and Weston, I understand  
18 they don't have any post-'50 space?

19          A.    That's correct.

20          Q.    All right.  So you actually went out and  
21 measured where the reservoir was on May 17th.  And then  
22 storage stopped everywhere by May 24th; correct?

23          A.    I actually went out on May 19th, and then the  
24 storage stopped.

25          Q.    I don't understand why I am so tied to

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 May 17th. I keep saying it wrong every single time.

2 All right. So some water may have been  
3 stored in these reservoirs between May 18th, the date  
4 of the letter, and May 24th, 2004?

5 A. Yes, that's correct.

6 Q. And we look to -- if this reflects in Column  
7 No. 5, the actual contents on May 18th -- or 19th, can  
8 we look at another document and figure out how full  
9 they actually got six days later, five days later? Is  
10 that reflected in the hydrographers' annual report?

11 A. Yes, it is. The final elevations for all the  
12 reservoirs are in that report.

13 Q. All right. But if I understand correctly,  
14 some of that water that -- we can agree, likely stored  
15 in that five-day period, that water, had it been  
16 released, would likely have been taken by senior rights  
17 in Wyoming?

18 A. Yes, it would have been.

19 Q. Fair enough. Let's talk, then, about 2006.

20 A. Okay.

21 Q. 2006 is a lot easier. So naturally your  
22 summary is a lot less interesting. I'm going to hand  
23 you Exhibit W41.

24 Can you tell the Special Master what that is?

25 A. This is a document I made in 2006 titled

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 "2006 Reservoir Data." And this is basically a summary  
2 of what date that reservoirs filled in my drainage.

3 Q. Again, is the handwriting in red yours?

4 A. The handwriting in red, no, that is not my  
5 handwriting.

6 Q. So if I understood right, you made this in  
7 2006? 'Cause it doesn't have a date on it.

8 A. I do not know if I made this in 2006. I  
9 suspect I did.

10 Q. That's why you should date things.

11 A. Yeah.

12 Q. In addition to signing them.

13 And you did sign this; correct?

14 A. Yes, I did sign this.

15 Q. All right. And where did the information for  
16 this summary come from?

17 A. All of this data is from the hydrographers'  
18 annual report for 2006 water year.

19 Q. All right.

20 MR. KASTE: I'd move for the admission of  
21 Exhibit W41.

22 MR. DRAPER: No objection, Your Honor.

23 SPECIAL MASTER: Okay. Exhibit W41 is  
24 admitted into evidence.

25 (Exhibit W041 admitted.)

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 BY MR. KASTE:

2 Q. All right. Now, this document indicates,  
3 first, that all the reservoirs filled.

4 A. Yes.

5 Q. And is that true in 2006; all the reservoirs  
6 in your district actually filled?

7 A. Yes, it is true.

8 Q. And then it goes on to identify order dates  
9 from various reservoirs. Is that the first date?

10 A. Yes. That's the first date that we released  
11 stored water from each of those reservoirs.

12 Q. And what does that information tell you about  
13 when storage occurred during 2006?

14 A. It exactly coincides with when storage  
15 ceases. The first order, they have to release any  
16 inflows plus the order water.

17 Q. So as soon as somebody orders reservoir  
18 water, storage is done?

19 A. Storage is done.

20 Q. But if I understand right, storage could also  
21 be completed for other reasons? For example, the  
22 reservoir could fill before there are any orders?

23 A. Yes. It could be in their spillway. They  
24 could be totally filled, and it could be spilling  
25 through their spillway until such time as they need to



Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 order.

2 Q. So this is an indication that storage had  
3 certainly ceased before this date. But it may have  
4 stopped even before that; correct?

5 A. Yes. They could have filled prior to these  
6 dates. This is a definitive that storage was stopped  
7 at this point.

8 Q. Fair enough. Now, are there any of these  
9 order dates that are after July 28th, 2006?

10 A. No. The latest day is July 12th of 2006.

11 Q. Was any water stored in your district in any  
12 of your reservoirs after July 28th, 2006?

13 A. No, it was not.

14 SPECIAL MASTER: Mr. Kaste, I'm wondering  
15 whether this would be a good time for the second  
16 ten-minute break.

17 MR. KASTE: I was just going to check with  
18 Mr. Brown, and then I thought I might be done.

19 SPECIAL MASTER: Okay. Why don't you check  
20 on that, and then we'll take a ten-minute break.

21 MR. KASTE: Great. Mr. Brown tells me I'm  
22 done.

23 Thank you, Mr. Knapp.

24 THE WITNESS: Thank you.

25 SPECIAL MASTER: So you are not finished.

Direct Examination Cont. by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 But why don't we take a ten-minute break at this point.  
2 And then we'll come back at, like -- oh, by that clock,  
3 we'll come back at 25 after the hour. And then we'll  
4 do the cross-examination.

5 (Recess taken 11:13 to 11:27  
6 a.m., October 30, 2013)

7 SPECIAL MASTER: Okay. Thank you. Everyone  
8 can be seated.

9 I just want to come back very quickly,  
10 Mr. Draper, before your cross-examination on Exhibit  
11 W40. And just to avoid any concerns, both about this  
12 being a document that may very well have been prepared  
13 in advance of and in contemplation of litigation and  
14 that it certainly bears a resemblance to an expert  
15 report, I'm going to allow W40 into evidence for the  
16 limited purposes of providing in one place the various  
17 attachments to it as well as the lists of what those  
18 various attachments are so that rather than having to  
19 refer to each of those individual exhibits any time  
20 somebody wants to, you can refer specifically to W40.

21 I think all of the rest of the document  
22 either you covered, Mr. Kaste, as part of the direct  
23 examination, or it could resemble an expert opinion  
24 without the testimony itself.

25 So, again, it's admitted for that limited

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 purpose. And so the only parts that can be referenced  
2 are the attachments and that list of what the  
3 attachments are on the first page.

4 (Exhibit W040 admitted.)

5 MR. DRAPER: Thank you, Your Honor.

6 SPECIAL MASTER: You're welcome.

7 CROSS-EXAMINATION

8 BY MR. DRAPER:

9 Q. Good morning, Mr. Knapp.

10 A. Good morning.

11 Q. Mr. Knapp, you've been the lead hydrographer  
12 on the -- in the Tongue River Basin for quite some  
13 time; is that right?

14 A. Yes, I have.

15 Q. And during that period, you prepared detailed  
16 tabulations of the water rights in that basin; isn't  
17 that right?

18 A. Yes, I have.

19 Q. I'd like to hand you a copy of what has been  
20 marked as Exhibit M20.

21 MR. DRAPER: If I may, Your Honor?

22 SPECIAL MASTER: You may. Which is this  
23 exhibit? I'm having a hard time keeping track of  
24 various exhibits that have been shown the witness this  
25 morning or whether this is one that's already been

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 introduced.

2 MR. DRAPER: This has not been introduced  
3 yet, Your Honor.

4 SPECIAL MASTER: Okay. Thanks.

5 BY MR. DRAPER:

6 Q. Mr. Knapp, can you take a look at Exhibit M20  
7 and confirm what that document is?

8 A. Yes. This is printouts from what I call the  
9 tabulation of adjudicated water rights, that I have  
10 created over the past 21 years.

11 Q. And how did you go about putting that  
12 tabulation together?

13 A. I'll start at the beginning. It used to be  
14 on mylar maps and inked in on mylar. And then we had  
15 the capability, when we got computers, that I converted  
16 this whole system into an Excel spreadsheet, took the  
17 data existing on the mylars and proceeded to go to the  
18 county and gathered up all the information I could on  
19 every individual water right that was in the county  
20 courthouse.

21 Q. So your sources for this were the records of  
22 the state engineer's office and the local county  
23 courthouse?

24 A. Yes, and they still are those two sources.

25 Q. Is this list largely accurate, to your

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 knowledge?

2 A. Largely accurate, yes.

3 Q. And it's organized around the various  
4 tributaries and other parts of the river system; is  
5 that right?

6 A. That's correct.

7 Q. For instance, on the first page, we can see  
8 Big Goose Creek?

9 A. Yes.

10 Q. And if we flip to the bottom of the many  
11 pages that -- those that we can see, that there are  
12 other designations that are recognizable, such as  
13 tributaries of Big Goose, Little Goose Creek, and the  
14 other areas of the basin?

15 A. Yes.

16 MR. DRAPER: Your Honor, I would move the  
17 admission of Exhibit M20. I would like to suggest that  
18 we be allowed to, for each of those major subdivisions,  
19 designate subparts of the exhibit ultimately so we have  
20 an A, B, C subdivision for each of the major  
21 subdivisions that Mr. Knapp observed in his  
22 compilation. But with that, I would move the admission  
23 of his tabulation.

24 SPECIAL MASTER: So the reason to separate  
25 this out is because you have separate numbering for

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 each of those.

2 MR. DRAPER: Well, it just helps, I think, in  
3 referencing the document and subdividing it in a way  
4 that makes it more accessible.

5 SPECIAL MASTER: Mr. Kaste? So there's a  
6 record that this has actually been introduced into  
7 evidence previously.

8 MR. KASTE: I think Mr. Kuhlmann is checking  
9 our records on that.

10 MR. WECHSLER: Your Honor, our records show  
11 that it was admitted on 10/17, but it was one of the  
12 references from Mr. Book's report. So it was only  
13 admitted on a limited basis.

14 SPECIAL MASTER: Okay. One of the problems  
15 of having two deputies is that some notations don't  
16 always get through. So thanks for that clarification.

17 So now, then, we would be admitting this in  
18 full.

19 MR. KASTE: I don't have an objection to the  
20 admission of this exhibit. I do not think it's  
21 necessary to split it up. But if Montana is interested  
22 in doing that, I don't have an objection to that  
23 either, so long as it's clear to everyone that that's  
24 not the official tab book that the State of Wyoming  
25 utilizes to ascertain actual water rights. That is a

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 different document, which we could introduce into  
2 evidence if you think it would be prudent to do so.

3 MR. DRAPER: The tab book is admitted as a  
4 joint exhibit.

5 MR. KASTE: There you go.

6 SPECIAL MASTER: Okay. So then under those  
7 circumstances, we will go ahead and admit M20. So that  
8 it's clear, when are we going to break this up into  
9 smaller portions?

10 MR. DRAPER: Well, Your Honor, it may not be  
11 necessary. Maybe I'm being overeager about that.

12 SPECIAL MASTER: Why don't you see. If it's  
13 very valuable to do that in terms of ultimate clarity  
14 of the record and for referral to it and later  
15 argument, that's fine with me. My only concern is if  
16 we're going to go by this document right now, then that  
17 could make it confusing later on if we're ultimately  
18 going to break it into different exhibits.

19 MR. DRAPER: All right. Thank you.

20 SPECIAL MASTER: But M20 is admitted into  
21 evidence. And I'm certainly willing to break it into  
22 smaller portions if that makes sense at a later point.

23 (Exhibit M020 admitted.)

24 MR. DRAPER: Thank you.

25

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 BY MR. DRAPER:

2 Q. And over what period of time did you work on  
3 this tabulation, Mr. Knapp?

4 A. I began working on it, essentially, the first  
5 year I was hired on. Would have been 21 years ago,  
6 1992, as a hydrographer commissioner.

7 Q. And have you found that it's been necessary  
8 to, from time to time, make corrections and updates?

9 A. Yes, I certainly do. Conditions all the time  
10 affect the water rights.

11 Q. Now I'd like to draw your attention to what's  
12 been marked as Exhibit M438.

13 MR. DRAPER: And if I may provide the witness  
14 with a copy, Your Honor? These are the schematics.

15 SPECIAL MASTER: You certainly may.

16 BY MR. DRAPER:

17 Q. Mr. Knapp, this exhibit has already been  
18 admitted into evidence. Are you familiar with it?

19 A. Yes, I am.

20 Q. And if we skip the first couple of sheets,  
21 double-sided sheets, yours is double sided, we then  
22 come to Little Goose and other tributaries and finally  
23 the main stem of the Tongue River; correct?

24 A. Correct.

25 Q. And those latter sheets show at least some of



Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 the water rights that are tabulated in your tabulation,  
2 Exhibit M20?

3 A. That's correct.

4 Q. Now, in this tabulation, some of the rights  
5 that you tabulate are not shown; is that right?

6 A. That's correct.

7 Q. And which are those, in general?

8 A. Well, the intent of these stream schematics,  
9 as we refer to these pages of the exhibit, was to have  
10 something small enough to carry around in the field.  
11 This fits much better in my diary than a complete  
12 tabulation on water rights does.

13 MR. DRAPER: If the court clerk could toggle  
14 the screen.

15 BY MR. DRAPER:

16 Q. So you've left out the -- or the more junior  
17 rights have been left out of these schematics; is that  
18 right?

19 A. That's correct.

20 Q. So, for instance, there's no post-1950 water  
21 rights shown in the schematics; correct?

22 A. That may be correct. It appears there's  
23 approximately 40 rights on the Little Goose page. The  
24 oldest one I'm seeing is 1943, enlargement of Muskrat.  
25 So there would be no post-'50 rights in the Little

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Goose schematic.

2 Q. And that's generally true as well for the  
3 other schematics in the Tongue River Basin?

4 A. This does not divert so, therefore, it does  
5 not have a date. Yeah, the oldest one I can see on Big  
6 Goose is 1890. So you're substantially shy of post-'50  
7 water on this one.

8 Q. Is that true also of the following pages?

9 A. Pardon? Which one are we referring to?

10 Q. Now, the next page, Soldier Creek, doesn't  
11 actually show priorities; is that right?

12 A. No, it does not.

13 Q. Are you aware of any post-'50 rights that are  
14 shown on that page?

15 A. No, I'm sure they're not on this page.  
16 There's only two rights itemized.

17 Q. And would that be true of the next page also,  
18 Wolf Creek?

19 A. Wolf Creek would also be true.

20 Q. And then turning to the next two pages, those  
21 together are the Tongue River; correct?

22 A. Yes. The next two pages would be the Tongue  
23 River schematic.

24 Q. And that's essentially the main stem of the  
25 Tongue River; correct?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. Yes, it is.

2 Q. And are there any post-'50 rights shown in  
3 that part of the schematics?

4 A. No, there appear to be no post-'50 rights on  
5 this list.

6 Q. And if I understand you correctly, the  
7 existence of post-'50 rights is not important for  
8 purposes of your administration?

9 A. They are important for the purposes of our  
10 administration. They are just so junior that if I need  
11 to refer to them, I have to refer to the tabulation of  
12 water rights book to access the data on them.

13 Q. But you don't find it necessary to include it  
14 in the material that you carry in the field with you?

15 A. No, I do not.

16 Q. All right. I'd like to hand you what's been  
17 marked as Exhibit M495.

18 MR. DRAPER: If I may do so, Your Honor?

19 SPECIAL MASTER: You may.

20 BY MR. DRAPER:

21 Q. Mr. Knapp, if you would take a look at that  
22 document. It has, I think, five pages.

23 A. Okay.

24 Q. It's printed double-sided.

25 Do you recognize this document?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. Yes, I do.

2 Q. Now, what is it?

3 A. I was -- what it is is a list of dates we are  
4 regulated to on the Tongue River and its tributaries,  
5 and then it tabulates those dates.

6 Q. Are these contained in an e-mail dated  
7 August 4th, 2006, from you?

8 A. Yes, they are contained in an e-mail to Sue  
9 Lowry.

10 Q. Now, you indicate in that list of dates to  
11 which you were regulated at that time, first item is  
12 the Big Goose Creek. Do you see that?

13 A. I do see that.

14 Q. What do you indicate as to the date to which  
15 you had regulated?

16 A. Priority date in Big Goose would have been 11  
17 of 1884.

18 Q. Is that in November of 1884?

19 A. No, that -- yes, that would be correct.  
20 November of 1884.

21 Q. And looking back at the schematic of Big  
22 Goose Creek in Exhibit M438, the schematic -- it's  
23 marked page 8 at the bottom in a small number there --  
24 has the title "Big Goose Creek" at the top.

25 A. Yes.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. And do you see the right to which you were  
2 regulating back to at that time?

3 A. The date I was regulating back to, looks  
4 like, apparently, the Rocky Ditch is 11 of 1884, the  
5 No. 14 right.

6 Q. Does that appear in the upper left-hand  
7 corner of the page?

8 A. It does.

9 Q. It's designated Rocky Ditch No. 14, showing  
10 1.43 CFS and a November 1884 date for priority?

11 A. Yes.

12 Q. So at that point, that was the receiving  
13 ditch for the water that existed?

14 A. Yes.

15 Q. And so any of the rights above that diversion  
16 point that were junior to the No. 14 priority were  
17 regulated off at that time?

18 A. Any junior rights were regulated off, yes.

19 Q. And looking upstream, we can see that most  
20 are junior except for the Alliance Ditch and the  
21 Sheridan Town Ditch; is that right?

22 A. That's correct.

23 Q. And the rights downstream of the Rocky Ditch  
24 were not being regulated at that time; is that right?

25 A. It would not have been an open stream

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 situation. If we're regulated to the Rocky, there's  
2 essentially return flows at that point. The Daisy, I'm  
3 sure, had the opportunity -- there are earlier priority  
4 dates down there than '84. So if they were getting  
5 their water, they would not have called off the Rocky.

6 Q. So there were return flows, and any tributary  
7 inflows that might have been coming in were available  
8 at that time?

9 A. There evidently were for the 1882 rights I'm  
10 seeing.

11 Q. So basically the effect of your regulation of  
12 the Rocky is to cut off everything junior above it.  
13 And as long as things stay in that status, then there's  
14 no regulation below that point; correct?

15 A. No, just monitoring.

16 Q. Now, looking back at your e-mail, Exhibit  
17 M495, when did you begin regulating on the Big Goose  
18 Creek?

19 A. I would have to refer to two diaries: mine or  
20 Pat's. Pat's assists me in there to see when, unless  
21 it's in -- it may be in the e-mail. I should refer to  
22 the e-mail as you suggested.

23 Q. Let's look at your diary, if you please. Do  
24 you have W37? It's your 2006 diary from your previous  
25 testimony.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. I have that right here, yes.

2 Q. In that we have pages that have Wyoming Bates  
3 numbers at the bottom. Would you turn in that exhibit  
4 to the page that has Wyoming Bates No. WY032859?

5 A. All right. I have that page.

6 Q. If we look on the left-hand side, you see a  
7 note at the top that's circled, it says, "Call for Big  
8 Goose reg"?

9 A. Yes, I do.

10 Q. Does that enable you to answer my question as  
11 to when you went on to regulation on the Big Goose?

12 A. Yes, it does. It would have been June 27th.  
13 Unless there is a prior note of this type in my diary,  
14 an earlier date.

15 Q. And are you aware that you might have gone on  
16 to -- into regulation earlier than June 27th in 2006?

17 A. I'm not aware. This note confirms that it  
18 was at least by June 27th.

19 Q. So up until that time, there was no  
20 curtailment of diversions on the Big Goose Creek; is  
21 that right?

22 A. That's correct.

23 Q. And would that be confirmed in the  
24 hydrographers' report for 2006?

25 A. If it was, I would suspect the only place

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 that would show up is in my annual write-up as a date  
2 that regulation was called for. But I do not recall if  
3 that was part of that write-up.

4 Q. I believe that's Exhibit J62. If we could  
5 look at that. I think you had it earlier.

6 A. Okay.

7 MR. KASTE: If I might, he didn't have that  
8 earlier. He had the 2004 one from J60 or J61. But  
9 I'll provide him a copy of J60.

10 MR. DRAPER: Thank you very much.

11 BY MR. DRAPER:

12 Q. What page does your narrative appear on in  
13 this report?

14 A. I will let you know here in a second. Here  
15 it is. The Wyoming number is 040984.

16 Q. It's also designated as page 95 of the  
17 report?

18 A. Yes.

19 Q. Could you specify there when you went into  
20 regulation?

21 A. I will have to read and see if I did on that  
22 one. If I specified, I do not see it. Right now, I'd  
23 say no, I did not specify.

24 Q. Okay. I didn't find it there either. So you  
25 don't explicitly report in the hydrographers' report,



Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 the annual report, when you went into regulation on the  
2 various drainages?

3 A. Okay.

4 Q. Is that generally true?

5 A. Could you repeat the question?

6 Q. Is the fact that you did not report on when  
7 you went into regulation on the various streams that  
8 you administer in this annual hydrographers' report  
9 typical?

10 A. No, it's not typical.

11 Q. But in this year, it was not included?

12 A. It was not included.

13 Q. If you would turn to page 126 of the report,  
14 which has the Bates number Wyoming 041015.

15 A. Okay.

16 Q. What is shown on page 126?

17 A. This is a stream gauge called Big Goose,  
18 above the PK Ditch. It's stream flows in Big Goose.

19 Q. And if we turn to the schematic for a moment,  
20 can we see where that -- the location of that gauge is  
21 indicated schematically?

22 A. Yes, I'm sure we can.

23 Q. On the Big Goose page, which says page 8 at  
24 the bottom, just for a reference, I'll give you the  
25 Bates number while we're looking for it. It's

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 WY060797.

2 A. I have that page. Yes, on this it indicates  
3 that this is -- at the very -- above all diversion  
4 points.

5 Q. So it's what we see by a dashed line at the  
6 upper right just below the heading where it says, on  
7 the right-hand side, "Big Goose CK pot above the PK  
8 Ditch gauge"?

9 A. That would be its location in respect to the  
10 rest of the system, yes.

11 Q. So it's at the top of Big Goose Creek;  
12 correct?

13 A. That's correct.

14 Q. Okay. Now, looking back at the page we were  
15 on in the hydrographers' report that shows readings  
16 from this gauge, page 126, this shows how much water  
17 was going by that gauge in CFS during the months of  
18 April through September, doesn't it?

19 A. Yes, it does.

20 Q. If you would look at the period under the  
21 June column, down around the 27th, at the values there.  
22 What do you see that's significant to you about the  
23 range of values during the period around June 27th?

24 A. June 27th, I see 54.6. And it's similar for  
25 that final week in June extending a little. This -- a

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 little short on that system.

2 Q. In fact, you use 65 CFS as a rule of thumb  
3 trigger flow for the expectation of regulation, don't  
4 you?

5 MR. KASTE: I object to the characterization.  
6 Particularly of his use of the word trigger flow, which  
7 he testified they don't use.

8 MR. DRAPER: This is just an interruption,  
9 Your Honor.

10 SPECIAL MASTER: I think you can ask the  
11 question, and the witness can always reinterpret.

12 MR. DRAPER: Yes. And I'd appreciate  
13 Mr. Kaste not coaching his witness from the sidelines.

14 BY MR. DRAPER:

15 Q. Mr. Knapp, if you please.

16 A. Okay. We would anticipate that there are  
17 ditches that are short in water below this point when  
18 these stream flows drop to this type of flow.

19 Q. And that's an indicator to you, isn't it,  
20 that regulation is imminent, if the values are falling  
21 below 65?

22 A. It is an indication that regulation may be  
23 imminent, at which point we'd go read other ditches  
24 just so that we are aware of the status of the rest of  
25 the stream.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. So up until June 27th, as indicated by your  
2 diary and by these figures, you would not cut off any  
3 diversions on Big Goose Creek in 2006; correct?

4 A. That's correct.

5 Q. Even though 2006 was a relatively dry year;  
6 isn't that right?

7 A. Yes. We had enough flows to sustain our  
8 needs up until that June 27th date evidently.

9 Q. So to the extent that there are post-1950  
10 water rights on Big Goose Creek, up until the 27th,  
11 there wasn't any regulation that they could take water  
12 consistent with their post-'50 right; correct?

13 A. Yes, they would be entitled to water at this  
14 point in time.

15 Q. So if regulation is needed prior to the  
16 time -- and in a case like this, it's not -- it's not  
17 something that you normally would undertake if, for  
18 instance, there were a need to regulate off post-1950  
19 rights prior, in this example, to June 27th?

20 A. No, there is not.

21 SPECIAL MASTER: Mr. Draper, it's about two  
22 minutes after noon. So if you're about to turn to a  
23 new line of questioning, this might be a good time for  
24 the lunch break.

25 MR. DRAPER: Very good, Your Honor. That, I

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 think, would be fine.

2 SPECIAL MASTER: Okay. Great. So let us  
3 come back, then, say, at 1:05 this afternoon.

4 (Recess taken 12:03 to 1:08  
5 p.m., October 30, 2013)

6 SPECIAL MASTER: Okay. So welcome back,  
7 Mr. Knapp, and remember you're under oath.

8 THE WITNESS: Okay.

9 SPECIAL MASTER: Thanks. Mr. Draper.

10 MR. DRAPER: Thank you, Your Honor.

11 There are two exhibits that we've been  
12 discussing that I'd like to move the admission of, Your  
13 Honor. One is M423. That's the schematic of the  
14 system that was discussed on direct. I don't think it  
15 ever got moved.

16 MR. KASTE: I did not move its admission, but  
17 I don't object to its admission.

18 SPECIAL MASTER: I figured you hadn't moved  
19 because you figured you hadn't set sufficient  
20 foundation. But I actually think it's a very valuable  
21 schematic. Certainly has helped me in better  
22 understanding the watershed.

23 So, then, Exhibit -- which number is it  
24 again?

25 MR. DRAPER: M423.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 SPECIAL MASTER: M423 is admitted.

2 MR. KASTE: And I guess I'd just note that we  
3 did not discuss the following pages after the first  
4 one. And I guess I don't have an objection to their  
5 admission either. But we haven't gone through and  
6 established anything with regard to the following  
7 pages.

8 SPECIAL MASTER: So since -- so M423 is the  
9 schematic of the Tongue. So what's the difference  
10 between the first and second page?

11 MR. KASTE: There is none.

12 SPECIAL MASTER: There is none. And we  
13 haven't discussed the later pages. So, Mr. Draper, are  
14 you asking just that the first page be admitted at this  
15 point?

16 MR. DRAPER: Well, we haven't set foundation  
17 for those later pages. So I don't see anything awry  
18 with them. But I guess my motion would be limited to  
19 the schematic that's the first page.

20 SPECIAL MASTER: Okay. Then what we'll be  
21 exhibiting and admitting -- and thank you, Mr. Kaste,  
22 for that clarification. We will be admitting page 1 of  
23 what is currently marked as Exhibit M423, which has a  
24 Montana Bates stamp of MT24112, which is that first  
25 page will be admitted as Exhibit M423.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 (Exhibit M423 admitted.)

2 MR. DRAPER: Thank you.

3 SPECIAL MASTER: You're welcome. And did you  
4 say there was a second document?

5 MR. DRAPER: Yes, I did. It's Exhibit M495.  
6 It's the e-mail with attachments that's been identified  
7 by Mr. Knapp. And based on his description of it as  
8 something he was familiar with and had produced, I  
9 would move its admission.

10 MR. KASTE: No objection.

11 SPECIAL MASTER: Then Exhibit M425 [sic] is  
12 also admitted into evidence.

13 MR. DRAPER: Thank you.

14 SPECIAL MASTER: You're welcome.

15 (Exhibit M495 admitted.)

16 BY MR. DRAPER:

17 Q. Good afternoon, Mr. Knapp.

18 A. Good afternoon.

19 Q. We had been looking at your 2006 diary.  
20 That's identified as Exhibit W37. Could you look at  
21 that? I want to direct your attention to June 18th,  
22 2006. It has a Bates number of WY032854.

23 MR. DRAPER: And could we toggle the screens?  
24 Thank you.

25

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 BY MR. DRAPER:

2 Q. Have you found June 18th?

3 A. Yes, I have.

4 Q. What is -- what are your notes on that page?

5 A. On Sunday, June 18th, I noted, "Tongue River  
6 Reservoir stored water through June 18th, got to 1.5  
7 foot below full."

8 Q. What does that refer to?

9 A. That refers to -- this data would have come  
10 from the DNRC home page for Montana. And they have  
11 this data available on that page.

12 Q. And by "home page," you mean the website --

13 A. I mean the website, correct.

14 Q. The home page of the website of the Montana  
15 DNRC?

16 A. Correct.

17 Q. And what does that notation mean to you?

18 A. Well, it means to me that they got within a  
19 foot and a half of full by that date.

20 Q. But they failed to fill; is that right?

21 A. That would be correct.

22 Q. Now, turning back to your e-mail that's  
23 Exhibit M495.

24 A. Could you repeat that number?

25 Q. M495.



Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1           A.     M495.

2                   SPECIAL MASTER:  If it helps, it looks like  
3 that.

4                   THE WITNESS:  Okay.  This is the one.  I'm  
5 sorry.  I was looking at exhibit number.  I have it.

6 BY MR. DRAPER:

7           Q.     Yes, it is confusing.  This was Exhibit 39 to  
8 your deposition; correct?

9           A.     That's correct.

10          Q.     But it's trial Exhibit M495.  Now, you  
11 reference in your -- in the upper part of the first  
12 page of your e-mail of August 4, 2006, that there is  
13 some regulation of the Tongue River; correct?

14          A.     Correct.

15          Q.     And that would be the main stem; right?

16          A.     That's correct.

17          Q.     This was the first year that there was ever  
18 any administration on the main stem; isn't that right?

19          A.     This is the first year that we did any  
20 official type of regulation.

21          Q.     And if we look at the water right schematic,  
22 which is Exhibit M438, and if we look to the last two  
23 pages of M438.

24          A.     It would be the Tongue River schematic?

25          Q.     Yes.  You say in your e-mail that the date to

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 which you were regulating was January 26, 1892;

2 correct?

3 A. That's correct.

4 Q. Which right is that on this schematic?

5 A. That would be 18 --

6 Q. Look at the first page of the Tongue River,  
7 which has the page No. 11 down at the bottom.

8 A. Correct. That would be -- we regulated from  
9 Rancheater up to that date, which allowed 5 to 7 CFS  
10 beyond that point.

11 Q. Now, the right to which you were regulating  
12 is No. 17, right, on the south side of the Tongue River  
13 Ditch above Dayton; isn't that right?

14 A. That would be correct.

15 SPECIAL MASTER: Mr. Draper, excuse me. I'm  
16 lost right now. So you have to bring me back in to  
17 knowing exactly where we're discussing. So which page  
18 are we on?

19 MR. DRAPER: Okay. For the record, we're on  
20 schematic M438 is the exhibit number. We're the second  
21 to the last page.

22 SPECIAL MASTER: Okay.

23 MR. DRAPER: And it has Bates No. WY060800.

24 SPECIAL MASTER: Thanks.

25

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 BY MR. DRAPER:

2 Q. So on the Tongue River, the schematic shows  
3 the physical relationship of the ditches, towns, and  
4 the tributaries; correct?

5 A. That's correct.

6 Q. And geographically, the ditch to which you  
7 were regulating was -- or is located above the town of  
8 Dayton; correct?

9 A. That's correct.

10 Q. So most, if not all of the rights, below that  
11 going down the stream were not being regulated; isn't  
12 that right?

13 A. They were being regulated by available flows.  
14 Five to seven CFS is not going to satisfy very many  
15 water rights.

16 Q. But as far as being regulated by you, there  
17 was none?

18 A. No.

19 Q. Okay. So to the extent there were post-1950  
20 water rights below the south side of Tongue River  
21 Ditch, those were not prevented by you from taking  
22 water; correct?

23 A. I'm not aware of any that were diverting at  
24 that time. They were --

25 Q. Did you go and check?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. Yeah, we looked downstream.

2 Q. Okay. And what did you find at the  
3 Interstate Ditch, for instance?

4 A. We found at the Interstate Ditch that they  
5 were receiving less than their pre-'50 water.

6 Q. The lower main stem of the Tongue River is a  
7 perennial stream, isn't it?

8 A. Yes.

9 Q. That means it essentially always has water in  
10 it; right?

11 A. It means it has water in it barring any  
12 diversions which could potentially dry it up.

13 Q. Certainly could be reduced by diversion,  
14 couldn't it?

15 A. Yes.

16 Q. Such as by the Interstate Ditch; right?

17 A. Yes.

18 Q. In order to supply post-1950 rights; correct?

19 A. It could.

20 Q. Looking back at your e-mail, you were asked  
21 by Ms. Lowry, who sent you the e-mail that's shown at  
22 the bottom half of the page, about voluntary regulation  
23 on the main stem. And we discussed this in your  
24 deposition. And would you tell us what was meant by  
25 voluntary regulation?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1           A.    Voluntary regulation in this instance is we  
2 went to all of these ditches and discussed the fact  
3 that the river was short and that we would like to  
4 choose this date as a point of regulation and restrict  
5 their rights to that right, to those pre-1892 rights.

6           Q.    So there was no formal regulation; correct?

7           A.    No, there was not.

8           Q.    So people were being asked informally to use  
9 less than their priority might entitle them to?

10          A.    They were asked to do that. We also went out  
11 and set the ditches to those dates, physically.

12          Q.    Not on the lower main stem of the Tongue?

13          A.    No. No.

14          Q.    But generally, elsewhere where you employed  
15 this process of informal cooperation, that could hold  
16 off the date of formal regulation for a while, couldn't  
17 it?

18          A.    It certainly could.

19          Q.    Now, until about 2006, there were never any  
20 measuring devices on the ditches on the main stem of  
21 the Tongue, or at least there were no required  
22 measuring devices; isn't that right?

23          A.    I don't -- I can't say that there was never  
24 any. There's some old structures out there that served  
25 as devices. But we began ordering in functional

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 devices.

2 Q. You began ordering in functional measuring  
3 devices around 2006?

4 A. Approximately 2006. I don't recall when the  
5 first letters went out.

6 Q. But you don't collect any data from those  
7 devices, do you?

8 A. We spot read them. We do not run continuous  
9 records on them. We will go at a given date and time  
10 in our diary and record what we saw for that date.

11 Q. During your testimony in response to  
12 Mr. Kaste, you described the 4 and a half cubic foot  
13 per second wintertime release from Park Reservoir?

14 A. Yes, I did.

15 Q. And as I recall, that's a required release?

16 A. That is.

17 Q. What is it required by?

18 A. It is required by the Game and Fish.

19 Q. For fishery purposes?

20 A. Yes.

21 Q. And does the department of Game and Fish have  
22 a storage right in Park Reservoir?

23 A. Yes, they do.

24 Q. And how much of a storage right is that?

25 A. They have 90 acre-feet dedicated to that

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 particular release.

2 Q. All right. Now, you also, at the same time,  
3 mentioned flushing flows. Would you clarify what you  
4 were describing there?

5 A. Yes. What -- the Game and Fish, as part of  
6 the money that Park got from the state to do their  
7 reconstruction, requested that there be capability of  
8 running what they call a flushing flow.

9 The intent of the flushing flow is to scour  
10 the sediment off of the bed of the stream so that the  
11 trout have a more conducive environment to -- for  
12 spawning. And that is 90 CFS for, I believe, four  
13 days.

14 Q. How many days?

15 A. I believe it's four. It's in the statute. I  
16 would have to read it to be sure. And it's also  
17 500-some acre-feet that they own that's dedicated to  
18 that use.

19 Q. So they have 500 acre-feet dedicated to that  
20 use?

21 A. Approximately, yes.

22 Q. And that's separate from the 90 acre-feet  
23 that --

24 A. That's separate from the 90 acre-feet.

25 Q. Ninety CFS, how many acre-feet a day is that?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. 180.

2 Q. And if it's four days, how many acre-feet  
3 would that be?

4 A. Four days at 180 would be 760. I suspect  
5 it's probably a three-day release because that would  
6 get it more to the number I remember.

7 Q. And if a three-day release at 90 CFS is --

8 A. That would be 180 times three, so that would  
9 be 540.

10 Q. 540. And they've a 548 acre-feet storage  
11 right for that?

12 A. I would have to read the actual number, but  
13 it is near 540 acre-feet, if not exactly.

14 Q. Is that a post-Compact storage right?

15 A. No.

16 Q. That's pre-1950?

17 A. That is.

18 Q. Now, 4 and a half cubic feet per second at 2  
19 acre-feet per day per CFS, that's about 9 acre-feet per  
20 day; is that right?

21 A. Four and a half? Yes, that would be 9  
22 acre-feet per day.

23 Q. And for what part of the year is that release  
24 allowed?

25 A. The way it is written, it is allowed to cover



Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 those times when the inflows are less than 4 and a  
2 half. It makes up the difference. It runs from  
3 October 1 until the next water season begins. And at  
4 that point in time, we have other needs for water  
5 downstream of Park.

6 Q. So if it were to run for, say, six months at  
7 180 days, I don't know if you'd trust a lawyer's math,  
8 but would that be something in the neighborhood of 1620  
9 acre-feet?

10 A. How many days did you say?

11 Q. 180 days?

12 A. Eighty days?

13 Q. 180.

14 A. Yeah, 1620.

15 Q. So if it runs for 180 days during the winter,  
16 that would correspond to a release during the winter of  
17 about 1620 acre-feet. And the right that is in the  
18 reservoir to support that is a 90 acre-foot storage  
19 right?

20 A. Yes.

21 Q. So 90 acre-feet of the 1620, in our example,  
22 would be offset by that storage right?

23 A. That's how much water is assigned to that  
24 use, yes.

25 Q. By the way, is that 90 acre-feet, is that

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 also pre-Compact?

2 A. That one is post-Compact.

3 Q. Post-Compact storage right?

4 A. Yes.

5 Q. I'd like to turn our attention to Exhibit  
6 M485?

7 MR. DRAPER: Your Honor, may I provide the  
8 witness a copy?

9 SPECIAL MASTER: You may.

10 MR. DRAPER: Thank you.

11 BY MR. DRAPER:

12 Q. Mr. Knapp, do you recognize this document?

13 A. Yes, I do.

14 Q. What is it?

15 A. This would be an article I wrote for an  
16 interagency publication called "Water Tale."

17 Q. And do you know when you wrote this?

18 A. No, I do not.

19 Q. I notice in the second paragraph you begin by  
20 saying, "Water year 2001 broke that routine." So is  
21 this shortly after the 2001 water year?

22 A. I suspect that would be correct.

23 Q. And, generally, what is the subject of your  
24 discussion here?

25 A. I would have to refresh myself just a second.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. Sure.

2 A. The topic of this would be that this is the  
3 first year I was unable to fill all of my reservoirs  
4 during my tenure as a water commissioner and the fact  
5 that we had to start regulation in late May, which is  
6 typically earlier than we do.

7 Q. And does it describe the procedure that you  
8 used in response to the partial fill of some of the  
9 reservoirs?

10 A. I would have to find that. Yes, it does.

11 Q. I believe you describe that in the second  
12 paragraph. What procedure did you follow under these  
13 circumstances?

14 A. Well, what happens in these mountain  
15 reservoirs is they're stacked up on the drainage in a  
16 series, very close proximity. And, for instance, Cross  
17 Creek Reservoir is filled before we can even get there  
18 in many situations, which then spills any water in  
19 Cross Creek to Big Horn Reservoir. And once Big Horn  
20 would fill, then at least the Cross Creek portion would  
21 go to Park Reservoir.

22 Unfortunately, the one that does fill first  
23 is the Cross Creek Reservoir system. So once we get to  
24 that point, we determine that the actual water that was  
25 captured in Cross Creek while it was closed belongs to

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 downstream reservoirs.

2 Q. And so you would require release of water  
3 from the upstream junior reservoir space to the more  
4 senior downstream reservoir space?

5 A. If I recall, in that year, sometimes the  
6 releases were actually made from the reservoir where it  
7 was stored to honor shareholders. For example, the  
8 waters would have stayed in Big Horn. Even though it  
9 was Park's water, Big Horn would have released it for  
10 Park shareholders.

11 Q. I see. So it was held up higher in the  
12 system and for the account of Park Reservoir, so to  
13 speak?

14 A. Yes.

15 Q. And it was limited by the amount of storage  
16 space that, in this case, Park Reservoir would have had  
17 to store water; correct?

18 A. That's correct.

19 Q. And you allowed Park Reservoir, then, to take  
20 credit for this higher stored water, either on account  
21 or by transferring it down to Park Reservoir; correct?

22 A. That's correct.

23 Q. And you did not dock Park Reservoir for any  
24 releases that it might have made during the winter;  
25 correct?

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. No, we did not.

2 MR. DRAPER: I'd like to hand the witness, if  
3 I may, Your Honor, Montana Demonstrative Exhibit 2.

4 SPECIAL MASTER: You may.

5 BY MR. DRAPER:

6 Q. Mr. Knapp, this is a collection of pictures  
7 from the basin tour that the Special Master and the  
8 parties took in July. And I believe you were with us  
9 for part of that tour; correct?

10 A. Yes, I was.

11 Q. If you'll turn to the picture designated in  
12 the lower right-hand corner as 2H. It's got a Montana  
13 Bates number of 21182.

14 A. I have that page.

15 Q. Do you recognize that picture?

16 A. Yes. This picture was taken standing on the  
17 crest of the Park Reservoir Dam looking at the outlet  
18 structure on east fork.

19 Q. And what is the stream and the ditch that we  
20 see down there?

21 A. The ditch to the right, from this  
22 perspective, is the Park Diversion Ditch.

23 Q. And the stream?

24 A. The stream is east fork of Big Goose.

25 Q. Okay. The next picture is labeled 2I. What

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 does that show?

2 A. I believe it's near the spillway of Park.  
3 'Cause we did not go any higher than Park Reservoir.

4 Q. That's what it looks like to me, looking  
5 basically upstream towards the mountains at the  
6 spillway of Park Reservoir?

7 A. That's correct.

8 Q. And the next picture, do you recognize that  
9 gauging station?

10 A. Yes. That is the gauge station in Little  
11 Goose.

12 Q. All right. And is that -- that's in the  
13 drainage below the Park Reservoir?

14 A. Not in the drainage below Park, no. It's in  
15 Little Goose. Park is on the east fork of Big Goose.

16 Q. Okay. And that was another gauge we visited  
17 on the tour; right? That was a gauge that we did  
18 visit, though?

19 A. Yes, it is a gauge we did visit.

20 Q. Okay. Thank you. I thought a little  
21 illustration would be a nice break in our conversation.

22 A. Yes.

23 Q. I'd like to turn our attention to an exhibit  
24 that you discussed with Mr. Kaste. This is the  
25 one-page table designated W175.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 A. Okay. I have that.

2 Q. During your direct testimony, you testified  
3 that you had created this table?

4 A. Yes, I did.

5 Q. And I'd like to look for just a moment with  
6 you at an example from this table. Taking, say, the  
7 first line under the headings of the table, do you see  
8 the first line designated as Park Reservoir?

9 A. Yes.

10 Q. And if you go across this table, I think you  
11 identified earlier for Mr. Kaste that the first column  
12 shows the amount of pre-1950 capacity?

13 A. That's correct.

14 Q. And the next column, 2, shows the quantity of  
15 post-1950 capacity in that reservoir?

16 A. That's correct.

17 Q. Now, in the accounting that you did, can you  
18 tell us how you arrived at, just on that line as the  
19 example, at the amount in column 7?

20 In this case it was 6974 under the column  
21 heading "Available as pre-1950 space by reservoir."  
22 And, in parentheses, I might add, it says "(lesser of 1  
23 or 4.5)."

24 A. You have lost me on where we are referring at  
25 this point.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. Okay. On the Park Reservoir line, which is  
2 the first line of figures.

3 A. Yes.

4 Q. And those figures are in acre-feet; correct?

5 A. Yes.

6 Q. Okay. In Park Reservoir, we -- it shows that  
7 there is 7347 acre-feet of pre-Compact storage of  
8 capacity; correct?

9 A. Yes.

10 Q. And the next column shows that the  
11 post-Compact capacity in that reservoir is 3015  
12 acre-feet; correct?

13 A. Yes.

14 Q. Okay. And the total is then given in column  
15 3. In this case, for Park Reservoir, it's 10,362  
16 acre-feet; correct?

17 A. Correct.

18 Q. Then you show in column 4, measured end of  
19 year. I think that's EOY stands for end-of-year  
20 storage; is that right?

21 A. This is where I'm not seeing the measure --  
22 oh, yes, I see it now. Yes. The 3388 acre-feet  
23 figure?

24 Q. Yes.

25 SPECIAL MASTER: Can I just -- I'm sorry.



Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Just avoid my having to come back. What do you mean by  
2 "end-of-year storage"?

3 THE WITNESS: That would be carryover from  
4 the previous year. In this case, from the 2003 water  
5 year.

6 SPECIAL MASTER: So that's December 31st,  
7 2003?

8 THE WITNESS: That would be October 1st of  
9 2003.

10 SPECIAL MASTER: Okay. Thanks.

11 BY MR. DRAPER:

12 Q. So the column the Master asked about shows  
13 3388 acre-feet as the carryover storage; correct?

14 A. Correct.

15 Q. And the next column, which is entitled  
16 "Unfilled as of 10/1/2003 acre-feet," it shows an  
17 amount of 6974, doesn't it?

18 A. It does show that.

19 Q. And that is the difference between the  
20 carryover of 388 and the total storage capacity of  
21 10,362; correct?

22 A. That's correct.

23 Q. Okay. And let me see if we can skip over the  
24 next two. Column 7 is labeled "Available." And this  
25 is somewhat abbreviated in the column heading. But it

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 says "Available as pre-1950 space by reservoir, (lesser  
2 of 1 or 4.5)."

3 And in that column in this row, it shows  
4 6974, doesn't it?

5 A. It does show that. What I was unsure of is  
6 this original format is a listing of reservoirs used  
7 for the Yellowstone River Compact. When I built this  
8 column, I inserted this column 5 storage as of  
9 5/19/2004. I do not know if I did any editing over in  
10 column 7 or 8.

11 Q. Let me see, though, if the -- your  
12 understanding matches mine on how the carryover and the  
13 new fill is accounted for.

14 A. Okay.

15 Q. The fact that in column 7, 6974 is shown as  
16 available pre-'50 storage space, that amount of water  
17 is equal to the amount from column 5 of unfilled  
18 storage space; correct?

19 A. It is on this sheet. Yes, they are the same  
20 number.

21 Q. And that means that all of the inflow was  
22 accredited to the pre-1950 compact space, which totals  
23 7347, as we see in the first column?

24 A. Yes.

25 Q. And if I understand this, this is fairly

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 standard accounting procedure for you; isn't that  
2 right?

3 A. This is not accounting that I normally do.  
4 Annually, I will calculate the carryover numbers and  
5 give them to Carmine. And he will take this sheet,  
6 minus the 5/19/2004 column, to the Yellowstone River  
7 Compact Committee meetings. This is unique in the  
8 aspect of having that one line.

9 SPECIAL MASTER: I'm sorry. Just to  
10 interrupt again, the sheet that would normally go to  
11 the Yellowstone River Compact is everything except for  
12 column 5?

13 THE WITNESS: Column 5.

14 SPECIAL MASTER: Thanks.

15 BY MR. DRAPER:

16 Q. But I think I'm driving at a point that may  
17 be obvious to you, and that is, doesn't this show that  
18 the normal way to assign new storage is to assign it  
19 first to the earlier priority, in this case,  
20 pre-Compact, and anything that can't fit into the  
21 pre-Compact space is then accounted for as  
22 post-Compact?

23 A. No. It's not obvious to me the point you're  
24 trying to make. I don't understand that statement yet  
25 at all.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. Well, when you do -- when you do this  
2 particular reservoir accounting, as we've seen in this  
3 example with Park Reservoir, the new water coming in  
4 for storage is assigned to the pre-Compact space until  
5 that space is exhausted as far as what it can hold?

6 A. I don't know. I get the end-of-the-year  
7 elevation, and that's the carryover. And I don't  
8 assign it to a priority.

9 Q. Okay. Fair enough. Thank you. Mr. Knapp,  
10 what are the typical travel times for deliveries from  
11 the reservoirs down to the ditches that have reservoir  
12 rights?

13 A. Typically in Little Goose, from Park and Big  
14 Horn, if they release at 8:00 in the morning, I would  
15 see it at approximately 4:00 that afternoon. So eight  
16 hours in that case. I think it's maybe an hour longer  
17 to get down Big Goose.

18 Q. So the travel times that you work with tend  
19 to be in the less-than-10-hour range?

20 A. Yes.

21 MR. DRAPER: Your Honor, if I could have a  
22 moment, I think I'm close to being finished. But if I  
23 could just have a moment?

24 SPECIAL MASTER: That would be fine.

25 MR. DRAPER: Thank you.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 BY MR. DRAPER:

2 Q. Mr. Knapp, turn our attention to Exhibit W41.  
3 It's a one-page listing.

4 A. I have that.

5 Q. This is the one-page compilation that you did  
6 entitled "2006 reservoir data." Is that the page you  
7 have?

8 A. That's the page I have.

9 Q. And you have on the left-hand side all  
10 reservoirs filled and then you have order dates?

11 A. Yes.

12 Q. And you have the reservoirs listed with  
13 various dates. How did you determine -- looking at the  
14 Last Chance Reservoir, how did you determine that fill  
15 date?

16 A. That's not a fill date. That's an order  
17 date.

18 Q. Okay. In other words, the fill occurred no  
19 later than that; is that right?

20 A. That's correct.

21 Q. Okay. And as I understand it, you derived  
22 that from the hydrographer's annual report for 2006; is  
23 that right?

24 A. All of this data on this page, yes, came from  
25 the 2006 hydrographer's annual report.

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 Q. And you were looking at it there -- and you  
2 have a copy of that report; correct? It's designated  
3 Exhibit J62 in the lower right-hand corner.

4 A. Yes, I have that.

5 Q. Can you show us how you determined --

6 A. I can. It will take a moment. We'll go  
7 to...

8 SPECIAL MASTER: Actually, if we can just  
9 take another moment. I'm going to try to pull J62. I  
10 only have J61.

11 Thank you. So, Mr. Draper, whenever you and  
12 Mr. Knapp are ready, you can see I found my copy.

13 MR. DRAPER: Thank you, Your Honor.

14 THE WITNESS: I think I'm ready to at least  
15 start with this process.

16 BY MR. DRAPER:

17 Q. All right. Please go ahead.

18 A. All right. Page 99 of that report, that  
19 WY049988, is a summary of much of the -- the smaller of  
20 these reservoirs. So we can start with that page.  
21 We'll just start at the top. Willitts Reservoir.

22 Q. Let's just confine our attention to the third  
23 one, the Last Chance Reservoir, if you please.

24 A. Sure. And there may be an error in the data  
25 there because it says the first order on this gauge was

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 July 10.

2 Q. How does that compare to what you have in  
3 Exhibit W41?

4 A. 141 [sic] indicates June 10th.

5 Q. Now, is there a backup table here that we can  
6 check to see which is right?

7 A. Potentially. Last Chance, and I haven't  
8 looked to see what it says yet, but WY04993, which is  
9 104 of this publication, is for the Last Chance  
10 Reservoir. And it indicates a date of July 10th, when  
11 3 CFS is released.

12 Q. And what does it indicate about releases  
13 before that date?

14 A. That there were none out of the Last Chance.

15 Q. So is this listing correct that you've given  
16 us on Exhibit W41 for the Last Chance Reservoir?

17 A. Not for the Last Chance.

18 Q. And what should that read?

19 A. It should read July 10th.

20 Q. And is it, therefore, the earliest?

21 A. No, it is no longer the earliest order. We'd  
22 have to compare the dates on the rest of them.

23 Q. Okay.

24 A. Granger would now be the earliest at 6/14.

25 Q. Now, with respect to reservoirs in general,

Cross-Examination by Mr. Draper  
WILLIAM KNAPP - October 30, 2013

1 we talked about the lack of notice to fill -- order to  
2 fill notices in the Tongue River Basin; correct?

3 A. That's correct.

4 Q. And you have not issued any such notices  
5 during most of your tenure as the chief hydrographer?

6 A. That's correct.

7 Q. And without issuing such a notice, it's not  
8 possible to charge someone for past water, is it?

9 A. Yes, it is possible. These people, without  
10 notice, I can still start charging people at that date  
11 of the beginning of the water year.

12 Q. All right. But you have never done so?

13 A. In years we didn't fill, I did not provide  
14 notice. And water was moved to -- determined not to  
15 belong to certain reservoirs. So, yes, I have.

16 Q. But as far as charging reservoirs for water  
17 releases during the winter?

18 A. No, I have not had the need to do that yet.

19 Q. So you've never done it in your tenure?

20 A. No.

21 MR. DRAPER: Your Honor, I think I need to  
22 admit -- or ask for the admission of one exhibit. That  
23 is M485.

24 SPECIAL MASTER: So which was 485? Even I've  
25 been overwhelmed by paper today.



Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 MR. DRAPER: That is the one-page article  
2 that Mr. Knapp wrote entitled "Digging Deeper Into the  
3 Well."

4 SPECIAL MASTER: I think you're right.

5 Any objection?

6 MR. KASTE: No objection.

7 SPECIAL MASTER: Okay. Then Exhibit M485 is  
8 admitted into evidence.

9 (Exhibit M485 admitted.)

10 MR. DRAPER: Thank you. And that completes  
11 my questions for the moment. Thank you, Your Honor.

12 SPECIAL MASTER: Okay. So actually I have a  
13 number of questions. And so then you're free to ask  
14 any additional ones, Mr. Draper, after that that relate  
15 to my questions.

16 EXAMINATION

17 BY SPECIAL MASTER:

18 Q. So let me actually start with W41, which was  
19 a document that Mr. Draper was asking you about a  
20 moment ago, with respect to the 2006 reservoir data.

21 A. Yes.

22 Q. So I want to confirm. So the order dates,  
23 you obtain those from the hydrologists' annual report?

24 A. Yes.

25 Q. And in terms of determining what are the

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 appropriate dates, that's the document to look at?

2 A. Yes.

3 Q. Okay. And then on the information about all  
4 the reservoirs filled, where do you get that  
5 information?

6 A. From the same document. It will be right up  
7 on each individual reservoir.

8 Q. Okay. And, in fact, a moment ago, we were  
9 looking at the page where there was a quick narrative  
10 on each of them. And I noticed that on each of them it  
11 talked about the reservoir being filled?

12 A. Yes, it does.

13 Q. And where do you get that information for  
14 purposes of the hydrologists' annual report?

15 A. That would be from field inspections. I'd go  
16 to the mountain reservoirs as soon as I can get there,  
17 documenting elevation. Perhaps a week later, I will be  
18 back, document the new elevation. If I'm lucky and I  
19 get there at the time of spill, then I can document the  
20 actual date. Otherwise it's between June 6th and  
21 June 12th or whichever two visits where I saw it not  
22 full and full.

23 SPECIAL MASTER: And, Mr. Draper, you're  
24 welcome to stand there or sit down, wherever you're  
25 more comfortable. I just want to let you know, it's

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 not going to be one or two questions. I just didn't  
2 want you standing there if you're more comfortable  
3 sitting.

4 MR. DRAPER: I think I will take your offer.  
5 Thank you.

6 BY SPECIAL MASTER:

7 Q. And next, I just want to talk a little bit  
8 about the information that you provided in connection  
9 with what's marked as Exhibit W40, which is, again, the  
10 document that you identified you prepared entitled  
11 "Storage regulation 2004 water year."

12 And I want to ask you some questions here  
13 because I'm not as familiar with the overall system.  
14 And a lot of that information went by very quickly for  
15 me.

16 So my understanding is just looking at the  
17 information that you have attached, you have a listing  
18 of that information on the first page. My  
19 understanding was that the first several entries are in  
20 connection with the reservoirs which are in the Cross  
21 Creek watershed; is that correct?

22 A. Yes.

23 Q. Okay. And so that I can understand, then,  
24 turning to the schematic, which is Exhibit M423.

25 A. I don't have a paper copy of that one. So if

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 I could see it.

2 Q. Okay. Somebody could give you a copy of  
3 that.

4 A. I don't believe I looked at that earlier on  
5 my screen.

6 Q. Okay. And just so I understand, the  
7 reservoirs that draw from the -- the reservoirs that  
8 draw solely from the Cross Creek watershed are the ones  
9 to the left of the Park Reservoir?

10 A. They are, with the exception of the small  
11 little Willitts Reservoir that you can see --

12 Q. Uh-huh. I was going to ask you.

13 A. That one actually has a small diversion ditch  
14 out of a tributary of Little Goose that brings water in  
15 to fill it, and then it returns water to Little Goose.

16 Q. And then Park Reservoir draws both from Cross  
17 Creek watershed and also from the Big Goose watershed?

18 A. The east fork of the Big Goose, yes.

19 Q. And so if I understood what you were saying  
20 earlier, you were talking about the fact that by  
21 May 24th, that you were regulating Cross Creek?

22 A. Yes.

23 Q. And could you explain why that's relevant to  
24 the question of whether or not a reservoir is still  
25 storing?

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1           A.    That May 24th date would have stopped any  
2 storage in Cross Creek and in Big Horn or Martin or  
3 Last Chance.  Because the priority ditch has a senior  
4 water right to the flows of Cross Creek, which comes  
5 through the mountain supply reservoir, which on this  
6 diagram -- it will -- well, in this diagram, it's  
7 technically the name of this ditch is Peralta Ditch, so  
8 right below Big Horn, you'll see a little arrow on the  
9 diagram.

10          Q.    Maybe show me on the screen.

11          A.    It's up.  I will.  Peralta Ditch, they're  
12 saying it's right there.

13          Q.    I see.  Okay.  And so -- and I'm going to be  
14 asking you some factual questions.  Then do Yellowstone  
15 County, Big Horn, Last Chance, Martin, do they all then  
16 divert Cross Creek to fill the reservoirs?

17          A.    Last Chance and Martin are off channel, so  
18 they are filled by a ditch from Cross Creek.  Their  
19 fill right is on Cross Creek.  The physical location of  
20 the reservoir is not on the drainage of Cross Creek.

21          Q.    Right.  And what about Cross Creek and Big  
22 Horn?

23          A.    Cross Creek and Big Horn are on the channel  
24 of Cross Creek.

25          Q.    They are on the channel of Cross Creek?

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 A. Yes.

2 Q. And so when you regulate for Peralta, then  
3 what do you have to do with respect to Big Horn and  
4 Cross Creek Reservoir at that stage?

5 A. At that stage, they have to release --

6 Q. Start with what you do.

7 A. What I do?

8 Q. In connection with those two reservoirs.

9 A. Oh, I inform the reservoir company that we  
10 are no -- can no longer store in those two facilities.

11 Q. What does that mean, that they can no longer  
12 store?

13 A. That any inflows coming into the reservoir  
14 have to pass through the reservoir.

15 Q. So they're supposed to measure the amount  
16 that goes in at that stage and then let an equal amount  
17 go out even before they release any storage?

18 A. That's correct.

19 Q. And do each of those have measures for the  
20 inflow and outflow?

21 A. Well, in the case of the Cross Creek, that's  
22 why I said it's advantageous not to send that water  
23 down at Cross Creek until a later date because we  
24 can -- still have to go through the spills. It's water  
25 owed to Big Horn potentially or Park potentially. If

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 we can keep it full, then we get the inflows. We don't  
2 have to regulate through pipes to get it. It just  
3 shows up for us.

4 Q. I'm still curious on, for example, Cross  
5 Creek. There's a measuring device going in and a  
6 measuring device going out. And that's how they  
7 determine whether or not they're letting the flow  
8 through?

9 A. There is one going out. There have been  
10 attempts to put some on the inflows. But it's just a  
11 big swampy bog, and it's very difficult to measure the  
12 actual inflows and also two creeks coming in.

13 Q. And so how, then, when you regulate Cross  
14 Creek Reservoir, does the Cross Creek Reservoir  
15 understand how much to release?

16 A. We will dump -- it sits there in Cross Creek  
17 half of the summer. And it's released out of Big Horn.  
18 Later in the summer, we will open it up to Cross  
19 Creek's outlet gates to approximately 20 CFS and  
20 replace the water that they owe Big Horn from their  
21 storage facility.

22 Q. But what I'm still confused about, and can  
23 you help me and feel free -- if you think you  
24 understand what I'm saying and I'm not quite asking the  
25 question, feel free to explain it to me.

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1           So my understanding is that once you -- once  
2 Peralta Ditch calls on the Cross Creek because they are  
3 senior, then you tell Big Horn and Cross Creek, okay,  
4 you guys are regulated. Whatever comes in you have to  
5 let out. And so I'm now the manager of the Cross Creek  
6 Reservoir.

7           A. Yes.

8           Q. And you've told me everything that comes in I  
9 have to let out.

10           Do you know how the manager there figures out  
11 how much is going in so that they know now how much to  
12 go out?

13           A. The manager of Cross Creek is the same  
14 manager of Big Horn.

15           Q. Okay.

16           A. It's John Becker. So we continue to let  
17 Cross Creek run through its spillway. And there is a  
18 good measuring device on Cross Creek above Big Horn.  
19 So we know what the flow at Cross Creek is coming into  
20 Big Horn. The assumption, since it's coming through  
21 the spillway, is it's the same. It's -- we're getting  
22 all of Cross Creek's because they have quit storage,  
23 they are now spilling. So we're getting it. And the  
24 measuring point is before it gets to Big Horn. So  
25 Peralta Ditch is owed -- or entitled to the amount of



Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 water that the gauge above Big Horn says is in Cross  
2 Creek.

3 Q. I understand now for Big Horn, 'cause they  
4 have a gauge up above, how they figure out how much to  
5 let go down below. And my understanding is, once you  
6 regulate them, they are supposed to take the measure  
7 upstream and, at that gauge, measure it all downstream?

8 A. Yeah.

9 Q. Okay. So when I talk about the Cross Creek  
10 reservoir, you keep talking about the spillway.

11 A. Yes.

12 Q. So how is the spillway -- help me understand  
13 whether what's coming out of Cross Creek is what's  
14 going into Cross Creek Reservoir.

15 A. Other -- I guess other than the fact that  
16 it's brim full. So any inflows, there's no potential  
17 to store the inflows. It's spilling through the  
18 reservoir into Cross Creek again.

19 Q. Okay. So that assumes -- so if they are  
20 full, then for Cross Creek, anything that comes in is  
21 going to be coming out?

22 A. It's going to be coming out.

23 Q. I see.

24 A. For lack of good measuring devices, that's  
25 why the principal measuring devices for Cross Creek is

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 the inflow of Big Horn, which is also why it tends to  
2 stay full until such a time as it can replace water in  
3 Big Horn.

4 Q. Okay. And are these reservoirs just pulling  
5 in what's in Cross Creek? Let me ask that in a  
6 slightly different way. When I was up there and looked  
7 at some of these reservoirs, are they also just  
8 capturing water from the watershed in general in  
9 addition to the amount that's coming in through a  
10 particular -- or being diverted from a particular  
11 creek?

12 A. There probably are minor tributaries that we  
13 just cannot account for later in the season. I have  
14 not seen a live stream as I've walked around any of  
15 these reservoirs. Cross Creek is the principal source.

16 During snowmelt, you have live tributaries  
17 running in from all directions to help fill these  
18 reservoirs. By the time we get into a regulatory  
19 program, those become intermittent, if nonexistent.

20 Q. Okay. And so there could be. But what  
21 you're telling me is that it would be relatively minor  
22 during the irrigation period?

23 A. Yes.

24 Q. And then -- so the -- going back to -- again,  
25 to W40, then. My understanding is what you were

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 talking about in connection with some of the later  
2 entries, were you talking about gauges that are  
3 upstream and downstream of the Park Reservoir?

4 A. I believe -- let me make sure I understand.  
5 Item 4 is the recorder on Park Reservoir -- on Park  
6 Diversion Ditch, which is in that photo we looked at a  
7 little while ago, is that ditch that goes to the right  
8 off of the main channel of east fork out of the Park  
9 Reservoir Dam.

10 Q. Right.

11 A. That drops it into Big Goose. So that's what  
12 we measure, that quantity of water that's being  
13 exported transbasin to Little Goose, like I stated,  
14 Little Goose.

15 Q. Okay. So let me come at this from a  
16 different direction. So I understood, from the  
17 discussion this morning that in terms of your  
18 testimony, that -- about the filling of the reservoirs  
19 in the Little Goose Creek, that you're focused on when  
20 you were regulating Little Goose; is that correct?

21 A. No. This stems to the fact that --

22 Q. I mean Cross Creek.

23 A. Peralta has a right --

24 Q. I meant Cross Creek.

25 A. Yes. Okay.

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 Q. And then I also heard you testify as to why  
2 you believed that Park Reservoir had filled.

3 A. Yes. This is '06 we're talking? Yes, Park  
4 filled. I think that's different documentation than  
5 this one -- oh, no, it did not fill. Item 6 was a  
6 visual observation. Park Reservoir was down 10.1 feet.  
7 And that's from a diary notation.

8 I'm trying to remember -- there's a diary  
9 notation -- oh, item 1, May 24, Park Reservoir was  
10 minus 9.5 feet. So from May 24th to June 1st, we had  
11 released a foot of water by elevation which can be  
12 converted to acre-feet. The reservoir is now lower.  
13 So we'd see storage because it's releasing water  
14 between those two dates.

15 Q. Okay. So that's -- yeah, I was -- so let me  
16 ask this question again.

17 A. Okay.

18 Q. I know you're trying to remember back to what  
19 you were testifying earlier. And, unfortunately, I  
20 don't have it on the screen directly in front of me  
21 here. But in terms of your testimony that Park  
22 Reservoir was filled by May 24th, you were relying upon  
23 what documents?

24 A. Oh, Park Reservoir was filled in 2006.

25 Q. I don't mean -- I'm sorry. In terms of your

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 testimony that they ceased storage. I keep saying the  
2 wrong thing. Ceased storage as of May 24th.

3 A. That's strictly based on the elevation  
4 observed on May 24th as opposed to the elevation that I  
5 took -- I lost the date -- June 1st, which is, what,  
6 seven days later, a week later I was up. And we had  
7 released -- the reservoir had dropped from an elevation  
8 of 9.5 foot below full to an elevation of 10.1-foot  
9 below full. So we have reduced storage in seven days.

10 SPECIAL MASTER: Why don't we take the first  
11 ten-minute break right now and come back half an hour  
12 after. I'm going to stay here as to go through my  
13 various notes. And then we can come back at 2:30 or a  
14 little after 2:30. And everybody can go about their  
15 business.

16 (Recess taken 2:24 to 2:36  
17 p.m., October 30, 2013)

18 SPECIAL MASTER: Okay. So back on the  
19 record.

20 BY SPECIAL MASTER:

21 Q. So turning again to M423, which is the  
22 schematic, just to keep track of the various  
23 reservoirs. So in your testimony this morning as to  
24 when storage stopped in the various reservoirs, which  
25 are shown on the schematic, I heard you talk about the

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 reservoirs in the Cross Creek watershed. I heard you  
2 talk about the Park Reservoir. And then I heard you at  
3 the very end say all of the reservoirs in your  
4 jurisdiction that filled by the date of May 24.

5 But I didn't hear you talk about Dome, and I  
6 didn't hear you talk about Sawmill.

7 A. No, we did not discuss that at all in any of  
8 these.

9 Q. I just want to make sure. I just went back  
10 over the record, and I didn't see any reference to it.

11 A. No. I think to get that data we would look  
12 at the hydrographers' annual report for 2004. But it's  
13 not a part of it.

14 Q. Okay. So let me then turn to Exhibit W175,  
15 which you were talking about with Mr. Draper a moment  
16 ago. And it's, again, the one sheet that says Wyoming  
17 reservoirs.

18 A. Yes.

19 Q. So for column 4, first of all, the measured  
20 end-of-the-year water storage in acre-feet, how would  
21 you obtain that data?

22 A. By elevation. On or near October 1 of the  
23 next water year, we will go physically and take and  
24 hand-level our way up to spillway elevation from water  
25 elevation and decide it's 5.7 foot and compare that

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 elevation to its capacity table.

2 Q. And then for column 5?

3 A. Yes.

4 Q. So does that mean on May 19th, you went to  
5 each of these reservoirs and actually measured what the  
6 height was of the reservoir, and from that you then  
7 calculated the storage?

8 A. Yes.

9 Q. Okay.

10 A. Exactly.

11 Q. And by May 19th, would there have been  
12 releases of storage from these reservoirs for use  
13 downstream?

14 A. There may have been. Again, the annual  
15 report for this year would indicate when releases  
16 began.

17 Q. Okay. So you would look again at the  
18 hydrologist annual report in order to obtain that data?  
19 And the answer to that is yes?

20 A. Yes.

21 Q. And column 5, then, is not necessarily the  
22 total amount that was stored in that water year but was  
23 the amount in storage as of May 19?

24 A. As of May 19, correct.

25 Q. Okay. And this might answer, then, the other

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 question I had. When Mr. Kaste was showing how you use  
2 your diaries, on May 9 of 2004 in your diary, you had  
3 indicated Dome filled?

4 A. Yes.

5 Q. But when I look at Exhibit W175 and I look at  
6 Dome, it doesn't appear as if it's filled?

7 A. Okay. I think in that diary entry it might  
8 say, "There's no boards in the spillway yet."

9 Q. No, just says Dome filled.

10 A. Okay. Can I look through that diary a little  
11 bit?

12 Q. You certainly may.

13 A. I just would like to look.

14 Q. There's not much in the vicinity of those  
15 dates.

16 A. Okay. I do only say that. Okay. I think it  
17 still falls back to what I suspected, and Dome probably  
18 didn't completely fill. They have 2 foot of boards  
19 they like to put in the spillway to get them to total  
20 capacity. I suspect Dome filled because I saw a  
21 reservoir fill online and wrote that in my diary. But  
22 when I physically went there on May 19, they did not  
23 have their boards in the spillway yet.

24 Q. But you don't know right now what the  
25 explanation is for the difference?



Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 A. That's my speculation on how it differs.

2 Q. Okay. And Mr. Draper asked you about  
3 allocation between pre-1950 and post-1950 storage  
4 earlier. And I'm just curious, after a reservoir has  
5 filled, is there any relevance to your job as to what's  
6 pre-1950 and what's post-1950?

7 A. No. Some of the Park Reservoir companies  
8 themselves, specifically, and that's pretty much the  
9 only one, have A and B stock, so they have that  
10 concern. They will issue on some of the people that  
11 had B stock, which I -- I don't see their records, but  
12 they had lesser amounts of storage than the people that  
13 were strictly A.

14 So I think the company itself was  
15 apportioning out the lack of fill in those years. Park  
16 treats everybody equally, as does everybody else.

17 Q. So in your job, and I'll expand it more  
18 generally, is there any relevance to when storage water  
19 is pre-1950 or post-1950? Let me rephrase that.

20 Have you ever had to make a determination as  
21 to whether or not storage water is pre-1950 or  
22 post-1950 in your job?

23 A. No, I have not.

24 Q. Okay. Another question I had was -- I would  
25 love to just investigate for a moment the various tags

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 that you were talking about earlier.

2 A. They are going to be --

3 Q. Actually, no. I take it back. I don't think  
4 I need to talk about those. Actually, what I want to  
5 talk about is the reservoir --

6 A. Order sheets.

7 Q. -- order sheets, which are W327.

8 A. I have that.

9 Q. Okay. And I won't be very long here. But  
10 I'm just trying to figure it out.

11 So take the very first page. So you'll see  
12 that it says, under water commissioner, it's Alliance.  
13 So that's the ditch that that water will ultimately go  
14 into?

15 A. That is the ditch.

16 Q. Okay. And then underneath that it says, "We  
17 have ordered 2.0 off." So, first of all, with a 2.0,  
18 is that 2.0 CFS?

19 A. Yes, 2.0 CFS.

20 Q. And does the "off" mean it's reduced rather  
21 than added, or what does off mean?

22 A. That means they had at least two previously  
23 ordered. And so they are reducing the amount.

24 Q. Okay. And so if you go to the bottom of the  
25 page where it says, "We have ordered 1.0," that's

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 increasing it one?

2 A. Yes.

3 Q. And at the top where it says 2.0 off, it  
4 means decreasing?

5 A. Yes.

6 Q. Where it says for use on Wild Rose Water  
7 Group, that's the actual appropriator who is going to  
8 be taking it from the ditch?

9 A. Yes, that's who owns those particular shares.

10 MR. DRAPER: Your Honor, could I just get you  
11 to give the page again that you're on.

12 SPECIAL MASTER: Oh, I'm sorry. This is  
13 Exhibit W327. And I'm just on the very first page, the  
14 very first entry at the very top. And I'm just trying  
15 to understand what each of these means.

16 BY SPECIAL MASTER:

17 Q. And so the only other question I have with  
18 respect to understanding this sheet is I notice in the  
19 lower left-hand corner, it says "Two minus two equals  
20 zero" which is math that even Mr. Kaste and I can  
21 understand.

22 A. Yes. And John Wantulok is the guy who takes  
23 these orders obviously did that. So it did reduce them  
24 to zero from a previous order of having two going.

25 Q. Right. And similarly, for the second one,

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 it's five minus two equals three, which would indicate  
2 they had five before, they're reducing it two, so  
3 they'll have three after?

4 A. That's correct.

5 Q. And then two pages in, on the 894, that's a  
6 sheet where you basically keep track of what amounts  
7 are then coming out of storage for the various ditches?

8 A. Correct. I would like to go back to the five  
9 minus two equals three in Park Reservoir, just because  
10 I suspect what we will see on the 59 page is Park  
11 Diversion will have three from the J.C. Ranch now.  
12 It's actually four. So there's another order date  
13 between the 6th and the 9th.

14 So they have obviously changed again. But  
15 that's what he does is he's got three different  
16 appropriators ordering water for Peralta. So he just  
17 gives us kind of a Reader's Digest of the entire  
18 version.

19 Q. Okay. So then my next step was I went to  
20 your diary. And I expected when I looked at August 6  
21 or the next day, August 7th, to see entries that  
22 corresponded with each of these various changes.

23 A. The 6th here?

24 Q. Yeah, so this is Exhibit, now, W34.

25 A. It's this pile. Okay. August 6. Am I in

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 2006? 'Cause we should not have an order on a  
2 Sunday -- oh, this is '04 orders, pardon me.

3 Q. '04. So this is W34.

4 A. Okay. Yeah, I did not go to the Peralta. I  
5 just am curious if -- sometimes reservoir orders will  
6 cancel each other and save me some trips. A lot of  
7 times the Alliance one, for example, is Pat takes care  
8 of a bunch of the releases. So my diary wouldn't  
9 reflect that. But I should reflect something in the  
10 Peralta. I would like to look at the Monday after.  
11 Oh, I did go to the Alliance on the Monday after and  
12 set that one.

13 Q. So let me ask you just a different question,  
14 which is really why I was sort of wondering once I  
15 actually got into the question. You get these sheets  
16 generally the end of the day?

17 A. No, first thing in the morning.

18 Q. You get them first thing in the morning, and  
19 that's because they are going to be making the  
20 adjustment?

21 A. Yes.

22 Q. Okay. And do you always get out on that  
23 day --

24 A. No.

25 Q. -- to make the changes?

Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1           A.    No.  We actually go out the next day.  We  
2 allow travel time showing up so late in the day, that  
3 we will get it into the system.  And then I go first  
4 thing in the morning or the following day and make the  
5 adjustments.

6           Q.    Okay.  But if I look at it in this case, I  
7 don't see them on the next day.  So do you do it every  
8 time?

9           A.    Sometimes there's a wash.  This was a  
10 Saturday.  Well, Friday, August 6th, I was at the  
11 Peralta.

12          Q.    Yeah, I noticed the Peralta on that day.

13          A.    Okay.  So I did -- sometimes I will do that  
14 on a Friday, set, if it's minor changes, towards the  
15 end of the day.  Yeah, and this was at 1646.  I like  
16 the water to show up around that 4:00 time in the  
17 afternoon before I will make a set on the same day.  
18 Just so it doesn't affect the creek.  The Alliance ones  
19 you're looking at, Pat may well have it in his diary.  
20 Because Pat sets my Big Goose water for me more often  
21 than not.

22          Q.    I see.  Okay.  So, then, just one other  
23 question which I was curious.  When you said that,  
24 correct me if I'm wrong -- that sometimes you see that  
25 somebody does not seem to be receiving as much water as

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 they are entitled to under their priorities. And you  
2 then actually seek them out to see whether or not they  
3 want more water, or you talk to them?

4 A. Yes, I will go to the -- whoever is in my  
5 contact for that ditch is.

6 Q. Okay.

7 A. Open up that conversation just to make sure  
8 they are satisfied with their right.

9 Q. Okay. And is that your typical practice?

10 A. Yes.

11 Q. Okay. I think those are all of my questions.  
12 Mr. Draper first and then Mr. Kaste redirect.  
13 MR. DRAPER: No further questions at this  
14 time.

15 SPECIAL MASTER: Okay. Thanks.

16 Mr. Kaste.

17 REDIRECT EXAMINATION

18 BY MR. KASTE:

19 Q. I thought I understood this this morning. I  
20 guess I don't. All right. Let's see if we can ferret  
21 a couple things out.

22 With regard to Exhibit W175, do you have  
23 that?

24 SPECIAL MASTER: Which one is that?

25 MR. KASTE: That is the --

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           SPECIAL MASTER: That's the Wyoming  
2 reservoirs sheet?

3           MR. KASTE: Yes.

4           THE WITNESS: Okay. I guess a little  
5 clarification of what it looks like that I'm looking  
6 for -- oh, right on top. Looks just like this.

7 BY MR. KASTE:

8           Q. All right. Here's what I understand. As of  
9 July 20, 2006 -- that's not reflected on W175 -- there  
10 isn't any doubt that there wasn't storage going on in  
11 Wyoming; right?

12          A. Right.

13          Q. In 2006. All right.

14                 As of May 18th, 2004, when we got a call  
15 letter from Montana, you went out the very next day and  
16 measured what was actually in Wyoming's reservoirs;  
17 correct?

18          A. Correct.

19          Q. And you wrote that number down in column 5?

20          A. Correct.

21          Q. So we know that all of the water reflected in  
22 column 5, which may or may not inhabit pre- or  
23 post-Compact space, but all of that water was stored  
24 before May 19?

25          A. Yes.



Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. And we know some of that storage  
2 probably occurred after May 19 for a short period of  
3 time; is that fair?

4 A. That's fair.

5 Q. In some of these reservoirs, for example,  
6 your diary reflects before May 19th that Twin Lake had  
7 filled; isn't that right?

8 A. Dome Lake is the one.

9 Q. No, I think --

10 A. Yeah, I'd like to...

11 Q. Maybe let's look at May 19th in your 2004  
12 diary. It should be Exhibit W234 -- W34.

13 A. Twin Lakes filled, yes.

14 Q. All right. Now, if I understood right,  
15 looking at the schematic and going back to Exhibit W40,  
16 when you see that the Big Goose -- or Beaver Ditch is  
17 trying to get its direct flow water out of the east  
18 fork of Big Goose Creek, that is an indication that  
19 storage on the east side is supposed to stop?

20 A. Yes.

21 Q. All right. And similarly, when you see the  
22 Peralta Ditch is asking for its water out of Cross  
23 Creek, that's an indication that storage on the west  
24 side is supposed to stop?

25 MR. DRAPER: Your Honor, I would object to

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 the leading nature of the questions. He's giving him a  
2 paragraph, and the answer is yes.

3 MR. KASTE: And they are very leading. I'm  
4 just trying to clear up everybody's confusion if I can.

5 SPECIAL MASTER: I think maybe. But I think  
6 you could probably ask him in a way that's not leading.  
7 You could simply ask the question of, you know, if Big  
8 Goose Creek is restricted, what does that mean with  
9 respect to the reservoirs?

10 MR. KASTE: All right.

11 SPECIAL MASTER: Minor change, but I know you  
12 can do it.

13 MR. KASTE: All right.

14 BY MR. KASTE:

15 Q. The question with regard to the Peralta  
16 Ditch, what does that mean about whether storage is  
17 occurring on the -- I'm backwards -- the west side  
18 reservoirs? Park and everything west of that?

19 A. Peralta Ditch would have ceased storage on  
20 Big Horn Reservoir, Cross Creek Reservoir, Martin  
21 Reservoir, Last Chance Reservoir.

22 Q. All right. Now, I saw something in the 2004  
23 annual report in the pages after your narrative. There  
24 is some small narratives about each of the smaller  
25 reservoirs; right?

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. Yes.

2 Q. All right. The one for Willitts Reservoir  
3 says it filled sometime after June 21st; is that right?

4 A. Yes.

5 Q. All right.

6 MR. DRAPER: Your Honor, I think we're  
7 falling back into the same pattern.

8 MR. KASTE: I'm just trying to move along.

9 MR. DRAPER: A paragraph and a simple yes.  
10 That's the pattern.

11 MR. KASTE: I could have him read it out of  
12 there if you would like, but it would take longer.

13 SPECIAL MASTER: Just do your best.

14 MR. KASTE: All right.

15 BY MR. KASTE:

16 Q. All I'm trying to do, of course, is clarify  
17 when storage stopped. And it sounds like Willitts  
18 might have gone on longer than May 24; correct?

19 A. Willitts could have potentially gone longer.  
20 That's the first visit I was there when I noted it to  
21 be full.

22 Q. All right. And with regard to the other  
23 reservoirs, other than Willitts, I just want to be  
24 clear, is May 24th still the date that you believe  
25 storage ceased?

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 A. It is.

2 Q. All right. With regard to the wintertime  
3 releases from Park Reservoir, do you allow Park  
4 Reservoir to refill after it does the flushing flows  
5 for the Game and Fish?

6 A. If it's available, we do allow that.

7 Q. And you would do that with any reservoir; is  
8 that right? If water is available, everybody will  
9 refill; right?

10 A. Yes.

11 Q. You looked at the stream schematic for the  
12 Tongue River, and you talked about one point in time  
13 regulation to a specific date that was identified in  
14 your e-mail.

15 Do you remember that?

16 A. Yes.

17 Q. And you identified that right as the Rocky  
18 Ditch? Do I have that right?

19 A. That was the date for the Rocky Ditch, yes.

20 Q. All right. When you are regulating down to  
21 the date for Rocky Ditch --

22 A. Yes.

23 Q. -- tell me how -- where is the water in the  
24 stream? Does it dry up at Rocky Ditch? Does water  
25 flow past it? How are people downstream?water Is there

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 water available for them?

2 A. I would -- at that time would be allowing  
3 enough water beyond the Rocky Ditch to satisfy rights  
4 senior to 1884 downstream of the Rocky.

5 Q. So when you talk about regulating to a  
6 certain date, does that mean that the folks who are  
7 earlier than that are getting water?

8 A. Yes.

9 Q. And if they are not getting water, what do  
10 they do?

11 A. They have the opportunity to call us for  
12 their water if they are not getting it.

13 Q. I assume, unless they don't want or need it;  
14 right?

15 A. I assume that.

16 Q. All right. Now, before there's a call on the  
17 stream, before you go out and start regulating heavily,  
18 do folks with pre- and post-1950 right have the right  
19 to use water in the stream?

20 A. Yes.

21 Q. After a call comes on the river and you pick  
22 a date that you need to regulate back to, I assume  
23 that's tied to someone's individual appropriation;  
24 right?

25 A. It ties to who made the call.

Redirect Examination by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. Okay. Do you attempt to ensure that folks  
2 who request post-1950 water rights are not receiving  
3 water?

4 A. Yes.

5 Q. Okay. In general, in your area, in the Big  
6 Goose and Little Goose area, are there a lot of  
7 post-1950 rights?

8 A. No, there are not very many.

9 Q. With regard to the movement of water  
10 between -- I think this happens -- and correct me if  
11 I'm wrong -- did you say between Cross Creek, Big Horn,  
12 and Park Reservoir?

13 A. Okay. That's -- those three would be  
14 involved.

15 Q. Are there others that are involved in moving  
16 water at the end of the season?

17 A. No, there's not.

18 Q. And are all three of those on the channel of  
19 Cross Creek?

20 A. Yes. Cross Creek is a tributary of east  
21 fork, and that occurs in Park Reservoir.

22 Q. So they are all kind of on the same line?

23 A. Yes.

24 Q. Fair enough.

25 Now, when water is stored in one of the

Further Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 higher reservoirs and then either held for the benefit  
2 of the lower reservoir or transferred down to that  
3 reservoir, do the people who own the reservoirs have  
4 any say in that? Do they consent?

5 A. Yes, they consent. One guy operates all of  
6 the reservoirs but Park, and one guy operates Park.  
7 They work well together.

8 Q. So I'm just trying to see if that transfer is  
9 done by the agreement of the parties who own the  
10 reservoir.

11 A. Yes, it's done by an agreement between those  
12 reservoirs.

13 Q. All right. Thank you.

14 SPECIAL MASTER: Okay. I just -- this might  
15 clear up some of my confusion earlier.

16 FURTHER EXAMINATION

17 BY SPECIAL MASTER:

18 Q. So I notice that you were referring to the  
19 Big Goose and Beaver Diversion Ditch, which is that one  
20 that's sort of shown in the middle of the page there to  
21 the left.

22 A. Yes, that's right.

23 Q. Okay. And when they call and you have to  
24 regulate --

25 A. Yes.

Further Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 Q. -- then which reservoirs do you regulate?

2 A. Well, when they called, and they called two  
3 days after Peralta was given the water, I have to  
4 regulate up -- everything above them. So they --  
5 Peralta had their water for a total of two days before  
6 I had to turn around and give it to the Big  
7 Goose-Beaver. And at that point in time, we were  
8 regulated at a point below Park Reservoir and all the  
9 upper reservoirs as well.

10 Q. Okay. So when you say above the reservoir --  
11 and this is where the schematic gives me a little bit  
12 of trouble. So that includes the various reservoirs  
13 you were talking about earlier and also Park; is that  
14 correct?

15 A. Yes.

16 Q. Okay. And does that include Dome and  
17 Sawmill?

18 A. No.

19 Q. Okay.

20 SPECIAL MASTER: Mr. Kaste.

21 MR. KASTE: I thought I understood it, and I  
22 thought it was Sawmill.

23 SPECIAL MASTER: I'm trying to understand  
24 this too. At some point, I'm going to have to write a  
25 report on this, is why I'm trying to figure it out.



Further Redirect by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 MR. KASTE: Fair enough. I hate to add to  
2 the confusion.

3 FURTHER REDIRECT EXAMINATION

4 BY MR. KASTE:

5 Q. Well, with regard to Dome and Sawmill, do you  
6 know when they stopped storing?

7 A. I'll have to browse through some evidence.

8 Q. You'd have to put that information together  
9 from these other documents?

10 A. Yes.

11 Q. Okay. And you haven't done that yet?

12 A. No.

13 Q. But we know what they were at as of May 18th;  
14 is that fair?

15 A. We know that, yes.

16 Q. Did you record an ending elevation or a  
17 quantity in the annual hydrographers' report for those  
18 two reservoirs?

19 A. For the end of the water year, I would have.

20 Q. How about before they started making  
21 releases? Did you identify how full they were?

22 A. I can't say if I did that or not.

23 Q. All right. Did Dome and Sawmill ultimately  
24 fill in 2004?

25 A. We can look in the book.

Further Redirect by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. All right. Go ahead. And by book, you mean  
2 the annual --

3 A. The annual --

4 SPECIAL MASTER: This is J62? No, that's  
5 2006. So is it J61?

6 THE WITNESS: J61.

7 SPECIAL MASTER: Okay.

8 THE WITNESS: 2004 Annual Hydrographers'  
9 Report. Page 97 of that indicates Twin Reservoir  
10 filled on May 19th. Okay. Page 116 discusses Dome  
11 Lake. And it indicates it filled during spring runoff.  
12 No date. Page 118 discusses Sawmill. And it also  
13 indicates Sawmill was filled this year between  
14 June 10th and June 30.

15 BY MR. KASTE:

16 Q. So Sawmill filled and Dome filled? And we  
17 can look back at the measurement you took on May 19 and  
18 figure out the difference between its capacity and what  
19 it was on May 19 to know what storage occurred after  
20 May?

21 A. Yes.

22 Q. All right.

23 A. We can do that.

24 SPECIAL MASTER: Okay. Stay up there just  
25 for a second. I have one more.

Further Examination by the Special Master  
WILLIAM KNAPP - October 30, 2013

1 FURTHER EXAMINATION

2 BY SPECIAL MASTER:

3 Q. Earlier you said several times that all of  
4 the reservoirs filled by -- or I keep saying that, and  
5 I know I'm wrong. But that -- okay. So actually let  
6 me go back. Let me see if I can understand this.

7 Did you write this portion of the 2004  
8 Hydrographers' Annual Report?

9 A. Yes, I did.

10 Q. Okay. When you say, "Sawmill Reservoir  
11 filled this year between June 10th and June 30th," I  
12 assume you do not mean that it filled -- that, in other  
13 words, all of the filling was done between those two  
14 dates?

15 A. That's typically what I mean, and I am  
16 puzzled. I don't know.

17 Q. So you don't know whether you mean that or  
18 whether you mean that sometime between these two dates,  
19 you went the first date, and it wasn't filled, and you  
20 went the second date, and it was filled, so it must  
21 have filled sometime in between?

22 A. Somewhere in between.

23 Q. You think that's what you meant by this  
24 language?

25 A. Yes.

Further Redirect by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1 Q. Okay. And so then earlier when you were  
2 talking about the various evidence you have as to -- I  
3 shouldn't say it that way.

4 Why you believe, in your role as the  
5 hydrographer here, that the reservoirs stopped storing  
6 by May 24th, what you were talking about was Park  
7 Reservoir and the various reservoirs in Little Goose --  
8 not Little Goose -- Cross Creek watershed?

9 A. Yes, I think that would be specific to Cross  
10 Creek and the east fork.

11 Q. Okay. But you were not talking at that point  
12 specifically about Dome and Sawmill?

13 A. No, I cannot say at this time that it relates  
14 to them.

15 Q. Okay.

16 FURTHER REDIRECT EXAMINATION

17 BY MR. KASTE:

18 Q. But we can look at Exhibit W175, and we can  
19 compare its capacity to its contents on May 19th and  
20 know during -- sometime during that period as both of  
21 them filled, that difference was stored after May 19th?

22 A. That's correct.

23 Q. Okay.

24 MR. KASTE: I'm not going to do that math  
25 right now.

Further Redirect by Mr. Kaste  
WILLIAM KNAPP - October 30, 2013

1           SPECIAL MASTER: I'm not going to try that  
2 either.

3           MR. KASTE: Okay.

4           SPECIAL MASTER: So I'm sorry to have gone  
5 back and forth like that, Mr. Kaste. But, again, I was  
6 really trying to just clarify exactly what this  
7 witness's testimony is. Because it's obviously, I  
8 think, important.

9           MR. KASTE: No, I understand. And apparently  
10 I was confused.

11          SPECIAL MASTER: You were not probably the  
12 only person in the room. There's a lot of information,  
13 a lot of data here.

14          So with this, then, Mr. Knapp, I very much  
15 appreciate your testimony, and you can be excused.

16          THE WITNESS: My pleasure. Thank you.

17          SPECIAL MASTER: You're welcome. And thank  
18 you very much for your part in the tour this summer,  
19 which also was really quite valuable.

20          Mr. Draper, you're standing up.

21          MR. DRAPER: Can I get the exhibits back?

22          SPECIAL MASTER: That would be fine. In  
23 fact, why don't we plan to take the second ten-minute  
24 break right now. And that would permit Mr. Kaste -- I  
25 assume you still have one more witness.

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 MR. KASTE: Uh-huh.

2 SPECIAL MASTER: So it would permit you then  
3 to get ready for that. And, Mr. Draper, you can  
4 retrieve your various exhibits.

5 MR. DRAPER: Thank you.

6 (Recess taken 3:14 to 3:23  
7 p.m., October 30, 2013)

8 SPECIAL MASTER: Well, let's go.

9 (Pat Boyd sworn.)

10 THE CLERK: Have a seat, please. State your  
11 name and spell it.

12 THE WITNESS: Pat Boyd, P-a-t B-o-y-d.

13 PAT BOYD,  
14 having been first duly sworn, testified as follows:

15 DIRECT EXAMINATION

16 BY MR. KASTE:

17 Q. If would you bring the microphone a little  
18 closer to you, that'd be great.

19 Now, Mr. Boyd, can you tell us who you're  
20 employed by.

21 A. State of Wyoming Engineer's Office.

22 Q. And what's your position?

23 A. Water Commissioner.

24 Q. Can you tell us a little bit about your  
25 background after high school? Just walk us through,

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 basically, your employment history.

2 A. Worked for Kiewit Mining and Engineering for  
3 23 years, 24 years.

4 Q. And what did you do for them?

5 A. Ran an exploration drill crew for them for  
6 precious metals, coal, and gold.

7 Q. And --

8 A. And did a lot of water, ground water, water  
9 wells in the Tongue drainage.

10 Q. So you went to different places and drilled  
11 holes?

12 A. Yes.

13 Q. All right. You did that for 23 years. And  
14 then what did you do?

15 A. I bought a couple snowcats and groomed snow  
16 machine trails for the State of Wyoming for five years.  
17 And I started as a water commissioner part-time.

18 Q. When did you start as a water commissioner?

19 A. In 1997.

20 Q. And that was a part-time job?

21 A. Part-time job until 2000.

22 Q. All right. In 2000, did you become  
23 full-time?

24 A. Full-time.

25 Q. And have you been in that position ever

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 since?

2 A. Been there since.

3 Q. What are your responsibilities as  
4 Hydrographer Commissioner in Division II?

5 A. Keeping track of water, measuring the stream  
6 gauges, making sure all of my stream gauges are up and  
7 running, and do the annual reports.

8 I do a lot of safety of dam inspections,  
9 proofs, new water right proofs, go out and inspect and  
10 make sure that they are what they are permitted for.

11 Q. All right. Which districts in water Division  
12 II are you responsible for?

13 A. 5 and 6.

14 Q. What streams are in Districts 5 and 6?

15 A. There's Big Goose -- I step a little bit into  
16 4 with Bill. I do Big Goose, Soldier Creek, Wolf  
17 Creek, Smith Creek, East and West Pass Creek, Ash  
18 Creek, Fivemile Creek, Tongue River.

19 Q. All right. You have next to you a touch  
20 screen. And there is a map. And this is a map that's  
21 contained in Mr. Fritz's report. It's just up there  
22 for reference purposes. And can you just kind of  
23 outline with your finger the streams where you have  
24 responsibility and where you spend most of your time?

25 A. Well, everything from the Montana line, I go



PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 above right on the Montana line. I come over. I do  
2 Smith Creek, and I come clear over to the headwaters of  
3 Wolf Creek. And I take care of Soldier Creek  
4 part-time.

5 And I do Big Goose down through the  
6 confluence. And then I take care of the Prairie Dog  
7 Stream gauge at the bottom, down by Tongue, which is  
8 down there.

9 Q. And that's actually in District --

10 A. Eleven. So I'm scattered everywhere.

11 Q. All right. Which of these streams do you  
12 spend most of your time on?

13 A. I spend most of my time on these little  
14 perennial streams; Smith Creek and Wolf Creek will eat  
15 up 80 percent of my day.

16 Q. All right. Are Smith Creek and Wolf Creek  
17 typically in regulation during the irrigation season?

18 A. They go in regulation every year.

19 Q. All right. And how far down -- let's look at  
20 the main stem of the Tongue River.

21 Smith Creek comes in fairly high, about the  
22 town of Dayton; is that right?

23 A. Yes.

24 Q. And Wolf Creek comes in about the town of  
25 Rancheater; correct?

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 A. Correct.

2 Q. So do you spend most of your time upstream  
3 from Ranchester?

4 A. Correct.

5 Q. All right. This is an important one.  
6 Between the state line on the main stem of the Tongue  
7 and the town of Ranchester, have you ever received a  
8 call for regulation from a Wyoming appropriator?

9 A. No.

10 Q. All right. Now, you understand that Montana  
11 made calls on Wyoming in 2004 and 2006. Did you ever  
12 receive any direction from the Wyoming State Engineer  
13 to take any action between Ranchester and the state  
14 line?

15 A. No.

16 Q. All right. I have a couple of exhibits, just  
17 a couple, to talk with you about very briefly. And, in  
18 fact, you brought the originals with you here today.

19 I'm going to hand you Exhibit W35 and W38.  
20 Can you look at Exhibit W35?

21 A. Yes.

22 Q. Can you tell us what that is?

23 A. That's a copy of my diary.

24 Q. For which year?

25 A. 2004.

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 Q. All right. Can you look through that real  
2 quick and tell me if it's complete?

3 A. It appears to be.

4 Q. You don't have to look at every page, just  
5 thumb through. Does this appear to be a copy?

6 A. This appears to be everything there.

7 Q. Of the hydrographer's diary for 2004 that you  
8 carried with you in 2004?

9 A. Yes.

10 Q. And you actually have the original up on the  
11 stand with you; correct?

12 A. Yes.

13 Q. All right. And did you write the notes  
14 inside that diary?

15 A. Yes.

16 Q. And you keep this record in the normal course  
17 of your employment; correct?

18 A. Yes, I do.

19 MR. KASTE: I'd move for the admission of  
20 Exhibit W35.

21 MR. WECHSLER: No objection.

22 SPECIAL MASTER: Exhibit W35 is admitted.

23 (Exhibit W035 admitted.)

24 BY MR. KASTE:

25 Q. Now I'd like you to look at Exhibit W38. And

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 can you tell us what that is?

2 A. It's a 2006 diary, my 2006 water diary.

3 Q. All right. Can you look through that briefly  
4 and tell us, does that look to be a complete copy of  
5 your 2006 diary?

6 A. Appears to be.

7 Q. Is the handwriting in that diary yours?

8 A. Yes.

9 Q. And do you create that in the course -- the  
10 normal course of your employment?

11 A. Yes.

12 Q. All right.

13 MR. KASTE: I'd move for the admission of  
14 Exhibit W38.

15 MR. WECHSLER: No objection.

16 SPECIAL MASTER: Exhibit W38 is admitted.

17 (Exhibit W038 admitted.)

18 BY MR. KASTE:

19 Q. And now we're going to talk about those two  
20 exhibits and talk about what isn't in there. If we  
21 look in your hydrographer diaries for 2004 and 2006,  
22 are we going to find any entries for regulatory  
23 activities that you might have undertaken between the  
24 town of Ranchester and the Montana state line?

25 A. No.

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 Q. Okay. Primarily your hydrographer diaries  
2 are going to be filled with entries on these other  
3 creeks that you've identified; is that fair?

4 A. Correct.

5 Q. All right. I have a quick specific question  
6 about the South Side Ditch. Could you show us where  
7 the South Side Ditch is on the map? And I'll take all  
8 the other marks off.

9 A. The South Side Ditch is on the main stem of  
10 Tongue above Dayton right at the -- not too far from  
11 the forest boundary.

12 Q. Where does it run?

13 A. It runs all the way to Ranchester on the  
14 south side of the river.

15 Q. Can you just draw a little line for us?

16 A. (Witness complies.)

17 Q. All right. Now, if I understand, when you --  
18 you deliver water to headgates as part of your  
19 responsibilities on these creeks; right?

20 A. Yes.

21 Q. When you do that, does your responsibility  
22 for delivery end at the headgate, or do you go down the  
23 ditch and see where the water is delivered along the  
24 ditch?

25 A. We typically don't go down ditch unless

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 there's a real bad squabble. I might step in and just  
2 be a mediator. But, no, I don't go down ditch. I  
3 don't have the time or -- we just don't go down ditch.

4 Q. When you're in regulation, say, to a certain  
5 date and you set the headgate on, say, for example, on  
6 this ditch to -- I don't know what the priority is --  
7 1880 something -- do you let just enough water in to  
8 satisfy the early rights, or do you let more in?

9 A. I regulate it back to a -- I'll figure out  
10 where I need to be as far as water to get to push down  
11 to a lower appropriator, senior appropriators. And  
12 that will fall on a date with the amount of water. And  
13 that's where you come up with the date. If they -- say  
14 they got a right for 15 foot, as an example, 1885 and I  
15 need 3 feet and it happens to take it to an 1892,  
16 that's where that date is going to come up at is where  
17 I regulate it to an 1892 right.

18 Q. And who is it who ensures that the water goes  
19 where it's supposed to once it gets past the headgate?

20 A. The ditch riders.

21 Q. All right. I think I have one more  
22 question-ish. Might be a couple.

23 Mr. Knapp testified, kind of all morning  
24 today, which is longer than I hoped, but nevertheless.  
25 And you heard him talk about how he regulates in his

PAT BOYD - October 30, 2013  
Direct Examination by Mr. Kaste

1 part of the Tongue River Basin; right?

2 A. Yes.

3 Q. Did he do a pretty good job?

4 A. Yes.

5 Q. All right. And I don't want to go through  
6 those same questions with you. That would be redundant  
7 as long as Bill did a good job.

8 But I do want to ask, are there any flows --  
9 do you use a flow in the areas that you have  
10 responsibility for and you see that flow on a stream  
11 gauge and you say, I'm going to go turn off headgates?  
12 Do you utilize that procedure, or do you wait for a  
13 call?

14 A. I have to use those little triggers on these  
15 little streams because of the way they go. I'll  
16 sometimes -- it all depends on the year.

17 Q. All right. I'm confused now. You get calls;  
18 right?

19 A. Right.

20 Q. All right. You also watch the stream gauges?

21 A. Correct.

22 Q. When the stream gauge reads 20, 100, 5, do  
23 you go, a-ha, I'm going to go shut somebody off, even  
24 though I haven't received a call?

25 A. No.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. All right. When you shut somebody off, do  
2 you do that because you got a call?

3 A. Yes. If I'm shutting someone off, it's from  
4 a call.

5 Q. All right. That doesn't mean you're  
6 oblivious to what's going on on the stream?

7 A. Correct.

8 Q. All right. Thank you very much. That's all  
9 I have.

10 SPECIAL MASTER: Okay. Thank you, Mr. Kaste.  
11 Mr. Wechsler.

12 MR. WECHSLER: Thank you, Your Honor.

13 CROSS-EXAMINATION

14 BY MR. WECHSLER:

15 Q. Good afternoon, Mr. Boyd.

16 A. Good afternoon.

17 Q. We did spent quite a lot of time with  
18 Mr. Knapp, and I won't try and plow that same ground,  
19 but you do regulate the main stem of the Tongue;  
20 correct?

21 A. Correct.

22 Q. And so you understand that that's an issue in  
23 this case, and I have to ask you certain questions and  
24 make sure that your answers are a thin layer or the  
25 same as Mr. Knapp?



Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Correct.

2 Q. So by far, the largest use of water on the  
3 Tongue River is for irrigation; is that right?

4 A. Yes.

5 Q. And people rely on irrigation for their  
6 livelihood?

7 A. Yes, they do.

8 Q. And, therefore, the availability of it is  
9 very important to them; right?

10 A. Yes.

11 Q. And if they are not regulated and water is  
12 available and they need it, they will take it; correct?

13 A. Yes.

14 Q. And you have a general sense for the water  
15 rights on the Tongue River; right?

16 A. Yes.

17 Q. And most of those water rights are used every  
18 year; is that correct?

19 A. Correct.

20 Q. In the spring, water rights generally have  
21 enough water; correct?

22 A. In the spring?

23 Q. During the spring?

24 A. Yes.

25 Q. And, in fact, there might be what's called

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 surplus water; right?

2 A. Correct.

3 Q. And that means that users are entitled to  
4 take twice their normal amount?

5 A. Correct.

6 Q. Once water starts to drop, regulation then  
7 becomes necessary; correct?

8 A. Yes.

9 Q. And when starting regulation, sometimes you  
10 get a written call; correct?

11 A. Yes.

12 Q. And I haven't seen any document here in this  
13 case with a written call. Have you seen an exhibit in  
14 this case that is a written call?

15 A. No.

16 Q. Oftentimes, when you get a call for water,  
17 it's not written; right?

18 A. It's usually a phone call.

19 Q. And it might be as simple as a senior water  
20 user calling and saying, hey, I'm short of water;  
21 correct?

22 A. Correct.

23 Q. And it's not necessary for a water user to  
24 use any specific words, correct, when he's telling you  
25 he's short of water?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. No.

2 Q. They just need to make it clear that they  
3 need water; right?

4 A. Yes.

5 Q. And speaking of a call, and I think you spoke  
6 about this with Mr. Kaste, there are times when you  
7 initiate regulation without a call; right?

8 A. Correct.

9 Q. And you'll just begin regulation as the  
10 streams are dropping; correct?

11 A. Yes.

12 Q. And typically, the stream will drop very  
13 quickly, and regulation also occurs very quickly?

14 A. Correct.

15 Q. I want to talk a little bit about tagging.  
16 And in order to do so, I'd like to hand you what's been  
17 previously marked as Exhibit W191.

18 MR. WECHSLER: May I approach, Your Honor?

19 SPECIAL MASTER: You may.

20 BY MR. WECHSLER:

21 Q. Do you recognize what's been marked as  
22 Exhibit W191.

23 A. Yes.

24 Q. What is this document?

25 A. Well, the first page is a violation ticket.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 And the rest of them are receipts out of my tag book.

2 Q. And does -- all of the handwriting that's on  
3 this document, is that your handwriting?

4 A. Yes.

5 Q. And this is a document that you used, I think  
6 between the years 2003 and 2010, to tag ditches?

7 A. Correct.

8 MR. WECHSLER: Your Honor, at this point I'd  
9 move the admission of W191.

10 MR. KASTE: No objection.

11 SPECIAL MASTER: Okay. Exhibit W191 is  
12 admitted into evidence.

13 (Exhibit W191 admitted.)

14 BY MR. WECHSLER:

15 Q. Mr. Boyd, if you'd turn, please, to the  
16 second page after the cover page. And this is the  
17 blank page that's the notice of regulation. I'm sorry.  
18 Yeah, the notice of regulation. At the bottom it's  
19 labeled WY061388. Do you see that? It should be on  
20 the very second page of the document. And it's the --  
21 it's the page that has the blank notice and tag and the  
22 blank violation.

23 A. Oh, yes. It's on the back of the front.

24 Q. Double-sided. So looking at the top there,  
25 this is a blank tag; correct?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Correct.

2 Q. And so the right side you fill out an order  
3 to give notice to the diverters, the appropriators, and  
4 you actually place it on a headgate; right?

5 A. Correct.

6 Q. And then if you look at the bottom here, it  
7 says date regulated or checked; right?

8 A. Correct.

9 Q. And so in there, you'll actually fill that  
10 out?

11 A. Yes.

12 Q. And then if, during the same season, you go  
13 back to that headgate and regulate it, say, further  
14 down, you're going to indicate that further on this  
15 same sheet; right?

16 A. Correct.

17 Q. And then on the left side, you have your --  
18 the sheet that you keep with you; right?

19 A. Yes.

20 Q. And that's what you call your tag book. And  
21 you have that with you?

22 A. Yes.

23 Q. And you have it with you pretty much every  
24 day?

25 A. Yes.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. And so if you regulate something down further  
2 on the headgate, then you also indicate that on the tag  
3 book, the part that you keep with you; is that right?

4 A. Regulate it down farther?

5 Q. I mean, if you go back to the same headgate  
6 and you turn the headgate a little bit further, and so  
7 you mark that down on the right side that's on the  
8 headgate; right?

9 A. A readjustment on a new gate type, yes, and a  
10 date.

11 Q. So you also do the same thing on your  
12 records?

13 A. No, I don't.

14 Q. Sometimes you don't?

15 A. I don't. No, 'cause I don't carry that tag  
16 book. This is out on the headgate, and I'll write it  
17 on the headgate, and I'll write it on my diary, and  
18 then I split.

19 Q. You understand one of your responsibilities  
20 is to place this tag; right?

21 A. Yes.

22 Q. And I think you told me that, in fact, that  
23 is your practice; that's what you do?

24 A. Yes.

25 Q. And so we can look at your tag book to

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 understand when regulation occurred; right?

2 A. Yes.

3 Q. My understanding is you use one tag book at a  
4 time; right?

5 A. Some years I got -- I got several of them  
6 floating around.

7 Q. I think in your deposition you told me you  
8 just do one at a time?

9 A. Well, lately. But there's a few years I had  
10 a couple of them floating around from different  
11 backpacks. Most of the time, I try keep it to one so  
12 it's easy for me at the end of the year.

13 Q. Do you know what years you had more than one?

14 A. It would have been probably in my -- '97 to  
15 2000, somewhere in there probably.

16 Q. Since that time --

17 A. I probably got it down to one.

18 Q. And I think also in your deposition, we  
19 talked about this looked to you like a complete set of  
20 your tags from the years 2003 to 2010. If you want to  
21 take a second to review that, and if you could confirm  
22 it.

23 A. It looks like it's all there.

24 Q. Once you start regulation, you stay in  
25 regulation until the flow comes up; right?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Correct.

2 Q. And if you're going to come out of the  
3 regulation, you actually physically pull this tag;  
4 correct?

5 A. Yes.

6 Q. And that typically doesn't happen until the  
7 end of the year; right?

8 A. Most of the time, yes.

9 Q. And you don't adjust your -- you don't pull  
10 the tag for haying purposes, do you?

11 A. If they shut their headgate off.

12 Q. If the irrigator voluntarily shuts his --

13 A. Well, I mean, for haying season?

14 Q. Yes.

15 A. If they -- they can shut their headgate.  
16 They just can't turn it up. Just 'cause I have a tag  
17 on it doesn't mean that they can't close it.

18 Q. I'm wondering what you do. You don't pull  
19 your tag for when the irrigators are haying; correct?

20 A. No, not normally.

21 Q. And if I understand correctly, you told  
22 Mr. Kaste you actually don't go down the ditch?

23 A. No.

24 Q. And so you're not verifying on a daily basis  
25 when someone might be haying?



Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Correct.

2 Q. You also don't take measurements down the  
3 ditch; right?

4 A. Not normally. I will measure ditches  
5 periodically, just to check the weirs.

6 Q. And it's near the diversion works in order  
7 to --

8 A. Where the measuring devices are at. I'll  
9 double-check them once in a while.

10 Q. Make sure they are working correctly?

11 A. Yeah.

12 Q. To your knowledge, I understood you to say  
13 that Wyoming has only regulated the main stem of the  
14 Tongue one year; right? 2006?

15 A. I do believe so.

16 Q. And that was from -- if I understood your  
17 testimony, from the south side up?

18 A. Not -- no. From the O.Z.&K.

19 Q. So I thought we looked at south side earlier.  
20 Is it possible to toggle to the map from  
21 Mr. Fritz's report?

22 Could you show us, Mr. Boyd, where the  
23 O.Z.&K. is?

24 A. Right at Dayton.

25 Q. So it's from that point up that there was

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 actually regulation?

2 A. Correct.

3 Q. And below that there was no regulation in  
4 2006?

5 A. Correct.

6 Q. And --

7 A. I do believe I hit the York Ditch too.

8 Q. Where is the York Ditch?

9 A. The York Ditch is in between Dayton and  
10 Rancheater.

11 Q. Can you put a dot there, please.

12 Everywhere from there below there was no  
13 regulation?

14 A. Correct.

15 Q. And that was one time that you've done that?

16 A. I do believe so.

17 Q. And so in every other year prior to 2006, no  
18 regulation anywhere on the main stem; right?

19 A. Correct.

20 Q. Now, you understand that there's post-1950  
21 irrigation below Rancheater; right?

22 A. Yes.

23 Q. I'd like to talk a little bit about the  
24 Interstate Ditch. Are you familiar with the Interstate  
25 Ditch?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Yes.

2 Q. To do this, I'm actually going to take an  
3 excerpt out of J58.

4 MR. WECHSLER: May I approach?

5 SPECIAL MASTER: You may.

6 BY MR. WECHSLER:

7 Q. I'll give you a second to look at that. Let  
8 me know when you're ready.

9 A. I'm ready.

10 Q. Have you ever seen this document before?

11 A. No.

12 Q. If you look at the second page, which at the  
13 bottom is labeled page 82. I'm looking at the  
14 references.

15 You see that?

16 A. Yes.

17 Q. And here it indicates that you are a  
18 reference; right?

19 A. Yes.

20 Q. You understand that to be you?

21 A. What's that?

22 Q. You understand that to be referring to you?

23 A. Yes.

24 Q. Do you recall doing an interview with the  
25 people doing the basin plan, which I believe would be

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 HKM Engineering, at some time around September of 2000?

2 A. Yeah.

3 Q. Looking at this description of the Interstate  
4 Ditch, first of all, you see the picture there. Do you  
5 recognize that as being a picture of the Interstate  
6 Ditch?

7 A. Yes.

8 Q. Okay. Now looking at the diversion here, it  
9 says on the left, diversion location. And it indicates  
10 that it's the last diversion from the Tongue before it  
11 leaves the state.

12 Do you see that?

13 A. Last ditch diversion. Well, no, it's not the  
14 last diversion.

15 Q. What's below the Interstate?

16 A. Well, there's -- we've got some Dunning  
17 pumps, Ankney pumps. There's a Helvey's pump. I'm  
18 trying to remember the name of the ditch. The  
19 McCuistion Ditch, I believe.

20 Q. Some of that is actually post-1950  
21 irrigation; correct?

22 A. Yes.

23 Q. And in your experience, since you've been  
24 there, there's always water available in the Tongue  
25 River, right, at the Interstate Ditch?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Yes.

2 Q. Looking at the conveyance description on  
3 Exhibit J58, pages 81 through 83, it indicates that the  
4 Interstate Ditch is 9 miles long; right?

5 A. Is a what?

6 Q. I'm looking on this exhibit. It indicates  
7 conveyance description. Do you see that? It's on the  
8 first page on the left-hand side.

9 A. Okay.

10 Q. And then it says that the -- it's  
11 approximately 9 miles long?

12 A. Correct.

13 Q. Is that basically your understanding?

14 A. Could be.

15 Q. And then it has a listing of the water  
16 rights. Is that consistent with what you understand to  
17 be the water rights?

18 A. What's that?

19 Q. Is this consistent with what you understand  
20 to be the water rights?

21 A. Probably, yes.

22 Q. Under that it says, "The Tongue River and the  
23 Interstate Ditch are typically never under regulation."  
24 You agree with that; right?

25 A. Correct.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. It then goes on, "They," I think meaning the  
2 irrigators, "'take whatever we can get and as much as  
3 we can get,' as one irrigator explained."

4 You see that?

5 A. Yes.

6 Q. You agree with that statement?

7 A. No.

8 Q. What do you think is wrong with that  
9 statement?

10 A. Well, they couldn't get too much because they  
11 can't get underneath the highway at the first culvert.  
12 They can't get double their appropriation.

13 Q. But they take as much as they can get?

14 A. They drain those stream runoffs, yes.

15 Q. But they take as much as they can get?

16 A. Yes.

17 Q. So long as the water is available, they're  
18 taking it?

19 A. Correct.

20 Q. I think this, if you look at the next  
21 sentence, it might address what your concerns seem to  
22 be. And that is, it says "The size of the ditch  
23 governs the diversion."

24 Do you agree with that?

25 A. Correct.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. And "allowing for approximately 120 percent  
2 of the total rights to be diverted."

3 Does that seem about right to you?

4 A. I have no idea.

5 Q. Fair enough. It then indicates next that the  
6 "Flows in the diversion are approximated as follows."  
7 You see that little table? It's at the very bottom of  
8 the first page.

9 A. It says the flows in the diversion are  
10 approximate?

11 Q. Approximately as follows. You see that?

12 A. Okay. I see it.

13 Q. And then it indicates a dry year. And it's  
14 got some numbers there. Do those look approximately  
15 right to you for a dry year?

16 A. I don't know what those mean.

17 MR. KASTE: Okay. My objection was going to  
18 be to foundation.

19 BY MR. WECHSLER:

20 Q. If you'd turn to page --

21 SPECIAL MASTER: You might just want to pause  
22 just for a second before answering just so counsel for  
23 Wyoming, if he wants to object, has an opportunity to  
24 do it.

25

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 BY MR. WECHSLER:

2 Q. Mr. Boyd, could you turn, please, to page 82.  
3 Here we have the heading "return flows."

4 You see that?

5 A. Yes.

6 Q. And have you ever observed return flows  
7 coming off the Interstate Ditch?

8 A. Once in a while I'll run down just to see if  
9 there's anything running into Montana.

10 Q. And have you observed some years where there  
11 were no return flows?

12 A. Yes.

13 Q. I think we heard from Mr. Knapp earlier about  
14 the spot readings that we take, that you all take in  
15 Wyoming on the Interstate Ditch. And looking at the  
16 last page, page 83 of the basin report, do you see  
17 that?

18 A. Yes.

19 Q. And there's only two readings here; right?

20 A. Correct.

21 Q. And I looked yesterday or two days ago maybe  
22 with Mr. Whitaker in the 2004 hydrographers' report,  
23 and we didn't find a table for spot discharges for the  
24 Interstate Ditch.

25 Am I missing something?



Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. No.

2 Q. So those aren't actually recorded?

3 A. There was no measuring device in that year.

4 Q. When was a measuring device -- or was a  
5 measuring device put on the Interstate Ditch?

6 A. When?

7 Q. First, is there one now?

8 A. There is now, yes.

9 Q. When was it put there?

10 A. I think around 2005, I think. The first time  
11 they attempted to put a weir in it, they set it too low  
12 so it didn't work. So there was a year or so that I  
13 fought with them to threaten to, if you don't get it  
14 fixed, I'm not going to let you turn your ditch on. So  
15 then they had to hire an engineer. And they got it  
16 remodified and fixed. And that's where it's at today.

17 Q. And what year did they fix that weir?

18 A. I'm thinking in 2007. I'm not sure.

19 Q. If we look at those demonstrative exhibits, I  
20 think we can confirm that the weir is actually there.  
21 If we turn to Montana Demonstrative 2, at 2P.

22 Mr. Boyd, does this look familiar? This  
23 picture on Demonstrative Exhibit 2P, does that look  
24 like the weir that's on the Interstate Ditch?

25 A. Yes, it does.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. Let's look at a couple more pictures here.  
2 The next one, which is 2Q, and that's a picture of the  
3 Interstate Ditch?

4 A. Dam structure.

5 Q. Why do they put those boards in there?

6 A. To raise the elevation up for head pressure.

7 Q. Get more water in the ditch?

8 A. Just to stabilize, to keep a good, steady  
9 head pressure on it so they don't lose the head  
10 pressure during the highs and lows.

11 Q. Let's look at the next one. This is the  
12 headgate again; right?

13 A. Correct.

14 Q. Can I get you to look, please, at your 2004  
15 diary, which I think is W35? And then if you'd turn  
16 with me, please, to June 17th. Are you there?

17 A. Yes.

18 Q. Five lines down I see an entry for the  
19 Interstate Ditch.

20 You see that?

21 A. Yes.

22 Q. What does that entry say to you?

23 A. That the weir isn't there then and it's just  
24 an estimated flow. Because I had no measuring devices.  
25 So I just estimated it.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. And is the estimate 30 to 50 CFS?

2 A. As far as I -- yeah. I never seen any  
3 measuring in the ditch, so I didn't know exactly how  
4 much it could take. I just looked at it flat. It's  
5 hard to guess water. You know, I'll give it a shot.

6 Q. Fair enough. I didn't see any other listings  
7 in this 2004 hydrographers' report for the Interstate  
8 Ditch.

9 Does that sound right?

10 A. That sounds right.

11 Q. How about 2006?

12 A. Probably the same.

13 Q. In that part of the season -- when does  
14 irrigation season typically start?

15 A. What's that?

16 Q. In the area where the Interstate Ditch is  
17 located, when does irrigation typically start?

18 A. Oh, I see irrigation out there in the middle  
19 of May.

20 Q. And I think you said earlier, whenever you  
21 have visited the Interstate Ditch, there has been  
22 irrigation actively occurring on the ditch; right?

23 A. Correct.

24 MR. WECHSLER: Could we toggle back to that  
25 map again?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 BY MR. WECHSLER:

2 Q. Mr. Boyd, could you please point to us where  
3 Columbus Creek is?

4 A. Columbus Creek is right there.

5 Q. And it's close to Fivemile Creek; correct?

6 A. Correct.

7 Q. Starting with Fivemile Creek, you have no  
8 responsibility for regulating Fivemile Creek; right?

9 MR. KASTE: I object to the form of the  
10 question. Responsibility is a whole lot different than  
11 what we're actually talking about. It's a very loaded  
12 question.

13 MR. WECHSLER: I'm happy to rephrase.

14 SPECIAL MASTER: You're free to do so. I was  
15 happy with the first question.

16 MR. WECHSLER: Well, then, if it's okay with  
17 you, I'll go ahead and ask the first question.

18 SPECIAL MASTER: Please.

19 BY MR. WECHSLER:

20 Q. Do you have any responsibility over Fivemile  
21 Creek?

22 A. It's in my district.

23 Q. And do you regulate Fivemile Creek?

24 A. No.

25 Q. Do you regulate Columbus Creek?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. No.

2 Q. Does anybody else from the state engineer's  
3 office regulate either Columbus Creek or Fivemile  
4 Creek?

5 A. No.

6 Q. I don't have an exhibit up there for you, but  
7 I think it's enough to look at the screen, if I could  
8 also ask for that to be toggled. But if we could look  
9 at Exhibit M5 at page 62. And I hope this is going to  
10 be a figure of the Padlock Ranch.

11 SPECIAL MASTER: So, Mr. Wechsler, what is  
12 this from? Exhibit M5?

13 MR. WECHSLER: Yes, this is Exhibit M5, which  
14 is the Book original report.

15 BY MR. WECHSLER:

16 Q. And I think now on the screen there,  
17 Mr. Boyd, it's been enlarged. Can you see that okay?

18 A. Yes.

19 Q. Does this look like the area of the Padlock  
20 Ranch?

21 A. Correct.

22 Q. We can see here, in the bottom of the picture  
23 you can see Columbus Creek; right?

24 A. Yes.

25 Q. And towards the upper part, you can see

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Fivemile Creek; right?

2 A. Correct.

3 Q. And both of those are labeled; right?

4 A. Correct.

5 Q. And then shown in blue is what's called the  
6 Fivemile Ditch.

7 You see that?

8 A. Yes.

9 Q. The Fivemile Ditch diverts off of Columbus  
10 Creek; is that right?

11 A. Correct.

12 Q. And it goes into the Wagner Reservoir; right?

13 A. Correct.

14 Q. And then after that, it continues on -- well,  
15 the line isn't shown there. It goes all the way to the  
16 Fivemile Reservoir; right?

17 A. Yes.

18 Q. Which one of those reservoirs fills first?

19 A. They fill Fivemile first.

20 Q. And then after that they fill Wagner  
21 Reservoir?

22 A. Correct.

23 Q. Do you know the capacity of the Fivemile  
24 Reservoir?

25 A. Somewhere around 500. I don't know for sure.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Q. And most of that is post-1950 storage?

2 A. Yes.

3 Q. And then they fill the Wagner Reservoir;  
4 right?

5 A. Correct.

6 Q. And do you know the capacity of that  
7 reservoir?

8 A. Under 400 acre-feet.

9 Q. Again, it's mostly post-1950 storage?

10 A. Correct.

11 Q. And then they -- the Padlock Ranch uses the  
12 water from those reservoirs to irrigate the fields  
13 which are shown here on this illustration; right?

14 A. Correct.

15 Q. And I think we -- again, looking at MT2, I  
16 think that we have pictures of the -- of those  
17 reservoirs. I'll just get you to confirm that's what  
18 they are.

19 Mr. Boyd, do you see now what is labeled as  
20 Exhibit 2L there?

21 A. Yes.

22 Q. Do you know what that is?

23 A. Looks like it's a picture of the Wagner  
24 Reservoir.

25 Q. And then if we look at the next one, which

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 will be 2M, do you recognize this picture?

2 A. A picture of the Fivemile Reservoir.

3 Q. Is the Wagner -- has the Wagner Reservoir  
4 ever been regulated by you?

5 A. No.

6 Q. Has the Fivemile Reservoir ever been  
7 regulated by you?

8 A. No.

9 Q. Are you familiar -- well, let's look at  
10 the -- we looked at Exhibit M5 before. And, again,  
11 I'll just ask you to look at a page. We don't need to  
12 take out the whole document. This time I'm going to  
13 look at Table 9, which is on page 39 of Exhibit M5,  
14 which is Mr. Book's original report.

15 Mr. Boyd, do you recognize these as some  
16 storage facilities that are located in the Tongue  
17 River?

18 A. Yes.

19 Q. And we talked about the ones at the bottom  
20 except for the Padlock Waste Reservoir?

21 A. Yes.

22 Q. Are you familiar with the Padlock Waste  
23 Reservoir?

24 A. Yes.

25 Q. That's a much smaller facility; right?



Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Yes.

2 Q. If you look up from there, it's got the Windy  
3 Draw Reservoir; right?

4 A. Correct.

5 Q. And that's got a capacity of 533? Does that  
6 seem --

7 A. Seems about right.

8 Q. Is that used for irrigation?

9 A. Yes.

10 Q. Is it used every year?

11 A. I do believe so.

12 Q. To your knowledge, is the Fivemile Reservoir  
13 used every year?

14 A. Yes.

15 Q. And is the Wagner Reservoir used every year?

16 A. Yes.

17 Q. Do you know about when they start releasing  
18 water from the Fivemile Reservoir?

19 A. I have no idea.

20 Q. How about the Wagner?

21 A. I have no idea.

22 Q. Let's talk a little bit about the Big Goose  
23 Creek.

24 MR. WECHSLER: And if I could beg the clerk's  
25 indulgence again to toggle back to Mr. Fritz's image.

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 BY MR. WECHSLER:

2 Q. We heard from both Mr. Whitaker and  
3 Mr. LoGuidice who indicated that on Big Goose Creek,  
4 it's typically the Alliance Ditch that's the calling  
5 right. Would you agree with that assessment?

6 A. Yes.

7 Q. And actually, if we wanted to, we could  
8 confirm that with your tag book. I notice that there's  
9 many times that you have regulated the PK Ditch; right?

10 A. Correct.

11 Q. Which is above the Alliance?

12 A. Yes.

13 Q. Can you show us, using this schematic, where  
14 the Alliance Ditch is?

15 A. Somewhere right around there.

16 Q. Towards the base of the mountain?

17 A. Yes.

18 Q. Below that, in a typical year, there's not  
19 regulation; is that right?

20 A. What's that?

21 Q. Below that point, in a typical year, there is  
22 not regulation on Big Goose; right?

23 A. Typically.

24 Q. Is there post-1950 irrigation below the  
25 Alliance?

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 A. Oh, I'm sure there is.

2 Q. Have you ever regulated the post-1950 rights  
3 that are below the Alliance?

4 A. No. When I regulate, it's a way earlier  
5 right than that.

6 Q. Which -- but it's ones that are further up in  
7 the creek; right?

8 A. Correct.

9 Q. In the ones below Alliance Ditch, have you  
10 ever regulated that post-1950 irrigation?

11 A. No.

12 Q. You don't actually regulate on Prairie Dog  
13 Creek; right?

14 A. No.

15 Q. Currently, that's the responsibility of  
16 Mr. Schroeder?

17 A. Correct.

18 Q. You do -- if I understand correctly, you do  
19 take measurements there right before the state line;  
20 right?

21 A. I run the lower Little Goose -- or Prairie  
22 Dog gauge.

23 Q. I want to just ask a couple questions about  
24 reservoirs. As I understand it, Mr. Boyd, you do not  
25 have primary responsibility for the reservoirs in the

Cross-Examination by Mr. Wechsler  
PAT BOYD - October 30, 2013

1 Tongue River Basin; is that right?

2 A. Correct.

3 Q. You do sometimes deal with calls for  
4 reservoir water; right?

5 A. Quite often.

6 Q. And as I understand it, when water users want  
7 to take reservoir water, what they will do is call the  
8 dam tender; is that right?

9 A. Correct.

10 Q. They don't deal directly with you?

11 A. No.

12 Q. And then it's your responsibility to make  
13 sure that that water gets to the correct diversion;  
14 right?

15 A. Correct.

16 Q. And you accomplish this by measuring  
17 diversions; right?

18 A. Yes.

19 Q. And making sure that the reservoir water  
20 doesn't go up the wrong headgate?

21 A. Correct.

22 Q. You typically apply a 10 percent transit  
23 loss?

24 A. Oh, yes.

25 Q. We heard from Mr. Knapp that the typical

PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 amount of time to get from the top to a diversion  
2 towards the bottom is somewhere between eight to ten  
3 hours. Is that consistent with your experience?

4 A. It's -- yes, it is.

5 MR. WECHSLER: May I have one moment?

6 SPECIAL MASTER: You may.

7 MR. WECHSLER: Nothing further, Your Honor.

8 SPECIAL MASTER: Thanks.

9 EXAMINATION

10 BY SPECIAL MASTER:

11 Q. I have one quick question which is: To the  
12 degree you know, are the water rights held by the  
13 individual users, or are they held by the ditches?

14 A. They are held by the individual -- it's tied  
15 to land.

16 Q. Okay. Thank you.

17 REDIRECT EXAMINATION

18 BY MR. KASTE:

19 Q. Okay. Mr. Boyd, do you have any reason to go  
20 into Fivemile Creek or Columbus Creek?

21 A. No.

22 Q. Why not?

23 A. I run the gauge up there. I know how much  
24 water is running down it. And their first 1882 right  
25 is for 1720 acres. When I got 4 feet of water to deal

PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 with, there's -- it's a dry turnip.

2 Q. Is there anybody on Fivemile Creek or  
3 Columbus Creek calling you to regulate somebody else?

4 A. No.

5 Q. You talked with Mr. Wechsler about some of  
6 your tags. And that was Exhibit M191. Do you have  
7 those?

8 A. Yes.

9 Q. All right. Mr. Wechsler went awfully fast  
10 through his examination. And I think I heard you say  
11 that that exhibit has all your tags in it that you've  
12 ever issued in your whole life?

13 A. No.

14 Q. No. All right. There are other tags out  
15 there that you have affixed to people's headgates;  
16 correct?

17 A. Correct.

18 Q. And I assume, like Mr. Knapp, you don't  
19 always put a tag on headgates?

20 A. Not always.

21 Q. All right. Now, you talked about people with  
22 water rights generally tending to exercise them. Now,  
23 I assume you're -- I'm going to hand you Exhibit M438,  
24 which is a collection of stream schematics, and I'm  
25 just going to have you look at the ones related to

PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 Tongue River; all right?

2 A. Okay.

3 Q. On the stream schematic for the Tongue River,  
4 can you tell me which ones for the folks with water  
5 rights that the stream schematic indicates that they  
6 don't divert water?

7 A. On this stream schematic? It -- the ones  
8 that don't divert water? It says it doesn't divert  
9 water.

10 Q. I just want you to read the ones that say  
11 don't divert.

12 A. The ones that don't divert?

13 Q. Yes, sir.

14 A. The IXL. It's the only one I see.

15 Q. Turn the page. It's a two-page schematic for  
16 Tongue River.

17 A. The Grinnell. Keep going? Or just these two  
18 pages?

19 Q. Just for Tongue River.

20 A. Okay. The Kooi Water Supply. The Wyoming  
21 Coal Mining Company water Supply.

22 Q. And that's fine. You don't have to go  
23 through all of them.

24 Here's what I'm really wondering. You've  
25 identified, even on your stream schematic, some folks

PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 that they have a water right, don't they?

2 A. Yes.

3 Q. But they are not diverting water?

4 A. Correct.

5 Q. And does that happen with other folks who  
6 have water rights at certain times, that they may have  
7 a water right but at times they don't divert water?

8 A. Correct.

9 Q. All right. Now, is it your job to keep track  
10 of what fields get irrigated by whom and when?

11 A. No.

12 Q. Your job, if I understand it, is to make sure  
13 water gets in the appropriate headgate; right?

14 A. Correct.

15 Q. So folks who might be irrigating on the  
16 various ditches who are downstream of Rancheater, which  
17 is where I understand you don't spend too much of your  
18 time, they may or may not be irrigating any given field  
19 at any given time, and you wouldn't know that?

20 A. I wouldn't know.

21 Q. Fair enough.

22 Mr. Wechsler asked you some questions about  
23 the Interstate Ditch. Remember that?

24 A. Yes.

25 Q. And he gave you a piece of paper from



PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 something called the basin plan; right?

2 A. Yes.

3 Q. All right. And how often do you have an  
4 occasion to need to go down to the Interstate Ditch and  
5 see what they're doing?

6 A. Never.

7 Q. All right. Do you know, at any given point  
8 in maybe 2004 and 2006, where water from the Interstate  
9 Ditch was being applied?

10 A. Not offhand, no. But I have to go down there  
11 to check other pumps. And I see tailwaters. And  
12 sometimes I see it, and sometimes I don't. But I'm  
13 just going to check pumps.

14 Q. You understand generally there's some  
15 irrigation going on there. But is it fair to say you  
16 don't have any specific information?

17 A. Correct.

18 Q. All right. Now, Mr. Wechsler talked to you a  
19 lot about never regulated -- in the places where we had  
20 met, you had never regulated; right?

21 A. Correct.

22 Q. If you are advised by the state engineer to  
23 go all the way to the state line and regulate to some  
24 specific date, could you do that?

25 A. Yes.

PAT BOYD - October 30, 2013  
Redirect Examination by Mr. Kaste

1 Q. And would you do that if the boss told you  
2 to?

3 A. Yes.

4 Q. All right. Thank you very much.

5 SPECIAL MASTER: So thank you very much,  
6 Mr. Boyd. You're finished.

7 THE WITNESS: Okay.

8 SPECIAL MASTER: So we don't have a lot of  
9 time today. And if I remember correctly, we're going  
10 to have two more witnesses. We're obviously not going  
11 to succeed with that. We are going to have both  
12 Mr. Littlefield and Mr. Schroeder at one point. Is  
13 that correct? Are either of them short?

14 MR. KASTE: Given how the other two witnesses  
15 went today, my intention to get Mr. Schroeder done in  
16 10 or 15 minutes is likely not going to happen.

17 SPECIAL MASTER: So I agree that Mr. Knapp  
18 went probably slower than anyone thought. But he  
19 obviously had a lot of important things to talk about.  
20 Mr. Boyd seemed to go relatively quickly, to me, but  
21 maybe not as fast as you expected. But based on what  
22 you've heard with both witnesses, you don't think we'll  
23 finish Mr. Schroeder today?

24 MR. KASTE: I would anticipate that my direct  
25 would be fairly short. But I get the impression cross

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 might be somewhat longer.

2 SPECIAL MASTER: Maybe if you -- what about  
3 doing your direct today?

4 MR. KASTE: Sure.

5 SPECIAL MASTER: Does that make sense? I  
6 think at one point you were going to do Mr. Littlefield  
7 before. But I'm just thinking it might make more sense  
8 to -- 'cause Mr. Littlefield is going to have to come  
9 tomorrow anyway. And probably Mr. Schroeder will too,  
10 but we can get him off faster.

11 MR. DRAPER: Very good, Your Honor.

12 SPECIAL MASTER: Okay. So, Mr. Schroeder,  
13 you can come on up now.

14 (David Schroeder sworn.)

15 THE CLERK: State your name and spell it,  
16 please.

17 THE WITNESS: David Erik Schroeder. Erik is  
18 with a "k," E-r-i-k S-c-h-r-o-e-d-e-r.

19 DAVID SCHROEDER,  
20 having been first duly sworn, testified as follows:

21 DIRECT EXAMINATION

22 BY MR. KASTE:

23 Q. All right, Mr. Schroeder. Where are you  
24 employed?

25 A. I am currently employed by the Wyoming State

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 Engineer's Office in Division II, the office located in  
2 Sheridan, Wyoming.

3 Q. And what is your job?

4 A. Hydrographer commissioner.

5 Q. Can you give us an indication of your  
6 education and work history since high school?

7 A. I graduated high school in 1995. I attended  
8 Sheridan College in several stints. I took some time  
9 off, worked construction, worked on fishing boats in  
10 Alaska, spent a short time in Flagstaff, Arizona,  
11 ultimately ended up at the University of Wyoming where  
12 I graduated in December of 2002 with a degree in civil  
13 engineering.

14 I then went to work for HKM Engineering in  
15 Sheridan, Wyoming, where I was employed through June of  
16 2005, when I took a job with the state engineer's  
17 office as a CBM reservoir inspector. I was in that  
18 capacity until roughly spring of 2009, when I succeeded  
19 Mr. LoGuidice as the water commissioner of Districts 9,  
20 11, and part of 10.

21 Q. So you currently have responsibility for the  
22 Powder River?

23 A. Correct.

24 Q. What did you do you as a CBM reservoir  
25 inspector?

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1           A.     Several -- the big thing was getting an  
2 inventory of the impoundments, inspecting for  
3 compliance with the permits, dealing with the  
4 operators, violation letters, follow-up. I spent a lot  
5 of time in meetings with both companies and higher-ups  
6 in the state engineer's office. We developed policy,  
7 kind of as we went along, to deal with the industry and  
8 some of the issues that we were facing.

9           Q.     And so in the course of your work, did you  
10 have the opportunity to go and actually inspect or  
11 visit CBM ground water -- what's the right term? CBM  
12 impoundments?

13          A.     CBM produced water impoundments, and I did in  
14 the order of 1500 to 2000 inspections.

15          Q.     And those are all different impoundments?

16          A.     Absolutely. Yes.

17          Q.     All right. What do you do when you go out  
18 and do an inspection of a CBM impoundment?

19          A.     There's quite a bit of legwork beforehand.  
20 Try to contact the landowner or the operator. I gather  
21 the permits.

22                     But as far as the actual inspection, I  
23 just -- we carry hand levels, measure the height. We,  
24 you know, get a rough approximation of the dimensions  
25 in the dams, spillway freeboards.

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1           Although it was not required, I did begin to  
2 log the information from the discharge points that were  
3 feeding the reservoir. It became apparent as we went  
4 along that, you know, I would send a letter or  
5 correspond with an operator saying you have a reservoir  
6 that's not in compliance, and they would retort with,  
7 that's not our reservoir.

8           Well, then I was able to implicate the  
9 reservoir to a certain discharge point. So that became  
10 a routine part of the inspection.

11           Q. Did you, as part of your inspection, get a  
12 look at how the reservoir is made?

13           A. I did, on several occasions, witness the  
14 actual construction of reservoirs. You know, I saw all  
15 facets of, you know, completed reservoirs. There were  
16 quite a few reservoirs that had existed previously to  
17 coalbed methane development that they either rehabbed  
18 or enlarged. Quite a few of these were unpermitted  
19 facilities that the operator filed on. It became  
20 policy that any reservoir storing coalbed methane water  
21 needed a low-level outlet. So that became a key  
22 sticking point in many of these violations, that it did  
23 not have an outlet.

24           Q. Were you able to -- when you visited these  
25 reservoirs and did your inspection, would it be obvious

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 or would you be able to ascertain whether or not the  
2 reservoir itself was lined or unlined?

3 A. Yes, it's very easy to tell.

4 Q. All right. Can you give us a sense, of the  
5 1500 to 2000 CBM impoundments that you saw, how many of  
6 them were lined?

7 A. Very few. In order to be conservative, I  
8 would say in the Powder River Basin as a whole, the  
9 Powder River/Tongue Basins, less than 5 percent.

10 Q. And what does that mean? What difference  
11 does it make whether the reservoir is lined or unlined?

12 A. The key difference would be water migrating  
13 through the embankment or the --

14 MR. SWANSON: Objection. Foundation.

15 MR. KASTE: Reservoir inspector, for goodness  
16 sake.

17 SPECIAL MASTER: I think I'm going to permit  
18 the question to be answered.

19 MR. SWANSON: Your Honor, can I clarify? To  
20 this point, he's laid no foundation that he's observed  
21 any kind of ground water coming from a reservoir into  
22 the ground.

23 SPECIAL MASTER: Why don't we do this: Just  
24 so that if there's ever a question you're on firmer  
25 ground, why don't you ask the witness what the basis

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 for his understanding is of water leakage from the  
2 reservoirs.

3 BY MR. KASTE:

4 Q. Please answer that question.

5 A. You know, every engineer will tell you every  
6 dam leaks. To what degree? That varies. You know, we  
7 typically are not concerned with seepage unless it  
8 posed a major threat to the integrity of the structure.

9 However, in many cases, seepage out of the  
10 reservoir posed a DEQ issue. Many of the discharge  
11 permits were classified as full containments, meaning  
12 that any migration of water outside that containment  
13 was a violation of their permit. Now, while obviously  
14 the state engineer's office did not enforce the  
15 policies of the DEQ, we did work closely with both the  
16 DEQ and the BLM in many of these instances. And it's  
17 my understanding that these facilities, when they were  
18 lined, it was due to seepage or migration of water.

19 Q. So the facilities that were lined, the lining  
20 is trying to prevent seepage?

21 A. Correct.

22 Q. All right.

23 A. I mean, that is the chief reason, is my  
24 understanding.

25 Q. And I assume you have an understanding what



DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 the purpose of all these impoundments was?

2 A. The purpose of the impoundments was to -- you  
3 know, to produce methane gas. They needed to pump off  
4 the water in the aquifer before they could release the  
5 pressure and produce gas.

6 Q. And what were they trying to do with the  
7 water in these impoundments that they were building?

8 A. They were, in essence, trying to get rid of  
9 the water, whether, you know, just through evaporation  
10 or seepage into the ground, or very common were misters  
11 where they would, you know, evaporate the water in a  
12 much quicker fashion than just laying in the  
13 impoundment. Irrigation was a big tool to manage  
14 water.

15 Q. What do you mean by "irrigation was a big  
16 tool"?

17 A. Well, I mean, irrigation, obviously, is  
18 important to a lot of landowners in this region, and  
19 treated properly, it could be used for irrigation to  
20 grow crops. Obviously, with a coalbed methane  
21 production, they had a lot of water on their hands they  
22 needed to get rid of. So irrigation was a tool that  
23 was utilized.

24 Q. All right. Now, with regard to CBM  
25 impoundments, I think you said that -- if I can turn

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 what you said to the other side of the coin, about  
2 95 percent of the ones you witnessed are unlined?

3 A. Correct.

4 Q. And do I understand correctly that an unlined  
5 impoundment would seep into the ground more than a  
6 lined one?

7 MR. SWANSON: Objection. Your Honor, at this  
8 point, he's asking the witness for an expert opinion.

9 MR. KASTE: Wow. Do you have to be an expert  
10 to know that a lined impoundment is --

11 MR. SWANSON: Your Honor, I'd appreciate if  
12 we could deal with the objection before he continues on  
13 with his next expert opinion question.

14 MR. KASTE: This is a lay opinion, obviously.

15 SPECIAL MASTER: I understand.

16 MR. KASTE: And I'll tell you what this is  
17 for. This is entirely to lay some factual background  
18 for a discussion of the opinions rendered by Mr. Larson  
19 about the amount of recharge that has occurred in the  
20 basin as a result of CBM production.

21 MR. SWANSON: Your Honor --

22 SPECIAL MASTER: Let me just --

23 MR. SWANSON: May I respond?

24 SPECIAL MASTER: What I would suggest at this  
25 stage, if you could ask him about, you know, his

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 knowledge of what was happening with respect to the  
2 particular reservoirs, any information he has, then I  
3 think that's the best approach.

4 MR. KASTE: All right.

5 BY MR. KASTE:

6 Q. You already told us how many you found that  
7 were unlined. Did you have information or knowledge  
8 about how the companies who were creating these  
9 impoundments were choosing sites?

10 A. Direct information, no. I mean, in my  
11 communication with operators, it became clear that some  
12 sites were better than others for managing water, that  
13 they could put more water in reservoir A because it  
14 would disappear quicker than reservoir B.

15 Q. Disappear how?

16 A. Most likely into the ground.

17 Q. All right. That's all we needed to get.

18 You saw Mr. Knapp testify?

19 A. I did.

20 Q. Did he do a pretty good job of --

21 A. I thought so. Sorry to interrupt you.

22 Q. -- of describing how regulation works in  
23 Division II?

24 A. Yes.

25 Q. All right. Since you guys get a little bit

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 confused or troubled by certain words, I'll do my best  
2 to ask this as straightforward as I can. You regulate  
3 appropriations and diversions in the Powder River;  
4 right?

5 A. Correct.

6 Q. Do you use a trigger flow, or do you react to  
7 calls?

8 A. I'm aware of trigger flows. I watch my  
9 creeks very closely. But I do not regulate and have  
10 not regulated just because of a certain flow. I will  
11 wait for a call.

12 Q. Who trained you how to do your job?

13 A. Primarily Carmine LoGuidice.

14 Q. And is that how you were trained to do it?

15 A. Yes.

16 MR. KASTE: Thank you. I have no further  
17 questions.

18 SPECIAL MASTER: So, Mr. Swanson, I assume  
19 you're the person who's going to be doing the  
20 cross-examination?

21 MR. SWANSON: That's correct, Your Honor.

22 SPECIAL MASTER: And I assume it's going to  
23 take more than five minutes?

24 MR. SWANSON: I think that's correct, Your  
25 Honor.

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1           SPECIAL MASTER: Then why don't we break for  
2 today. And, Mr. Kaste, I appreciate your going all the  
3 way out of the order you originally expected to, but  
4 also the end of today.

5           And I am going to stay up here and begin to  
6 try, once again, to sort through all the various papers  
7 I have so I don't misplace things. So, everyone, we  
8 are adjourned for today.

9           MR. KASTE: Thank you.

10          SPECIAL MASTER: Oh, actually, really  
11 quickly. So tomorrow we will have -- we'll finish with  
12 Mr. Schroeder. Then we will go with Dr. Littlefield  
13 and then --

14          MR. DRAPER: Your Honor, then I think we're  
15 scheduled to call Mr. Rich Moy.

16          SPECIAL MASTER: And I notice it looks like  
17 Mr. Moy has a lot of exhibits. So does that mean he's  
18 another person that's going to be taking more than an  
19 hour's worth of time.

20          MR. DRAPER: He will take a little bit  
21 longer, Your Honor, yes.

22          SPECIAL MASTER: So do you think, then, those  
23 three witnesses are who we'll cover tomorrow.

24          MR. DRAPER: I would say most likely,  
25 although we do have some additional witnesses scheduled

DAVID SCHROEDER - October 30, 2013  
Direct Examination by Mr. Kaste

1 on our original list, so it's possible we'll get to  
2 them.

3 SPECIAL MASTER: Okay. I just -- you know, I  
4 don't want people to have to string things out because  
5 we don't have the next witness. So I just want to make  
6 sure that, in fact, in case things go well, we have  
7 another witness here. So that's the only reason I ask.

8 So, again, we're adjourned for today. Thank  
9 you.

10 MR. DRAPER: Thank you, Your Honor.

11 (Trial Proceedings recessed at  
12 4:36 p.m., October 30, 2013.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## REPORTER'S CERTIFICATE

I, Vonni R. Bray, a Certified Realtime Reporter, certify that the foregoing transcript, consisting of 230, is a true and correct record of the proceedings given at the time and place hereinbefore mentioned; that the proceedings were reported by me in machine shorthand and thereafter reduced to typewriting using computer-assisted transcription.

I further certify that I am not attorney for, nor employed by, nor related to any of the parties or attorneys to this action, nor financially interested in this action.

IN WITNESS WHEREOF, I have set my hand at Laurel, Montana, this 11th day of February, 2014.



---

Vonni R. Bray, RPR, CRR  
P. O. Box 125  
Laurel, MT 59044  
(406) 670-9533 - Cell  
(888) 277-9372 - Fax  
vonni.bray@gmail.com