

No. 137, Original
IN THE SUPREME COURT OF THE UNITED STATES
VOLUME 23 OF 25 VOLUMES
TRANSCRIPT OF TRIAL PROCEEDINGS

STATE OF MONTANA
v.
STATE OF WYOMING
and
STATE OF NORTH DAKOTA
Plaintiff,
Defendants.

BEFORE THE HONORABLE BARTON H. THOMPSON, JR.
Special Master
Stanford, California

James F. Battin United States Courthouse
2601 2nd Avenue North
Billings, Montana 59101
8:33, Monday, December 2, 2013

Vonni R. Bray, RPR, CRR
P.O. Box 125
Laurel, MT 59044
(406) 670-9533 Cell
(888) 277-9372 Fax
vonni.bray@gmail.com

Proceedings recorded by machine shorthand
Transcript produced by computer-assisted transcription

1 APPEARANCES

2 FOR PLAINTIFF STATE OF MONTANA:

3 Mr. John B. Draper, Special Assistant AG
4 Montgomery & Andrews
5 325 Paseo de Peralta, 87501
6 P.O. Box 2307
7 Santa Fe, NM 87504-2307
8 Telephone: (505) 986-2525 Fax: (505) 982-4289
9 E-mail: jdraper@monand.com

7 Mr. Jeffrey J. Wechsler, Special Assistant AG
8 Montgomery & Andrews
9 325 Paseo de Peralta, 87501
10 P.O. Box 2307
11 Santa Fe, NM 87504-2307
12 Telephone: (505) 986-2637 Fax: (505) 982-4289
13 E-mail: djwechsler@montand.com

11 Mr. Cory Swanson
12 Deputy Attorney General
13 602 Sanders
14 P.O. Box 201401
15 Helena, MT 59624
16 Telephone: (406) 444-4774 Fax: (406) 444-3549
17 E-mail: coswanson@mt.gov

15 Ms. Anne Winfield Yates
16 DNRC Chief Legal Counsel
17 1625 Eleventh Avenue
18 P.O. Box 201601
19 Helena, MT 59620-1601
20 Telephone: (406) 444-0503 Fax: (406) 444-2684
21 E-mail: ayates@mt.gov

19 Mr. Kevin R. Peterson
20 DNRC Legal Counsel
21 1625 Eleventh Avenue
22 P.O. Box 201601
23 Helena MT 59620-1601
24 Telephone: (406) 444-5785 Fax: (406) 444-2684
25 E-mail: KevinPeterson@mt.gov

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES CONTINUED

FOR DEFENDANT STATE OF WYOMING:

Mr. James C. Kaste
Water & Natural Resources Division
Sr. Assistant Attorney General
123 Capitol Building
Cheyenne, WY 82002
Telephone: (307) 777-3535 Fax: (307) 777-3542
E-mail: james.kaste@wyo.gov

Mr. Chris Brown
Water & Natural Resources Division
Sr. Assistant Attorney General
123 Capitol Building
Cheyenne, WY 82002
Telephone: (307) 777-3406 Fax: (307) 777-3542
E-mail: chris.brown@wyo.gov

Mr. Andrew J. Kuhlmann
Water & Natural Resources Division
Assistant Attorney General
123 Capitol Building
Cheyenne, WY 82002
Telephone: (307) 777-3537 Fax: (307) 777-3542
E-mail: andrew.kuhlmann@wyo.gov

Mr. Peter J. Michael
WY Attorney General
State Capitol Building
Cheyenne, WY 82001
Telephone: (307) 777-7841 Fax: (307) 777-3542

FOR DEFENDANT STATE OF NORTH DAKOTA:

Ms. Jennifer L. Verleger
Assistant Attorney General
500 North 9th Street
Bismarck, ND 58501-4509
Telephone: (701) 328-3640 Fax: (701) 328-4300
E-mail: jverleger@nd.gov

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

	VOLUME	PAGE
Proceedings	23	5363
Certificate of the Court Reporter	23	5604

INDEX TO WITNESSES

FOR THE DEFENDANT:	VOLUME	PAGE
DOYL FRITZ		
Direct Examination by Mr. Kaste	23	5368
Cross-Examination by Mr. Wechsler	23	5475
Examination by the Special Master	23	5561
Recross-Examination by Mr. Wechsler	23	5592
Redirect Examination by Mr. Kaste	23	5598

INDEX TO EXHIBITS

EXHIBIT	VOLUME	PAGE
M506 Doyl Fritz Notes (6/26/12)	23	5520
(WY043709-043726)		
M509 Doyl Fritz Notes, Interview with ..	23	5484
Dave Schroeder (3/14/12)		
(WY043773-043782)		
W002 Expert Report Prepared by Doyl	23	5394
Fritz, Dated April 2, 2013 (Depo		
Ex. 268)		

1 MONDAY, DECEMBER 2, 2013, 8:33 A.M.

2 SPECIAL MASTER: Okay. Everyone can be
3 seated.

4 So good morning. I hope everyone was able to
5 get home, have a pleasant Thanksgiving with their
6 families, maybe even enjoy more than the one day off.

7 So I thought I should probably start out this
8 morning getting sort of a sense of where we are this
9 week. We're obviously on the homestretch at this
10 stage, or at least I hope we're in the homestretch.

11 And just to let you know, I have two
12 constraints this week. The first is that this
13 afternoon I'm going to have to take a slightly longer
14 break, probably like 20 minutes or so, because I have a
15 phone conference call I have to be involved with about
16 3:30. So that, hopefully, won't be too disruptive.

17 And then the other, as I think I mentioned
18 before, is I have a flight from San Francisco to
19 Australia on Thursday because I have to give some
20 presentations in Australia. And the last flight out of
21 here on Wednesday is 4:35, or something of that nature,
22 which would mean on Wednesday we would need to stop by
23 about 3:00, or no later than 3:15, so I can get back to
24 the hotel and get the van out to the airport.

25 So those are my constraints at the moment.

1 So why don't we sort of see where we think we are.

2 So Mr. Kaste.

3 MR. KASTE: Well, we have two more witnesses
4 to present for the State of Wyoming, Mr. Doyl Fritz and
5 Mr. Bern Hinckley. I anticipate for our part we'll
6 most likely be done with direct examination of
7 Mr. Fritz sometime around noon today, and
8 Mr. Hinckley's direct examination wouldn't take much
9 longer than that.

10 So I anticipate resting the defense case
11 sometime early tomorrow.

12 SPECIAL MASTER: Okay. That would be great.
13 Just to also let you know, I have really carefully read
14 the expert testimony, so I think I'm pretty familiar
15 with it.

16 Based on my reading of it right now, also to
17 let you know, my guess is I'll probably have a fair
18 number of questions of Mr. Fritz, fewer of
19 Mr. Hinckley. And that just is based on the number of
20 sort of questions I wrote in the margin as I was going
21 along.

22 So anyway, my guess is probably somewhere
23 between a half an hour and hour's worth of questions
24 for Mr. Fritz; 20 to 30 minutes for Mr. Hinckley.

25 Mr. Draper.

1 MR. DRAPER: We will, of course, have
2 cross-examination of both witnesses, certainly their
3 two main experts. And Mr. Wechsler will be doing the
4 cross-examination of Mr. Fritz. We think that will
5 take maybe a couple hours. I guess that has to be
6 taken with a grain of salt when you get into
7 cross-examination. And something roughly similar for
8 Mr. Hinckley.

9 So, you know, a half day each is probably
10 going to be ample.

11 SPECIAL MASTER: Okay. Well, we'll see how
12 fast we can go on all of this. Obviously, the faster
13 we can go, the better.

14 And does Montana at this point anticipate
15 having any rebuttal witnesses?

16 MR. DRAPER: We have not made any decisions
17 about that. I think what's going to occur over the
18 next two days will have a major impact on that
19 decision.

20 So we're looking at it very closely and have
21 made no decisions. And we'll just have to look at that
22 as we get close to the end of the defense case.

23 SPECIAL MASTER: Okay. I will probably ask
24 again tomorrow morning, with the notion that hopefully
25 by tomorrow morning we'll be down to just one witness,

1 and that will give you a much better sense of whether
2 there's likely to be any rebuttal testimony.

3 Also, to the degree possible, if there is
4 going to be any rebuttal witnesses, would love to have
5 an opportunity to talk about that sooner rather than
6 later simply so that if there's any question as to the
7 admissibility of the particular rebuttal testimony, we
8 actually have an opportunity to talk about it.

9 MR. DRAPER: Yes.

10 MR. WECHSLER: I can tell you, from our point
11 of view, we're not going to stray too far from these
12 reports, so there's not likely to be any opportunity
13 for subjects on rebuttal.

14 SPECIAL MASTER: Okay. Sounds good.

15 So with that in mind, are we ready to begin,
16 or do we have any other issues to talk about?

17 MR. WECHSLER: I think we are ready.

18 SPECIAL MASTER: Okay.

19 MR. DRAPER: Yeah.

20 SPECIAL MASTER: Sounds good. So, Mr. Kaste,
21 if you want to call your next witness.

22 And, Mr. Wechsler, you're going to be
23 cross-examining Mr. Fritz?

24 MR. WECHSLER: Yes, Your Honor.

25 SPECIAL MASTER: Thank you.

1 MR. KASTE: At this time, the State of
2 Wyoming would call Mr. Doyl Fritz.

3 SPECIAL MASTER: Okay. Mr. Fritz, you can
4 come forward and be sworn in.

5 (Doyl Fritz sworn.)

6 THE CLERK: Have a seat, please. Once you're
7 seated, state your name and spell it for the record.

8 THE WITNESS: Doyl, D-o-y-l, Fritz,
9 F-r-i-t-z.

10 SPECIAL MASTER: Good morning, Mr. Fritz.

11 THE WITNESS: Morning.

12 SPECIAL MASTER: Mr. Kaste.

13 MR. KASTE: As you know, Wyoming's position
14 is that this case is decided based on straightforward
15 application of Article V, C3, and that's the end of the
16 matter. Nevertheless, Mr. Fritz is here to talk about
17 his review and criticisms of the reports and analyses
18 done by Mr. Book.

19 And Mr. Hinckley will be essentially the same
20 thing later today.

21 SPECIAL MASTER: All right. Thanks.

22

23

24

25

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 DOYL FRITZ,
2 having been first duly sworn, testified as follows:

3 DIRECT EXAMINATION

4 BY MR. KASTE:

5 Q. Morning, Mr. Fritz.

6 A. Morning.

7 Q. Can you tell us a little bit about --
8 obviously, we're going to talk a little bit about your
9 background. And everybody here knows that you're an
10 engineer, but I'd like to talk a little bit about your
11 education if you can.

12 A. Okay. I have a BS in civil engineering from
13 the University of Wyoming. Specialty was hydraulics
14 and hydrology.

15 I did one semester of graduate work at the
16 University of Wyoming under a teaching assistantship
17 working on the Water Resources Research Institute. My
18 duties that I performed there that are relevant to this
19 case, I think, I reduced a lot of hydrologic data from
20 hydrometeorological sites. And we were also gauging
21 streams using a new dye-dilution technique and checking
22 with current meter measurements. So hydrologic data
23 collection and reduction were my main duties.

24 I then transferred to Arizona State
25 University and got a master's in civil engineering

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 there, again specializing in hydrology and hydraulics.

2 I was on a teaching assistantship down there,
3 teaching an undergraduate fluid mechanics lab. And did
4 a paper at that time studying the equilibrium of
5 moisture gradients around a microporous plastic
6 irrigation pipe that was under development by a
7 division of International Plastics. So soil moisture
8 and irrigation use were part of my master's thesis.

9 Q. And you told us you have an undergraduate and
10 graduate degree. When did you obtain those degrees?

11 A. Bachelor's in '68 and master's in '70.

12 Q. All right. And after you obtained your
13 master's degree, what did do you then?

14 A. My first job after school was at the state
15 engineer's office in Wyoming, a division of the state
16 engineer's office called the Water Planning Program.

17 That was Wyoming's first effort at basin
18 planning. And this was in the early '70s. And mineral
19 development was large in everyone's mind. Coal mining
20 was developing quickly.

21 And our duties at the basin plan, we went
22 around the state basin by basin and inventoried water
23 resources, inventoried water uses, looked at
24 institutional constraints on development -- water
25 rights is the big one, but also interstate compacts and

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 court decrees -- and projected future water uses. And
2 then made basinwide big-picture plans for the
3 development of undeveloped compact water supplies in
4 the state.

5 Q. We've seen a 2002 basin plan in this case.

6 Is the work you're describing there, is that
7 the result of something akin to the basin plan we've
8 seen?

9 A. It's akin. It's basically an update of the
10 original basin plan.

11 Q. How long did you work for the state
12 engineer's office?

13 A. I worked there about three years.

14 Q. And what did do you after leaving your
15 employment with the state engineer's office?

16 A. My next job was as a water resource engineer
17 here in Billings for a consulting firm, Hurlbut,
18 Kersich and McCollough, which is now called HKM
19 Engineers.

20 My work continued in water resource
21 inventories. We had a big contract to inventory water
22 resources on the Wind River Indian Reservation, and I
23 spent a good bit of my time there.

24 Also, in Montana there was a big push to
25 develop water supplies for future energy projects:

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 coal-fired power plants. There was some early talk
2 about coal gasification. So several major energy
3 companies were looking at ways to secure water supplies
4 for their future development plans.

5 Q. And how long were you with HKM Engineers?

6 A. I worked there about three years.

7 Q. What did you do after that?

8 A. My next job -- I moved back to Wyoming. The
9 Wyoming Department of Environmental Quality was
10 planning to open a district office in northeastern
11 Wyoming, which is where the Powder River Basin is and
12 was the site of a considerable amount of this energy
13 development I've been talking about.

14 They wanted to open a district office for the
15 Land Quality Division, which was the part of DEQ that
16 reviews mine permit applications and issues permits.
17 And it also does compliance monitoring on the ongoing
18 mining operations.

19 So I became the district engineer for
20 northeastern Wyoming in the land quality division and
21 opened and ran that office for about three years.

22 Q. So can you tell me, as the district engineer,
23 what your responsibilities were?

24 A. Well, that was the title given to the person
25 who was the office administrator. So I staffed the

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 office, first of all. We used different resource
2 experts -- soils, vegetation, wildlife, hydrology -- in
3 order to provide technical review capabilities on mine
4 permit applications.

5 A big part of our work at that time, the
6 Federal Strip Mine Control and Reclamation Act of 1977
7 had just been passed and the federal agencies had
8 developed their regulations. But in order for a state
9 to be -- to have primacy for administering the
10 regulation, they had to have their own regulation that
11 was at least as stringent as the federal regulation.

12 So we also spent a great deal of time
13 writing -- or helping -- there was a large number of
14 people working on it. I was just one -- but writing
15 the state regulation.

16 Q. You talked about having responsibility for
17 mine permits.

18 Is there a hydrologic component to coal
19 mining?

20 A. There's a very big hydrologic component.
21 That was one of the major concerns. Most of the coal
22 mining in Wyoming, and all the big coal mines, take
23 place on federal land. And some of the major issues
24 were the hydrologic consequences of mining.

25 Alluvial valley floors was a big issue. You

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 had to preserve and protect alluvial valley floors.
2 You couldn't mine if there was an alluvial valley floor
3 that was significant to farming. And so there were
4 several hydrologic issues that came about as a result
5 of the Federal Strip Mine Act.

6 Q. After working for the Department of
7 Environmental Quality, what did you do next?

8 A. That's when I started a company of my own. A
9 former professor -- in fact, he was the director of the
10 Water Research Institute when I did my teaching
11 assistantship there -- he and I got together with
12 another person, a geologist, and started a firm called
13 Hydrology Associates, Incorporated, specializing in
14 water resource engineering and basically kind of a
15 carry-on of the type of work I had been doing.

16 Q. Where was that business located?

17 A. My partner, Paul, and my other partner were
18 always in Laramie, and I opened an office in Sheridan.

19 Q. And can you explain in some more detail, if
20 necessary, what kind of work Hydrology
21 Associates, Inc., did?

22 A. Well, in those early days, a lot of it had to
23 do with the hydrologic effects of mining. Having
24 written these strip mine statutes and regulations,
25 compliance with these became a big issue. And so a lot

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 of our work was contracting to energy companies to help
2 write permits, design reclamation plans that were in
3 compliance with the environmental regulations at the
4 time. And that's what my office in Sheridan
5 specialized in.

6 Q. How long were you with Hydrology Associates?

7 A. The three of us stayed in partnership for a
8 fairly short time, about a year. And then Paul and I
9 started our own company, which was called Western Water
10 Consultants, Incorporated. And that was in the fall of
11 1978, and that's the company that I still work for
12 today.

13 Paul remained the president until his
14 retirement, and then I took over as president.

15 Q. What kinds of work does Western Water
16 Consultants do?

17 A. Well, we kept doing the same type of work
18 we'd been doing with the specialty in water resources.

19 Because we had an office in Laramie and one
20 in Sheridan, the two offices had sort of different
21 market areas. Our Laramie office did work for the
22 state, quite a bit of state water planning work.

23 And I continued to work for the coal mines.
24 Other mines too, but coal mines was a big part of our
25 work. And our work developed to match the requirements

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 of these large mines.

2 And if you haven't seen one of these major
3 surface coal mines, they are huge, and they do a
4 variety of things. So our work was not limited just to
5 hydrology. We began to design dams, roads, whatever
6 facilities they might need, and hired engineering and
7 geological staff to support that.

8 So our work continued to grow and diversify
9 in that way. And, eventually, we got to doing a lot of
10 work for the state transportation departments:
11 highway design, rest area water supply, and waste
12 disposal.

13 And I was asked a question one time why they
14 would hire a water consultant to do their highway
15 design, and we decided we need to generalize our name a
16 little. It was sort of limiting. So we began -- we're
17 still incorporated as Western Water Consultants,
18 Incorporated, but we do business now as WWC Engineering
19 to reflect the variety of work we do.

20 We've also since opened other offices. We
21 now have one in Casper, Wyoming, and one in Helena,
22 Montana.

23 Q. In addition to the work that you described at
24 the coal mines and for the Department of
25 Transportation, have you, in the course of your long

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 career with WWC Engineering, had opportunities to do
2 other work related to hydrology?

3 A. Well, the work I've done has pretty much
4 always been related to hydrology.

5 Even though I mentioned my work as a teaching
6 assistant doing hydrologic data reduction, at the water
7 plan, in addition to calculating allocations under
8 interstate compacts and court decrees to try to
9 estimate water that was available for development, I
10 also was able to help map the irrigated lands for the
11 1978 basin plan in northeastern Wyoming.

12 Q. Have you done things like that, mapping
13 irrigated lands or doing water-related work for
14 individuals or companies?

15 A. Well, in our practice, we've done lots of
16 water right applications, changes to water rights,
17 movement of water.

18 We've helped people convert from flood
19 irrigation to sprinkler irrigation, helped them with
20 their -- prepare their plans and petitions to either
21 the Board of Control or the state engineer. Many of
22 those changes require quantification of existing uses.

23 I mentioned earlier our work on alluvial
24 valley floor studies for coal mining companies. That
25 has always involved identifying either natural or

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 artificial irrigation practices, doing geologic studies
2 to see how much of the irrigation could be natural from
3 subirrigation.

4 And that's an ongoing thing. We've done that
5 for a number of years.

6 Q. So it sounds like, if I have this right, in
7 the course of your career, you've had opportunities to
8 conduct the historical investigations into water use?

9 A. I've done many investigations similar to what
10 was done for this case, yes.

11 Q. Now, you described the growth of Western
12 Water Consultants, or WWC Engineering.

13 Do you continue to be the president of WWC
14 Engineering?

15 A. No, I'm no longer the president. In about
16 2008 or 2009, we had a major engineering firm express
17 an interest in purchasing our firm, and I had reached
18 an age where I was receptive to that offer. But the
19 employees didn't want to do it, and I didn't want to
20 make them do it.

21 So we reached an agreement where the
22 employees bought me out over a period of time. Went
23 through an ownership and leadership transition. And we
24 have a new president, and I'm just an hourly employee
25 engineer. They call me a senior technical advisor now.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. I notice you still have the front office,
2 though.

3 A. They haven't evicted me yet, but I haven't
4 been there for a couple days.

5 Q. All right. So in the course of your career,
6 have you had an opportunity to do things like draft an
7 environmental impact statement?

8 A. Yes, we have. The coal mines that I've
9 talked about quite a bit continue to expand, and they
10 expand under federal regulations called Leases by
11 Application. And when they apply for admissions to
12 their lease area, they have to go through NEPA, and it
13 involves quite an involved environmental impact
14 statement. We've written -- I don't know the exact
15 number -- many of those as a third-party consultant to
16 BLM, who is in charge of mineral leasing.

17 Q. Have you had opportunities as an engineer to
18 design things like dams and hydrologic control
19 structures?

20 A. Yes. That's one of the specialties of our
21 firm: sediment control facilities, flood control
22 facilities. Even in the semi-arid west, when they are
23 mining through drainages, they need to worry about
24 sporadic flood events, so they need to protect their
25 pit and their equipment from floods. Flood control has

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 been a major function of ours.

2 Q. Have you had opportunities over the course of
3 your career to become familiar with Wyoming water
4 rights and how irrigation occurs in Wyoming?

5 A. Yes. We've assisted several people, as I
6 mentioned before, in modifying water rights, changing
7 from flood to sprinkler irrigation, applying for new
8 water rights. The water rights in the state are
9 constantly being changed and modified, and we help
10 people do that.

11 Q. I think you talked, in your work with the
12 mines, about mine plans.

13 Have you had opportunities to design mine
14 plans and reclamation plans?

15 A. Yes, we have. One of the big issues with
16 federal surface mines in the west is -- it sounds like
17 a blessing, but it can be a curse -- is thick coal
18 seams and thin overburden. And when you mine a thick
19 coal seam in a thin overburden area, you end up
20 oftentimes with a deficit of materials to restore the
21 surface to what the Federal Strip Mine Act refers to as
22 approximate original contours. They use an acronym
23 called AOC.

24 Anyway, restoring drainage so these don't
25 just become big holes in the plains was a major item of

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 design for these coal mines, and we spent a lot of time
2 doing that.

3 Q. And I understand that you did some work for
4 the U.S. Congress in that regard. Can you explain
5 that?

6 A. Yes. In 1987, ten years after the passage of
7 the SMCRA, the U.S. Congress Office of Technology
8 Assessment conducted a study to see how well their
9 rules were being implemented and how different
10 technologies had developed in response to these new
11 rules and regulations.

12 So they contracted with firms, particularly
13 in the west 'cause that's where the federal mines were
14 concentrated, to do studies of hydrologic technologies,
15 soil and overburden technologies, vegetation, wildlife,
16 the different disciplines that are involved in mine and
17 reclamation. And we were awarded the contract to study
18 the development of hydrologic technologies in western
19 surface coal mining.

20 Q. Now, I assume as an engineer you have a
21 professional license.

22 A. I do. Right now I'm licensed as a
23 professional engineer in Wyoming and Colorado. I used
24 to be also licensed in Montana and Louisiana, but I've
25 let those licenses elapse due to no longer having the

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 need for them.

2 Q. And are you a member of any professional
3 organizations?

4 A. Yes. I'm a life member of the American
5 Society of Civil Engineers and a past state section
6 president of that organization.

7 I'm a member of the American Counsel of
8 Engineering Companies. Our firm is a member firm.
9 They also have a state chapter called ACEC Wyoming.
10 I'm a past state president of ACEC Wyoming, and also
11 served for a time as national director to ACEC.

12 Q. And do you have any affiliation with the
13 University of Wyoming?

14 A. I do. I just finished eight years at the
15 University of Wyoming on the National Advisory Board,
16 which is a board comprised of engineers, not
17 necessarily Wyoming alumni, but engineers who meet two
18 or three times a year at the university and meet with
19 the dean and department heads and students.

20 And it's a chance for us to learn what's
21 going on at the university, but also to contribute our
22 thoughts on where the industry is heading, curriculum,
23 and issues.

24 Fundraising, obviously, is always a big part
25 of what a board does. Like I said, I just finished

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 eight years, the last two of which I was the chairman
2 of that board also.

3 Q. And I understand that the University of
4 Wyoming gave you an award at one time called the
5 Wyoming Eminent Engineer.

6 Can you explain what that is?

7 A. Yes. Each spring, the Tau Beta Pi, which is
8 the engineering honorary, has an awards banquet, and
9 all the engineering students get together and they
10 receive their scholarships and that type of thing. And
11 they also select someone to be the Wyoming Eminent
12 Engineer, and I received that award in 2001.

13 Q. Have you ever served as an expert witness
14 before?

15 A. Yes, I have.

16 Q. Can you tell us about your experiences
17 serving as an expert witness? Where? When? What did
18 you do?

19 A. Well, it hasn't been a huge part of our
20 practice, but on occasion, water rights changes are one
21 of the reasons I do often serve as an expert witness
22 because oftentimes they're either mandatory hearings,
23 depending on what type of a change is proposed.

24 And these are hearings before the Wyoming
25 State Board of Control. Or there are contested case

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 hearings where someone is objecting to a certain change
2 in a water right.

3 Both of those, I've served on as an expert
4 witness in those types of hearings on probably half a
5 dozen occasions.

6 Q. Those are in front of the state engineer or
7 the State Board of Control?

8 A. Yes.

9 Q. Have you ever served as an expert witness in
10 a court?

11 A. I have. Mostly over environmental issues,
12 water resource-type issues.

13 One recent one was a water user was suing a
14 coal bed methane company claiming that a pond that they
15 had built on their property or near their property was
16 flooding their basement. So they hired us as an expert
17 witness to test whether that was true and prove their
18 side of the case. That was in a state court, I think,
19 in Gillette.

20 Q. So the residents, owner, thought the CBM
21 impoundment was leaking water into their basement?

22 A. Yes.

23 Q. Was it?

24 A. No.

25 Q. Too far away or what?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Too far away and no hydrologic connection.
2 There was about a half mile of pretty impervious shale
3 between the two locations.

4 Q. Half a mile?

5 A. Well, horizontally.

6 Q. You talked about the proceedings before the
7 State Board of Control that you participated in.

8 Those were change-of-use kinds of
9 proceedings?

10 A. There have been change-of-use types of
11 proceedings, in which case you have to prove what the
12 historic consumptive use was. So those entail a
13 consumptive-use study.

14 Quite a few of them have just been a change
15 of place of use or a change of point of diversion or a
16 change of means of conveyance. A good example might be
17 a conversion from flood irrigation to sprinkler
18 irrigation.

19 In those cases, historically, the board
20 hasn't required a consumptive use study if you're
21 keeping the use of irrigation but just changing the
22 location of it, but I've been involved in even some of
23 those that went to contested case hearings.

24 Q. Okay. In those kinds of hearings, what is
25 your role?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. In those kind of hearings, if we've prepared
2 the petition, our role is to explain our reasoning and
3 our process, usually prepare a map showing what the
4 situation was on the ground prior to the petition, and
5 then a map showing what it will be if the petition is
6 approved.

7 And so our role usually is to prepare those
8 two maps and then be on hand to answer questions during
9 hearing as to why it will or will not affect other
10 water users, which is one of the primary bases for the
11 state to approve one of these, is that no one is
12 adversely impacted.

13 Q. And in order to do that, you have to compare
14 the historic use with the supposed future use; is that
15 right?

16 A. That's right.

17 Q. Now, you've been hired as an expert in this
18 case. And can you tell us what you were asked to do?

19 A. Yes. Our work on this case was to look at
20 the situation that prevailed at the time this took
21 place and focusing on the drought years in the early
22 2000s; evaluate what was going on; what were the
23 regulatory activities at that time; and then -- all of
24 which is reported on in our report.

25 And then also to review the Montana expert

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 reports and evaluate those with respect to the work
2 that we had done.

3 Q. Probably fair to say you started out with a
4 different assignment at the beginning of this case.

5 When did you start working on the case?

6 A. We actually started working on the case in
7 2007.

8 Q. And can you just describe how your assignment
9 changed over time?

10 A. Right. At that time, our work that was
11 assigned to us, we looked at a test project in part of
12 the Little Goose Basin. And one of the goals was to
13 evaluate the effects of the change from flood
14 irrigation to sprinkler irrigation.

15 So in a test pilot area, we tabulated the
16 water rights, mapped the water rights into GIS,
17 distinguished between pre- and post-'50 water rights,
18 and then began to correlate the water rights with the
19 actual water uses and were heading towards seeing how
20 those uses changed during that period of time when that
21 work got suspended.

22 Q. And it got suspended, I think everybody
23 knows, 'cause there was a decision in the case; right?

24 A. Right.

25 Q. After that decision, then how did your work

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 change?

2 A. Actually, we didn't do any more work on this
3 case until, I think, about 2011. And we were called
4 back in then to look at our water uses and water
5 administration during these drought years and see if we
6 could help understand how any post-'50 water use could
7 be having an adverse effect on anyone.

8 Q. I assume it's fair to say that at the
9 beginning of that time, you were also looking at the
10 Powder River Basin and that ultimately fell away?

11 A. Yes. We were looking at the Powder River
12 Basin, the Little Powder, the whole of northeastern
13 Wyoming.

14 Q. And, ultimately, we have the report now
15 that's limited to just the Tongue River Basin; is that
16 right?

17 A. That's right.

18 Q. Can you tell us -- explain just the process
19 you went through in order to form the opinions that we
20 ultimately find in your report? Just walk us through
21 the steps.

22 A. I'm sorry. Are you talking about -- which
23 part of the -- there's three pretty distinct parts of
24 the report.

25 Q. One at a time. Do all three.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Okay. Well, the report is kind of divided
2 into four parts. The first is just some general
3 statements and my qualifications, and the conclusions
4 that are reached in the report are summarized there.

5 The second part talks about the hydrology of
6 the basin in kind of a general way; water rights, and
7 how water rights, in general, are administered in the
8 state of Wyoming.

9 Then the third part goes through specifically
10 how water rights were administered during the years
11 2004, 2005, and 2006. And it's primarily geared at the
12 Tongue River Basin.

13 And, by example, we use Wolf Creek, which is
14 a pretty typical example. That shows how regulation
15 gets administered. And that's all in District 5. And
16 that's what most of this part of the report is geared
17 to, a tabulation of existing rights in District 5 on
18 the major streams and then quantification of those
19 pre-'50 and post-'50 rights and then a discussion of
20 how the water was administered on Wolf Creek during
21 those three years.

22 And then we move into District 4 a little
23 bit, which is Big Goose and Little Goose. And we don't
24 go into quite as much detail in that section. That
25 part is mostly to illustrate how the mountain

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 reservoirs are used in the regulatory process. Because
2 District 5 doesn't have the mountain reservoirs.

3 And then we move from there into District 11,
4 which is Prairie Dog Creek. And that one, again, we
5 don't get into a lot of detail in the report, but that
6 illustrates the import of water from the Powder River
7 Basin into the Tongue under post-'50 permits that reach
8 Prairie Dog Creek and tributaries of Little Goose.

9 And then the fourth part of the report is a
10 rebuttal to the expert report by the Spronk Water
11 Engineers, Dale Book.

12 Q. In forming your opinions, can you tell us
13 what kinds of things you looked at? What were the
14 sources of information?

15 A. Well, streamflow data is always critical in
16 any hydrologic study. We use USGS data. We also use
17 data collected by the state and provided in their
18 annual hydrographer reports. Those annual hydrographer
19 reports are used extensively in this.

20 We used what they call the tab books, the
21 tabulation of adjudicated water rights for District
22 II -- or Water Division II. We used aerial photography
23 from various years. We used the e-permit system, the
24 online permit system from the state engineer.

25 And we used a lot of personal contacts with

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 people knowledgeable about water use, including the
2 hydrographer commissioners and the water users.

3 Q. In the course of preparing a historic
4 investigation of water use in the past, is it normal or
5 typical for you to interview the water users and
6 hydrographer commissioners?

7 A. Yes, it's very normal. I think they are the
8 most knowledgeable people about the actual application
9 of water.

10 Q. And are the -- is the information that you
11 received from these folks the kind of thing that you
12 would typically rely on in conducting a historic
13 investigation?

14 A. Yes, it is.

15 Q. Did you also look at the hydrographer
16 diaries?

17 A. Yes. I'm sorry. I forgot to mention those.
18 We did look at their diaries also.

19 Q. Could you make sense of them?

20 A. Well, when you first look at them, it's a
21 little hard to do. They have their own type of
22 shorthand.

23 They -- for example, they abbreviate ditch
24 names. DD might stand for the Diamond Ditch. And
25 until you've been through these, you might not get too

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 much out of just reading their diary.

2 But you also learn how intricate their
3 regulatory activities are. Sometimes you'll see a
4 remark in there that they cranked some headgate down
5 three threads on the gate stem. When you first look at
6 that diary it will say, "Down three threads." And
7 you'll wonder, what in the world? Until you talk to
8 the hydrographer, you don't know what they are saying.

9 So I did have to go back to them, at least
10 for the first two or three times, to -- seasons in
11 order to be able to interpret those diaries.

12 Q. Ultimately, were you able to do that and make
13 sense of the diaries and the annual reports?

14 A. Yes, I was.

15 Q. Were you able, from that information and the
16 other information that you reviewed that's outlined in
17 detail in your report, to get a good handle on the
18 regulatory activities that occurred in 2004 through
19 2006?

20 A. Yes. Not only get a good handle on how it
21 occurred, but you get a good appreciation for just how
22 much work they go through during the regulation season
23 with their constant adjustments of this headgate to
24 provide water for that user. Up and down changes
25 through the season when people turned off to hay, then

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 someone else might have some water available.

2 And it's a constant travel up and down that
3 stream to adjust headgates and make sure that senior
4 water rights are getting the water that's available to
5 them.

6 Q. And did you have an opportunity also to
7 review expert reports prepared by the folks retained by
8 the State of Montana?

9 A. I reviewed at least some of them. I'm not
10 sure I reviewed them all, but I did some of them.

11 Q. Mr. Book's?

12 A. I did review that.

13 Q. Mr. Allen's?

14 A. Yes.

15 Q. Mr. Smith?

16 A. I don't think I reviewed that.

17 Q. His stuff focuses on Montana, so I don't know
18 why you would have.

19 A. Right.

20 Q. What about Mr. Larson?

21 A. No. I read through parts of it, but I didn't
22 review it.

23 Q. And everybody knows you're here primarily to
24 talk about your conclusions with regard to Mr. Book's
25 report.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 So you read that one?

2 A. I did.

3 Q. What did you do after reading it?

4 A. Well, I guess the -- I set about performing
5 the independent review of each of the sections of his
6 report to see if we agreed or disagreed with his
7 calculations and conclusions.

8 Q. And what kinds of things did you do to
9 determine whether you agreed or disagreed?

10 A. Well, depending on which part of his report
11 we're talking about, the activities we did were a
12 little different.

13 There's a section where he talks about use of
14 water on post-'50 irrigated lands. There's a part
15 where he talks about consumptive use of water from
16 post-'50 reservoirs, consumptive use of water from
17 post-'50 compact reservoirs. The distinction there
18 being those are reservoirs that are reported to the
19 compact Commission at their meetings and routinely
20 regulated by the state people.

21 So for each of those phases of the report,
22 our activities were a little different.

23 Q. Well, we talked a little bit about the
24 report; I'm going to give it to you now. I'm going to
25 hand you what's marked as Exhibit W2.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Thank you.

2 MR. KASTE: I assume you have a legible copy?

3 SPECIAL MASTER: I do.

4 BY MR. KASTE:

5 Q. Would you take a look at Exhibit W2 and tell
6 us whether that is, one, your report and, two, whether
7 it's complete?

8 A. Well, it is a copy of our report, and it
9 looks complete from thumbing through it. I can't
10 verify every page is here, but it sure looks complete.

11 Q. All right. And was that report prepared by
12 you or by individuals at WWC Engineering under your
13 direction?

14 A. Yes, it was.

15 MR. KASTE: I would move for the admission of
16 Exhibit W2.

17 MR. WECHSLER: No objection.

18 SPECIAL MASTER: Okay. Then Exhibit W2 is
19 admitted into evidence.

20 (Exhibit W002 admitted.)

21 BY MR. KASTE:

22 Q. Now, your report is extensive and the Special
23 Master has read through it, as you heard. So what
24 we're not going to do is go through it in minute
25 detail.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 But we want to talk about some of the
2 important things in it. And I think the best place to
3 do that is to start on page 7 where you've listed a
4 summary of opinions. Got it?

5 A. Got it.

6 Q. All right. Now, don't read it. I just want
7 to talk about each of the opinions that you have listed
8 on that page and have you explain why you hold the
9 opinions.

10 So, in essence, opinion No. 1 is that
11 post-1950 rights constitute a small portion of the
12 total water rights in this basin; is that right?

13 A. That's right.

14 Q. All right. Can you explain how you
15 determined that ratio?

16 A. Well, the report contains tabulations of
17 pre-1950 water rights in the Tongue River, on the main
18 stem of the Tongue River; on Wolf Creek; Smith Creek;
19 the Little Tongue River; and Columbus Creek. And when
20 you look at those tabulations -- and they are presented
21 as tables in this report -- and sum those, you get
22 total water rights in good standing for those
23 tributaries in acres or cubic feet per seconds.

24 And then there's another table where we
25 tabulated the post-'50 rights and talk about the

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 relationship between the two. But the -- if you look
2 at that, the post-1950 water rights are a very small
3 portion of the total water rights in this basin.

4 Now, this is just the Tongue, but that same
5 conclusion is true throughout northeastern Wyoming.

6 Q. When you say "a very small portion," can you
7 give us a sense of the ratio between the pre- and
8 post-1950 rights?

9 A. I think on the Tongue River main stem itself,
10 the post-'50 rights are less than 2 and a half percent
11 of the total pre-1950 water rights on the Tongue River.
12 Very small percentage.

13 Q. So if there's 100 percent of water rights, 2
14 and a half percent of them are post-1950?

15 A. Yes.

16 Q. And all the rest are pre-1950?

17 A. Yes.

18 Q. All right. And then the second opinion you
19 have in your summary is that essentially Wyoming has a
20 well-established regulatory system that makes it highly
21 unlikely for a senior appropriator to be injured by any
22 junior appropriators.

23 Do I have that about right?

24 A. Yes.

25 Q. Can you explain how you formed that opinion,

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 and what does it mean?

2 A. Well, that opinion arises out of Section 3 of
3 this report where we go through a day-by-day activity
4 list of what was done by the hydrographers to regulate
5 for senior appropriators through the irrigation seasons
6 of 2004, '5, and '6, and you'll often see turning one
7 ditch down to make water for the No. 1 or the No. 2 or
8 the No. 3 ditch. Then they go evaluate. Did they get
9 the water they needed? Or if not, another adjustment
10 is necessary. If too much, they might have to crank it
11 back.

12 But you get an appreciation of how they work
13 through the season to administer by priority on that
14 system.

15 Q. And how does that regulatory activity protect
16 senior appropriators from junior appropriators?

17 A. Well, if the senior appropriator is not
18 getting his appropriation and if the reason is not
19 because of something he's doing wrong, if water isn't
20 going past his headgate because of a poorly maintained
21 headgate or something like that, but if he's not
22 getting his water, they'll go to successively junior
23 appropriators upstream and start cutting them down to
24 make sure that he gets his water.

25 Q. Did you see examples in the hydrographer

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 commissioners' diary of them noting, for example, the
2 calling right had a problem with their diversion works,
3 or something like that, that prevented them from
4 regulating juniors?

5 A. I don't remember. They are pretty aware of
6 the need to maintain their headgates. I don't think
7 there are too many cases that I recall where people
8 couldn't divert pretty much the entire flow of the
9 stream, if that's what their water right called for, on
10 these tributaries, Wolf Creek, Smith Creek, Columbus
11 Creek, and the Little Tongue River.

12 Q. So the Wyoming irrigators know they are
13 obligated to take the full stream when they want to
14 make a call; right?

15 A. Yes.

16 Q. Now, with regard to this opinion about the
17 protection of seniors from juniors, did you find that
18 that was working with regard to pre- and post-'50
19 rights, say, on Big Goose and Little Goose Creek?

20 A. Yes, it's a very effective system. And
21 Mr. Book, in his report, also made the same conclusion,
22 that the system of regulation takes care of Big and
23 Little Goose Creeks, and there's little likelihood that
24 any post-'50 rights are having an adverse effect.

25 Q. Is the situation a little bit different on

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 the Tongue River?

2 A. It's a little different for the Tongue. It's
3 the same system, same regulatory system. There just
4 haven't been the same types of calls on the Tongue
5 River that they have on these other systems.

6 Q. And is that -- is the main stem of the Tongue
7 River a little bit different than the tributaries to
8 the Tongue River, like Wolf Creek and Smith Creek?

9 A. It is different. It's a much larger stream.
10 It has relatively more water per length within the
11 state of Wyoming than these other streams. These other
12 streams are entirely contained within the state of
13 Wyoming.

14 The Tongue River, for its size, has a
15 relatively short reach between Dayton and the state
16 line, and so it hasn't been plagued with the water
17 shortages that these other tributaries have.

18 Q. The other tributaries that are along the main
19 stem of the Tongue River, like, for example, Wolf
20 Creek, is it in the same situation as, say, Big Goose
21 and Little Goose are, that the regulatory system is
22 basically preventing post-1950 use through much of the
23 irrigation season?

24 A. Yes, it is.

25 Q. Now, in studying the regulatory activities of

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 2004 through 2006, did you determine whether or not
2 Wyoming's regulatory system takes into account the
3 effective return flows?

4 A. Yes, it does.

5 Q. How does it do that?

6 A. Well, one of the examples that we talk about
7 in there is, for most of these streams, they have --
8 I'll call them indicator flows. The hydrographers,
9 through all these years of regulation on the stream,
10 have come to learn that if the flow rate at certain
11 locations on the stream is above a certain level,
12 generally they are not going to get a call for
13 regulation.

14 And those indicator flows are shown in here
15 in the report for various streams, and as the -- and if
16 you'll notice, the indicator -- a good example is Wolf
17 Creek, the indicator flow is about 20 CFS. In other
18 words, at flows above 20 CFS, there's usually not a
19 call for regulation on Wolf Creek. And yet if you look
20 at the water rights on Wolf Creek -- I don't remember
21 the exact number; it's in a table in here -- but it's
22 about 90 CFS of pre-'50 water rights.

23 And there are actually about 4000 irrigated
24 acres on Wolf Creek, which if you divide by 70 is
25 somewhere around 50 to 60 CFS of demand. And those

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 demands can be met with a flow of 20 CFS on the gauge
2 at Wolf Creek because of the use of return flows as it
3 goes down the stream.

4 Q. Is that an important part of the management
5 of any stream, take into account return flows and how
6 they affect folks on down the line?

7 A. It's a very integral part of the regulation.

8 Q. Now, you talked about indicator flows. And I
9 think in the report you used the term "key flow rate";
10 is that right?

11 A. Yes.

12 Q. What do you mean when you talk about the key
13 flow rate?

14 A. Well, again, from practice, the hydrographer
15 commissioners have learned that above those levels they
16 are usually not going to expect a call for regulation
17 on that stream and below that level -- and they can
18 usually predict by watching the hydrographer.

19 We've got several example hydrographs in this
20 report. By watching that, unless an event comes up,
21 like a rainstorm or something like that, they can kind
22 of predict ahead of time when regulation is likely to
23 come in, when somebody's likely to make a call.

24 And they can start talking to their water
25 users and putting them on notice that sometime next

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 week, you might either run out of water or be required
2 to call for your storage.

3 Q. Do you have an understanding about whether --
4 when the gauge hits that particular key flow rate,
5 whether the hydrographer commissioners go out without a
6 call and start turning off headgates?

7 A. No. It's -- that's not an automatic call.
8 It's a general indicator of when regulation is not
9 likely to be required if it's above that.

10 Q. Well, there's a couple points in your report
11 where you say, well, regulation starts in this
12 circumstance without a formal call.

13 Are you referring to formal as in a written
14 document or something else?

15 A. In that -- yes. The formal written call is
16 one way to call for regulation. But because this
17 happens every year in some form at some time during the
18 year, by practice, they've learned who the most likely
19 first caller is. They can start talking to them, warn
20 them that regulation is likely to come soon.

21 But -- and so those communications can
22 constitute a call. They don't have to be a formal
23 written call.

24 Q. And you have example hydrographs inside your
25 report. We don't need to look at them specifically.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 But is it fair to characterize the drop from
2 the spring runoff to the point where regulation is
3 imminent as a relatively fast occurring event?

4 A. Yes, it is. For each of these hydrographs,
5 I've plotted this key flow rate. And you can see that
6 the rising limb and the declining limb of the
7 hydrographs during these years -- but these years
8 wouldn't be unusual in that regard -- are very steep.

9 Q. And when they're steep, does that mean that
10 the flow rate is decreasing rapidly?

11 A. Yes, it does.

12 Q. And so we go from a period of free river to
13 regulation over what span of time, typically?

14 A. Like I said, unless there's another event,
15 like a rainfall event on the mountain or something that
16 brings streamflows back up again, it's a matter of a
17 few days to maybe a week.

18 Q. And when that occurs, is it this gradual
19 progression of turning off one right and turning off
20 the next and so forth, or is it just wham, we're at
21 1886?

22 A. It's quick. And typically, they go right
23 from no regulation to generally regulating for very
24 early priority water rights, 1900 or before, oftentimes
25 territorial rights, right away.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. And during the spring runoff period, during
2 the period of free river, one, how long does that
3 period typically last, the runoff?

4 A. We state in here the duration of that
5 during -- in this report, but it really varies year by
6 year. Generally speaking, it's the months -- generally
7 the months of May and June.

8 Q. And during that period of time, we've heard a
9 couple people talk about water right holders from 1945
10 and before having an opportunity to take a second CFS.
11 I mean, you probably heard some of that discussion when
12 you were here and Mr. Tyrrell was on the stand.

13 How often are irrigators in the Tongue River
14 Basin able to take that second CFS?

15 A. In my experience in this area, it doesn't
16 happen. I don't remember it coming up. For one thing,
17 most of the ditches don't have capacity to take double
18 the appropriations.

19 Q. And what does that mean, the "capacity to
20 take double appropriations"? The ditch itself is
21 constructed so it will only hold 1 per 70.

22 A. Generally, that's true.

23 Q. Now I want to talk about the third opinion
24 you have on page 7.

25 SPECIAL MASTER: Mr. Kaste, I wonder whether

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 or not, before you go on to the third opinion, whether
2 this would be a good time for the morning break?

3 MR. KASTE: Sure.

4 SPECIAL MASTER: So why don't we take a break
5 now, and we'll come back at a quarter to the hour,
6 then.

7 (Recess taken 9:35 to 9:49
8 a.m., December 2, 2013)

9 SPECIAL MASTER: Everyone can be seated.

10 Mr. Kaste.

11 BY MR. KASTE:

12 Q. We had been talking a little bit about some
13 of the terms that you used in your report, and one of
14 which I didn't mention but I think it'd be nice for you
15 to explain is the word "flood rights."

16 At various times in your report you talk
17 about certain rights being essentially flood rights,
18 and I think it would be helpful for you to explain what
19 you mean when you talk about flood rights.

20 A. Right. I'm not sure that's any sort of a
21 legal term. It's kind of a common-use term, often
22 associated with late priority water rights. They're
23 called flood rights.

24 The only time they get exercised is when the
25 stream is in flood and the flows are far in excess of

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 any need for regulation. And so they're kind of
2 commonly called flood rights.

3 Q. So it's not a particular denomination under
4 Wyoming water laws; it's sort of a statement of fact
5 that they only get water at these periods of high flow?

6 A. Yes.

7 Q. Now, turning to opinion No. 3 in your report
8 or summary of opinions, that one essentially says only
9 sufficient natural flow typically exists to satisfy all
10 active irrigation rights for only a brief time during
11 spring runoff.

12 Is that about right?

13 A. That's correct. And that, again, is
14 illustrated by several hydrographs that are presented
15 in this report on which we've also plotted the
16 indicator flows or the key regulatory flow rates. And
17 you can see that the period when the streamflow is
18 above that line is very brief, particularly during
19 these very dry years.

20 Q. So once things switch over from a free river
21 to a period of regulation, are there a lot of pre-1950
22 rights in Wyoming that aren't getting any water?

23 A. Most of them, in fact. Yeah, if you look at
24 Section 3, they often regulate back on all these
25 tributaries. They will regulate back to one or two

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Powder River water rights on the whole system. By July
2 and August, they're down to only the most senior rights
3 on the stream.

4 Q. And if I understood right from one of your
5 earlier opinions, the pre-1950 rights are about 97 and
6 a half percent of all the rights?

7 A. That's right.

8 Q. So once regulation begins and as it
9 progresses throughout the course of the irrigation
10 season, what, 90-some percent of the pre-1950 rights
11 aren't getting any water?

12 A. Yes, that's a good generalization.

13 Q. Another term that you use in the course of
14 your report, you talk about enlargements at various
15 times as you're describing things on the Tongue River.

16 What is an enlargement?

17 A. Yeah, I think there's a misconception about
18 enlargements. When a person wants to add some
19 irrigated lands under an existing ditch, in order to
20 provide a paper record, that they file an enlargement
21 on that ditch.

22 For example, the Interstate or Pennoyer Ditch
23 has several enlargements. What that means is that
24 owner has gone to the owners of the ditch and gained
25 their agreement to use that ditch to convey these flows

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 to these new lands, but it doesn't necessarily, and in
2 my experience it seldom involves a physical enlargement
3 of that ditch.

4 So what they're doing is getting permission
5 to use the ditch, permission to participate in the
6 maintenance of the ditch. And they use water when the
7 other water users have some and pass on what they're
8 needing in their headgates.

9 But it's not -- it's not usually a physical
10 enlargement, particularly on the Interstate Ditch. For
11 example, there are several structures on that ditch,
12 flumes, inverted siphons that go into draws. Those
13 structures don't get enlarged for these flows.

14 Oftentimes, and in these cases here, it's
15 true these enlargements are fairly well down the ditch.
16 So the ditch has a much smaller capacity at that point
17 than it does in its diversion reflecting kicking water
18 out at various users along the way.

19 Q. Does the fact that an irrigator got an
20 enlargement indicate they're using more water?

21 A. It's not necessarily an indication that
22 they're diverting more water. It means that when water
23 is available in that ditch, when the original owners of
24 the ditch aren't diverting for whatever reason -- they
25 might be out of the town for a weekend, they might have

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 turned off the gate, whatever -- the water available in
2 the ditch, then people under that enlargement can use
3 the water.

4 Q. So it's not a situation where an irrigator
5 applies for an enlargement, gets an enlargement, and
6 then you see more water coming in at the headgate of
7 the ditch?

8 A. I'm not saying that doesn't happen, but in my
9 experience, I'm not aware of situations on ditches
10 where that has happened.

11 Q. And I think we probably touched on the
12 important substantive parts of your opinion No. 4, but
13 essentially that regulation typically goes to the early
14 1900s or earlier ones pretty much; right?

15 A. Yes, that's right.

16 Again, if you look at -- well, a quick way to
17 illustrate this, we used Wolf Creek, for example. If
18 you look at table 3-3, for example, which is on page
19 22, you'll notice the No. 1 and 2 water rights on that
20 stream total about 9 CFS. When they are in full
21 regulation late in the summer, oftentimes those will be
22 the only water rights that are getting their water. No
23 one else.

24 Wolf Creek, I should also mention, is a
25 little different from some streams because its No. 1

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 water right is clear down near the mouth of the stream.

2 If on the other hand it was fairly well up on
3 the stream and then they regulated for it, then their
4 return flows would be available on downstream and the
5 regulation would take place a little differently. So
6 it does depend on where the most senior water rights
7 are on the stream.

8 Q. But Wolf Creek is an example of the senior
9 water rights all the way down at the bottom of the
10 tributary?

11 A. Yes. So in this table we just talked about,
12 that -- and you'll see references to this in part 3
13 when we talk about the regulation on Wolf Creek --
14 there are times when only the 1 and 2, and sometimes
15 not even entirely the No. 2, received their full
16 supply. So 9 CFS out of 90 CFS of pre-Compact rights
17 are all that's getting their water.

18 Q. Opinion No. 5 is essentially that the impacts
19 reported by Mr. Book are significantly overstated; is
20 that correct?

21 A. That's my opinion, yes.

22 Q. And we're going to talk about the particulars
23 of that opinion in some detail here in a bit, so I
24 don't want to spend too much time on that overview that
25 you have in your summary.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 I want to kind of move into opinion No. 6 and
2 take that one first. And that opinion is essentially
3 Mr. Book used annual amounts, and that's a problem; is
4 that fair?

5 A. Well, it's not clear to me how the use of
6 annual totals or annual water uses -- without regard to
7 when the use occurred or under what conditions, it's
8 not easy to interpret who could have been impacted by
9 annual use.

10 If the annual use -- if the water to provide
11 that annual use was all diverted during flood times or
12 during free river times, it wouldn't have any impact on
13 anyone. So providing it as an annual total doesn't
14 explain how it enters into a system of regulation by
15 priority.

16 Q. Did Mr. Book make any attempt to figure out
17 what amount of water was used in Wyoming after, say,
18 May 18th, 2004, or July 28th, 2006?

19 A. Not that I'm aware of.

20 Q. So do his numbers just reflect, for example,
21 with regard to storage, all water that was stored in
22 post-1950 space over the whole year?

23 A. For the water that was stored, yes.

24 Q. And for the irrigated lands, what do his
25 numbers reflect?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. They reflected the seasonal consumptive use
2 from the METRIC program.

3 Q. So is that all the water throughout the
4 entire irrigation season?

5 A. Yes.

6 Q. So it would be fair, you didn't figure out
7 the amount of water applied after those dates either,
8 did you?

9 A. No, I did not.

10 Q. How come?

11 A. I wasn't asked to do that.

12 Q. So it's my fault. That's fine. It wouldn't
13 be the first thing that's my fault in this case.

14 Would it affect, however, the amount of
15 calculated depletions if you tried to figure out what
16 happened before and what happened after specific dates?

17 A. Well, yes. If only a part of that occurred
18 during a time when it could impact a senior water right
19 holder, then that would reduce that from that annual
20 total, that amount.

21 Q. Okay. And in your experience, is it typical
22 for junior appropriators to go ahead and use water
23 until they are called off by a senior?

24 A. Subject to water availability.

25 We need to correct another misconception, and

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 that is if you're not getting regulated, you've got all
2 the water you could ever possibly ask for. There are
3 physical constraints on water, and particularly in
4 years like, 2001, '2, '4, and '6. So you can't assume
5 that just because someone wasn't regulated off they had
6 access to all the water they could use. That was not
7 the case.

8 Q. But assuming there was water in the creek at
9 a junior appropriator's headgate, in your experience,
10 are they free to use that water until they are called
11 off by a senior?

12 A. Yes.

13 Q. So the call date is kind of important?

14 A. Yes, it is.

15 Q. Now, there's a substantial section in your
16 report that talks about, in a narrative way, the
17 regulatory activities that occurred in 2004, '5, and
18 '6; correct?

19 A. Yes.

20 Q. And I think we established that you
21 reconstructed that narrative using what, the
22 hydrographers' annual reports and diaries and so forth?

23 A. Yes.

24 Q. And I don't want to go through that
25 substantial section in your report in any great detail.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 It's a lot of material, but I think it's fairly easy to
2 understand. And if the Special Master has particular
3 questions, he'll ask you.

4 But I just want to talk about a couple of
5 relatively important things that you found, one of
6 which is -- and you don't have to turn to this page.
7 I'm just telling folks for convenience.

8 On page 46 of your report, you note that
9 storage in Cross Creek, Big Horn, and Park Reservoir
10 stopped on May 24th, 2004.

11 Do you remember that?

12 A. I remember that.

13 Q. How do you know that?

14 A. That was in either the hydrographers' annual
15 report or in one of their journals. I don't remember
16 where I got that information.

17 Q. So you were able to utilize the information
18 created by the hydrographer commissioners to determine
19 when storage ceased in those reservoirs?

20 A. For that case I could, yes.

21 Q. What about in 2006? Were you able to
22 determine whether any storage occurred after July 28th,
23 2006?

24 A. I don't remember anything specific, but it
25 would be real unusual to be storing water in late July.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. I think as an attachment to your report,
2 Mr. Knapp provided you with a summary of when storage
3 ceased in 2006.

4 Do you remember that?

5 A. I do.

6 Q. And do you recall whether or not it says
7 whether there was any storage after July 28th, 2006?

8 A. I believe it said there wasn't.

9 Q. Now, on page 45 of your report, you state
10 that there's an amount equal to essentially all the
11 post-1950 storage rights that was in Big Horn, Cross
12 Creek, and Park Reservoir as carryover in 2024.

13 Do you recall that portion of your report?

14 A. Yes.

15 Q. Can you explain to us what importance it has,
16 the fact of carryover in the reservoirs, if we're
17 trying to determine what amount of water was stored in
18 post-1950 or pre-1950 space?

19 A. Well, it's fairly standard practice and
20 logical, and it was also used in Mr. Book's report the
21 same way, that you assign your carryover storage to
22 your more junior right so that the next runoff season,
23 your senior rights will have the best opportunity to
24 refill.

25 Q. So both you and Mr. Book agree that carryover

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 should be assigned to the junior priority first?

2 A. Yes.

3 Q. Is that typical in the Tongue River Basin?

4 A. In my experience, it's typical and logical
5 everywhere.

6 Q. Now, I want to turn to some particularized
7 critiques of Mr. Book's analysis. And this is
8 basically Section 4 of your report. And the first
9 thing is post-1950 storage and compact reservoirs, all
10 right?

11 You had a critique of Mr. Book's analysis
12 with regard to those larger compact reservoirs. Can
13 you explain what your criticism was?

14 A. Yes. My criticism didn't have to do with the
15 method of analysis. It had to do with the fact that it
16 didn't include Kearney Lake Reservoir.

17 And that's very important in this case
18 because Kearney Lake is in the Piney Creek Basin, which
19 is in the Powder River Basin, and it has a considerable
20 amount of post-'50 storage in it. So -- and the water
21 use is in the Tongue River Basin, either in Prairie Dog
22 primarily or in the Little Goose area. So it has to be
23 considered in that study, its effects, because that
24 post-'50 water at Kearney Lake is actually a positive
25 impact on the Tongue River Basin since the compact was

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 signed.

2 Q. So you thought it was important to include
3 return flows from Kearney Lake in the analysis?

4 A. Yes, I did.

5 Q. Did Mr. Book consider return flows from the
6 other reservoirs in the Tongue River Basin?

7 A. Yes, he did.

8 Q. So is your criticism essentially this one is
9 in there, too, and ought to be considered with the
10 others?

11 A. That was my conclusion. In his rebuttal, he
12 accepted the argument for the most part. He made some
13 further changes because he adjusted the return flow
14 fraction down -- from Kearney Lake down for a couple of
15 reasons. One was --

16 MR. WECHSLER: Your Honor, it sounds to me
17 like Mr. Fritz is about to discuss Mr. Book's rebuttal
18 report which, of course, is not in his expert report.

19 MR. KASTE: Mr. Fritz sat through Mr. Book's
20 testimony. He was designated as a witness to do so and
21 comment on the testimony he heard.

22 MR. WECHSLER: And, of course, Your Honor,
23 the disclosure rules are very, very clear. Wyoming has
24 had trouble dating back prior to the trial with
25 disclosure, and they're not allowed to have just

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 brand-new disclosures because Montana hasn't had an
2 opportunity to address those expert opinions.

3 MR. KASTE: Mr. Book is right there. They
4 have the opportunity to present a rebuttal case.

5 SPECIAL MASTER: So let me ask, because I've
6 never encountered this before. It's an interesting
7 question. We have a situation here where normally with
8 other witnesses you would actually end up with rebuttal
9 testimony coming in after the regular testimony.
10 Because of the fact that all of the various expert
11 reports came out before the trial actually began, we
12 have a situation where witnesses presented both their
13 direct testimony and their rebuttal testimony
14 initially.

15 So -- I'm just looking for help here. Is
16 Mr. Book's testimony rebuttal testimony? In which case
17 I think that Mr. Wechsler is absolutely right at this
18 particular stage. Mr. Fritz can't basically do at this
19 stage a surrebuttal to the rebuttal. Or is that like
20 direct testimony? In which case, yes, Mr. Fritz could
21 testify on that, and then Mr. Book gets to have yet
22 another turn at this.

23 MR. KASTE: Well, the State of Montana chose
24 to present that rebuttal evidence during its case in
25 chief, so it is direct testimony. And I have never

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 seen a case where our expert couldn't respond to what
2 they heard their expert say on the stand, nor have I
3 seen a case where their expert couldn't then get up and
4 respond to our expert's testimony.

5 This is completely normal. That's why you
6 have experts sitting in the trial, to listen to the
7 words that the other expert testifies about and to
8 respond to them. And Mr. Fritz was designated
9 specifically to do just that.

10 MR. WECHSLER: And, Your Honor, my experience
11 is exactly the opposite. In Federal District Court
12 cases, you -- very often, the plaintiff has a rebuttal
13 report because the plaintiff is the one that gets the
14 opportunity to have the last word because it has the
15 burden. And even though that rebuttal report is
16 presented as part of the plaintiff's case, the
17 defendant does not get to get up and just talk about
18 whatever they want.

19 And there's two reasons for that: One is the
20 rules -- the expert disclosure rules are specifically
21 designed to prevent surprise and to allow an expert to
22 get up on the stand and offer a brand-new opinion. You
23 know that Montana hasn't had the opportunity to
24 understand, analyze, address. Now Your Honor has given
25 us potentially the opportunity to do rebuttal testimony

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 within a couple of days. But, of course, expert
2 testimony takes a significant amount of time to
3 consider and address.

4 Mr. Book was on six weeks ago. I can give an
5 example that I think is very relevant in which this
6 type of surprise testimony was not allowed, and that's
7 the Kansas versus Nebraska case, the most recent one.
8 And in that case not only were there expert reports,
9 there were also prefiled testimony that was filed. In
10 that testimony, it had to be both direct and rebuttal.

11 When the witness got up and presented their
12 case, they presented both their direct and rebuttal.
13 And the Special Master in that case was very, very
14 clear and very, very careful not to allow the defendant
15 state, whether it was the counterclaim or the initial
16 claim, to get up and talk about what was in either the
17 rebuttal report or the rebuttal testimony.

18 SPECIAL MASTER: Okay. Let me just say what
19 I'm going to do right now. At the moment, I'm not
20 going to permit this line of questioning. I will see
21 whether or not I can consult with one or two other
22 judges on this over the next break. And then if I
23 change my mind at that point, Mr. Fritz will be able to
24 answer these particular questions.

25 MR. KASTE: How about we do this? And I'll

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 do a short offer of proof with Mr. Fritz. It's about
2 two or three questions. And you can then consult with
3 the other judges and disregard the testimony if
4 necessary or not. And then we don't have to come back
5 to it.

6 MR. WECHSLER: So long as it's just an offer
7 of proof, I have no objection to that.

8 SPECIAL MASTER: Okay. I think that's a
9 great idea. Let's go ahead and do that. Because that
10 way, if for any reason I'm not able to consult over the
11 break, we don't have to worry about it dragging on
12 until early afternoon.

13 And, again, sorry to have do it this
14 particular way, but I've actually never seen this
15 particular issue arise before.

16 MR. KASTE: I've got to tell you, I've never
17 seen an issue where an expert watched the testimony of
18 another and wasn't allowed to talk about it. But
19 that's just my experience. Apparently New Mexico and
20 other places are different than God's country such as
21 Wyoming.

22 SPECIAL MASTER: Let me just ask you: Have
23 you ever seen a situation before where you have both
24 the direct and rebuttal testimony done initially?

25 MR. KASTE: Absolutely not.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 SPECIAL MASTER: So it's a very unusual
2 situation.

3 MR. KASTE: Rebuttal reports are ridiculous.
4 They should never be allowed. And that's just my
5 personal opinion on the subject.

6 SPECIAL MASTER: Do you normally represent
7 the defendant?

8 MR. KASTE: Pardon me?

9 SPECIAL MASTER: Do you normally represent
10 the defendant?

11 MR. KASTE: Almost always. Of course, I'm
12 always on the side of truth, light, and goodness.
13 Mr. Brown, of course, used to represent plaintiffs and
14 he has a black heart.

15 SPECIAL MASTER: Mr. Brown, do you feel
16 different about rebuttal testimony?

17 MR. BROWN: Not today.

18 SPECIAL MASTER: Okay. Okay. Mr. Kaste.

19 BY MR. KASTE:

20 Q. In any event, in your report you -- actually,
21 the criticism of Mr. Book's analysis initially was that
22 it didn't include Kearney Lake import returns like the
23 other return flows from the other reservoirs; right?

24 A. That's right.

25 Q. All right. Now, I think you were going to

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 testify Mr. Book, in his rebuttal report, agreed with
2 that criticism, and his bottom line numbers actually
3 reflect import return flows from Kearney Lake; right?

4 A. Right.

5 Q. And I think you were starting to explain that
6 he changed the numbers from your analysis of Kearney
7 Lake return flows a little bit.

8 A. Yes.

9 Q. And I think you were going to tell us, in one
10 or two sentences, in regards to how he changed those
11 numbers.

12 SPECIAL MASTER: You can go ahead. At this
13 particular stage, this is simply an offer of proof. So
14 I'll decide at a later point in time whether or not
15 what you now say can actually come into evidence.

16 THE WITNESS: Okay.

17 SPECIAL MASTER: But from your standpoint,
18 ignore what I just said. Just go ahead and answer his
19 questions.

20 THE WITNESS: Okay. There are two points on
21 which he disagreed. He increased irrigation
22 efficiencies on the water use from Kearney Lake on the
23 grounds that most of that water was going down Prairie
24 Dog Creek, which had a higher relative percentage of
25 sprinkler irrigation than the Big and Little Goose

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Basins where the rest of the storage was.

2 And the basis for that was he used the amount
3 of sprinkler irrigation presented in the studies to
4 back up the 2002 basin report, which actually was based
5 mostly on 1996 irrigated lands mapping and using
6 current-day evaluation of sprinkler irrigation in the
7 Prairie Dog Basin. And that ignores 12 or 15 years of
8 transfer to sprinkler irrigation in the Little Goose.

9 And I don't think there's a big difference
10 between the relative amount of sprinkler irrigation in
11 either basin. Much of that change over the last 10 or
12 15 years has been subsidized by federal programs, and
13 it's been pretty consistent in both areas.

14 Another thing, in the Prairie Dog Basin, a
15 lot of what you see from the surface is sprinkler
16 irrigation that's been added -- at the time this was
17 done, had been added by coal bed companies. And that's
18 all sprinkler irrigation. So that would buy us just an
19 overall review of how much sprinkler irrigation there
20 is in the Prairie Dog Basin.

21 One other little point is the assumption that
22 only 80 percent of the water from Kearney Lake went
23 into the Prairie Dog Basin. I think that's possibly
24 true, but very little went on down Piney Creek. What
25 didn't go to Prairie Dog goes in the Meade-Coffeen.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 And Piney Creek ditches into Little Goose, so it still
2 gets into the Tongue River Basin.

3 So I don't think there's a big reason to
4 change irrigation efficiencies just for the return
5 flows from Kearney Lake.

6 BY MR. KASTE:

7 Q. So are your numbers right?

8 A. I think so.

9 MR. KASTE: All right. That concludes my
10 offer of proof.

11 SPECIAL MASTER: Thank you.

12 BY MR. KASTE:

13 Q. Now I want to talk about the Wagner,
14 Fivemile, and Padlock recovery reservoirs.

15 And you had a criticism of Mr. Book's
16 analysis with regard to those reservoirs. Can you tell
17 us what that was and why?

18 A. Yes. A portion of the storage in those
19 reservoirs is pre-Compact, which wasn't deducted from
20 Mr. Book's analysis. It's about 127 acre-feet in the
21 Wagner Reservoir that should be taken out because it's
22 pre-Compact storage.

23 The two irrigators, the Padlock Ranch uses
24 the storage, and the Sheeley Ranch upstream uses direct
25 flow rights. And when I interviewed Greg Benzel, who

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 is the farm operator for the Padlock, he said the
2 agreement they worked out over the years, both ranches
3 have 1882 priority direct flow rights, but to avoid
4 conflicts, once the storage season is over and direct
5 flow irrigation starts, the Padlock uses the reservoirs
6 and the upstream rancher uses the direct flow rights.
7 And it avoids conflicts.

8 Q. So if I understand right, your criticism was
9 that there are some pre-1950 rights in these
10 reservoirs, and Mr. Book counted them as post-1950?

11 A. Yes.

12 Q. And you fixed that in your analysis?

13 A. I did.

14 Q. All right. And now with regard to the real
15 small reservoirs or the smaller reservoirs, Mr. Book's
16 analysis looked at evaporation from those reservoirs;
17 is that right?

18 A. Yes.

19 Q. And he calculated depletions based on
20 evaporation from post-1950 reservoirs that are not
21 compact reservoirs and not Wagner, Fivemile, or Padlock
22 recovery; right?

23 A. Yes.

24 Q. And what criticism did you have of that
25 portion of his analysis?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Well, for his depletion estimates, he used a
2 net evaporation rate of 21 inches. And my only problem
3 with that is some of these reservoirs have been
4 constructed in stream valleys where they are natural, I
5 guess you'd say. Consumptive use rates were very high.

6 And in -- we'll be talking about this when we
7 look at some of these other criticisms. But that is a
8 background ET. And that's -- METRIC maps provided by
9 the Montana expert witnesses showed background ET in
10 the areas like these reservoirs were constructed in
11 exceeding 21 inches, sometimes over 30 inches.

12 And so my conclusion was that for some of
13 those reservoirs in Mr. Book's list that were built in
14 these valley bottoms where, because of shallow water
15 tables, the high background ETs were at least
16 21 inches, oftentimes more, that construction of a
17 reservoir there didn't make any net change over what
18 was there prior to the reservoir. And so I eliminated
19 some of the reservoirs from his list because of that.

20 There was one other reservoir, the Fordyce
21 Tepee No. 1, that's up in the mountains at 7200 feet
22 elevation where annual precipitation is in excess of 30
23 inches, and there's a very short season. And I think
24 that reservoir has very little, if any, net
25 evaporation. So I also took it out of the list.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. So if I understand right, building the
2 reservoir, the evaporation would be either consistent
3 or less than the natural ET prior to the reservoir?

4 A. Yes.

5 Q. And you say you removed certain reservoirs,
6 for purposes of your analysis, from Mr. Book's list.

7 A. Yes.

8 Q. Okay. So you changed the depletion to zero;
9 is that right?

10 A. Yes.

11 Q. There are other reservoirs that you didn't
12 take off the list; right?

13 A. Right.

14 Q. Now, with regard to the post-1950 irrigated
15 acres, just in general, what were your criticisms of
16 Mr. Book's analysis, recognizing that each parcel may
17 have different concerns?

18 A. Well, in general, other than what I had
19 mentioned earlier about being an annual total or a
20 seasonal total, the analysis didn't include the source
21 of water, which in many cases was water produced from
22 coal bed instead of surface water, which would impact
23 the compact. Also water from storage that was used at
24 least during part of the season for these lands. So it
25 didn't have any consideration of the source of

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 irrigation.

2 Q. And what about -- did you find certain
3 parcels weren't irrigated?

4 A. I did find certain parcels that had no
5 evidence whatsoever that they were irrigated during
6 those years.

7 Q. And did you have criticisms regarding the
8 background ET rate that was applied?

9 A. Yes. I mentioned that briefly with regard to
10 the reservoirs. But when I first got -- well,
11 Mr. Book's original report did not include all the
12 polygons for the irrigated lands, so I couldn't tell
13 exactly where he was getting his irrigated acreage
14 from. That information was provided in his rebuttal
15 report.

16 At any rate, I don't know if I'm supposed to
17 talk about that again.

18 Q. Just keep going until someone makes you stop.

19 A. All right. At any rate, on -- it appears,
20 from as near as now that I can back-calculate that, he
21 used a background ET of about 12 inches for his
22 calculation, where he would subtract the ET from
23 METRIC -- he would subtract the background to get the
24 ET that was provided by irrigation water.

25 And that 12 inches is a pretty good basinwide

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 number. But for the reasons we discussed with these
2 reservoirs, there are a lot of these lands that are
3 down in valley bottoms. And I'll have some proof when
4 we go through the individual particles where the
5 background ET is much higher than 12 inches. It's
6 sometimes 18 to 20 inches.

7 And if you take a total ET of 30 inches and
8 then subtract a 12-inch background versus subtracting
9 an 18-inch background to get the amount of irrigation
10 water applied, you can see it's a big overestimate of
11 irrigation water applied when you use a small
12 background rate. And some of these parcels need to
13 have their background rate adjusted.

14 Q. Did you adjust the background rate for
15 individual parcels?

16 A. Well, it's not in my expert report 'cause,
17 like I said, I didn't have that information. I
18 mentioned it in here, that the background rates were
19 high in some of these areas, but I did not have all the
20 information to calculate it.

21 Q. All right. So we're not going to find a
22 different number generated from this concern you have
23 about a uniform background ET?

24 A. Right.

25 Q. Let's go ahead and look at -- well, first,

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 can you explain just the process that you went through
2 as you looked at each of the individual parcels
3 identified by Mr. Book in his report as being post-1950
4 irrigated acreage?

5 You got that from Mr. Book in his original
6 report. What do you with it?

7 A. Well, from his report, we had the permit
8 numbers that -- for the permits that were claimed to be
9 post-1950 rights impacting the State of Montana.

10 So the first thing we did was went to the
11 state engineer's office and got the maps that
12 accompanied those applications. And those, generally
13 speaking, were scanned images, JPEG files. And so the
14 first thing we had to do was take the permit boundary
15 and geo reference it onto an aerial photograph so we'd
16 get a good scale map of that and create a shape file of
17 that permit boundary.

18 That shape file then we could overlay on
19 aerial photographs. We overlaid it on ownership maps
20 to find out who owned the parcels today so we could
21 contact owners.

22 We also overlaid those boundaries on the
23 seasonal ET maps provided by Montana's expert witnesses
24 in their backup material and then used the zonal
25 statistics feature of GIS to calculate the average or

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 the total seasonal ET within those parcels for 2004 and
2 2006, the two years that he had METRIC data for.

3 Q. So you used Mr. Allen's METRIC data; that's
4 the expert you were talking about?

5 A. Yes.

6 Q. And then what did you do with them? You made
7 a picture and -- you made some METRIC pictures and then
8 what?

9 A. Well, the Attachment 7 in here shows -- for
10 each one of these parcels, it shows a July 2006 aerial
11 photograph. That was used to make a preliminary
12 determination of whether or not the parcel was
13 irrigated.

14 It's pretty apparent in July, in this part of
15 the world, if lands are being irrigated or not, because
16 everything else is pretty much burned up and dry by
17 July. So that's a good time of year to take an aerial
18 photograph.

19 So Attachment 7, for each one of these
20 parcels, that parcel boundary was placed on an aerial
21 photograph and also placed on the seasonal METRIC maps.
22 And it shows the area in blue that was outlined as
23 irrigated according to our determination and the
24 average -- or the inches of water that comprised the
25 seasonal ET for that parcel.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. All right. Let's look at Attachment 7 to
2 your report. And on the screen -- and whatever is
3 easier for you. I'm going to go in order so you can go
4 to Attachment 7 in your pile of paper or you can look
5 at it on the screen. But we're going to talk about
6 each parcel very briefly, one at a time.

7 So the very first parcel in Attachment 7 is
8 the early parcel; correct?

9 A. Yes.

10 Q. And the very first page of Attachment 7 is
11 the aerial photograph; is that right?

12 A. That's correct.

13 Q. Where did you get the aerial photograph from?

14 A. That's from the NAIP. It's a public domain
15 source of GIS data.

16 Q. And this -- are all these photographs from
17 July 22nd, 2006? That's the date down on the bottom.

18 A. Yes.

19 Q. And so tell us -- and maybe this isn't the
20 best example because there's no blue line, but tell us
21 what we see here in the Verley picture.

22 A. Well, it would be a good example because it's
23 a case where we both agreed this area is not irrigated.

24 The first thing you notice on this map is
25 that it's apparent what land is irrigated versus what

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 land is not. In the southern part of that photograph,
2 there's a circle. Obviously, from a center pivot
3 irrigation system, that shows what well-irrigated land
4 looked like on July 22nd, 2006, compared to this
5 parcel.

6 Q. So is the parcel outlined in black the parcel
7 identified in Mr. Book's original report as being
8 irrigated?

9 A. That -- I think so. Again, I didn't have
10 these parcels from his original report. This area
11 outlined in black is the place of use, POU, from the
12 permit for that particular permit.

13 Q. And based on the aerial photography, was this
14 particular parcel irrigated?

15 A. No, it was not irrigated. If you look at the
16 next page, the -- this is the 2004 seasonal METRIC map.
17 You can see that the area is pretty much
18 indistinguishable. There's nothing on there that
19 indicates irrigation.

20 The area is in the range that would indicate
21 total ET for that season was somewhere between 13.4 and
22 20 inches. So that's a background rate for that area,
23 but it does not indicate irrigation.

24 You can see, again, in the southern part
25 where that pivot was, the purple color showing that

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 that area was seeing the seasonal METRIC ET of over
2 30 inches.

3 Q. So in this particular picture, the METRIC
4 results are easy to interpret what is and isn't
5 irrigated?

6 A. Yes.

7 Q. Is that always the case? I mean, does METRIC
8 tell you on its own whether something is or isn't
9 irrigated?

10 A. No. METRIC just tells you the total, in this
11 case, seasonal evaporation on that parcel. It doesn't
12 talk about the source of the water.

13 Q. So is there some analytical exercise you have
14 to undertake when looking at the METRIC to ascertain
15 whether or not a particular parcel is irrigated or not?

16 A. Yes. That was, again, what I talked about
17 earlier. You subtract the background rate from the
18 value you get out of METRIC, and that's an estimate of
19 the amount of water of the consumptive use that was
20 supplied by irrigation water.

21 Q. And then do you have to look at other things
22 in order to determine whether or not it's irrigated as
23 well?

24 A. Well, in this particular case, it was pretty
25 obvious, and both sides agreed, there was no

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 irrigation. But in others, it's a good starting point,
2 then, to go talk to the hydrographers and see what
3 their knowledge was of the area and also the landowners
4 to see what their irrigation practice was during the
5 years in question.

6 Q. All right. Let's look at the next page in
7 Attachment 7. It's also related to the Verley parcel.

8 And that page is the 2006 METRIC results; is
9 that right?

10 A. Yes, it is. In that case, it's showing a
11 background value for pretty much the entire parcel or
12 for the entire parcel. And much of the background in
13 that year was between 0 and 11. A little bit, as you
14 get closer to the river, it is between 12 and 18
15 inches.

16 Q. Okay. So for 2004 and 2006, do you have an
17 opinion whether this parcel was irrigated during either
18 of those seasons?

19 A. My opinion is it was not.

20 Q. All right. And we're going to kind of go
21 through these, now that we understand that all the rest
22 of them are going to have this same basic organization,
23 right, an aerial photograph followed by the 2004 and
24 2006 METRIC for that parcel; right?

25 A. We followed this same procedure for each of

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 the parcels or permits identified in Mr. Book's report,
2 yes.

3 Q. All right. So we can go through them
4 relatively quickly, I hope.

5 What I have on the board -- what I have on
6 the poster board here is just a copy of Figure 1 from
7 Mr. Book's rebuttal report, 'Cause it identifies the
8 location of a number of these irrigation rights.

9 SPECIAL MASTER: If you just tilted it more
10 this way, then maybe counsel can see it and I'll still
11 be able to see it. And it might be too tilted. Kind
12 of a happy medium.

13 Can you see it from there?

14 THE WITNESS: I know what it says.

15 BY MR. KASTE:

16 Q. And I tell you, I picked this one because it
17 has names and not necessarily permit numbers, which are
18 confusing to me. I can understand sort of names.

19 And so this isn't going to represent
20 necessarily all of the parcels that you talk about in
21 Attachment 7, but they are sort of ones that remain in
22 Mr. Book's rebuttal report so we get a sense of where
23 they are located on the Tongue River; correct?

24 A. Okay.

25 Q. So let's look at the next parcel. And that's

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 the Barbula; right? Am I saying that right?

2 A. Barbula.

3 Q. Barbula. All right. And what are we looking
4 at on the aerial photo for Barbula, and what did you
5 conclude about this parcel?

6 A. The black outline shows the boundary of the
7 POU as obtained from the state engineer's office. The
8 photo shows pretty clear delineation between obviously
9 irrigated lands and lands that either are not irrigated
10 or very sparsely irrigated.

11 There are 2 acres -- there are two parcels
12 outlined in blue in there that are clearly irrigated.
13 Both those lands have very early day water rights from
14 Youngs Creek. They are the No. 1 and No. 2 priority
15 rights on Youngs Creek. Youngs Creek is a lit bit of
16 an unusual plains area stream here in that it does have
17 some small perennial flow.

18 I know that for a fact because we reclaimed a
19 mine property upstream on Little Youngs Creek that has
20 a reclaimed alluvial valley floor on it with a small
21 perennial flow.

22 In my report, I concluded that the rest of
23 that parcel did not appear irrigated.

24 Q. So for the Barbula parcel, in your opinion,
25 should the amount of irrigation be reduced to 0?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Yes, on the grounds that the two areas I
2 identified as being irrigated have early day water
3 rights, pre-'50 water rights.

4 Q. So I'm going to cross this one off.

5 SPECIAL MASTER: Can I ask along the way just
6 quick clarifying questions so it will speed up my
7 questions later? It probably would make more sense.

8 MR. KASTE: Absolutely.

9 SPECIAL MASTER: Could you indicate on the
10 aerial photo that you have right now where Youngs Creek
11 is?

12 THE WITNESS: Yes. Do I just draw on the
13 screen?

14 SPECIAL MASTER: You can draw on it with your
15 finger, yeah. Okay. It's that waterway. Okay. Thank
16 you.

17 MR. KASTE: He got to draw on the screen. He
18 was hoping he'd get to draw on the screen.

19 SPECIAL MASTER: Actually, I think he did the
20 best of any witness so far.

21 BY MR. KASTE:

22 Q. And when we talk about the number that
23 Mr. Book ascribed to various parcels -- and some of
24 which in your analysis really you think they ought to
25 be 0 -- what number are we talking? Are we talking

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 about a volume of water, a flow rate? What is that
2 number that's sort of at issue?

3 A. I'm not sure I understand your question.

4 Q. Well, that's probably 'cause it's a terrible
5 question.

6 The numbers we're talking about are revised
7 net ET; is that right?

8 A. That's right.

9 Q. All right. And that's a number reported in
10 acre-feet; correct?

11 A. Yes.

12 Q. So when you and I talk about changing a
13 particular number based on your investigation from
14 Mr. Book's report, we're talking about changing the
15 revised net ET in acre-feet; correct?

16 A. For the season, yes.

17 Q. For the season, okay.

18 And to be fair, for purposes of your
19 analysis, did you take issue with or alter in any way
20 the methodology that Mr. Book utilized to determine
21 revised net ET?

22 A. Not with the methodology, no.

23 Q. All right. And we're going to talk about the
24 various things that he did find that you did think
25 result in a change. But ultimately, you used

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 essentially the same method to reach that number;
2 right?

3 A. Right.

4 Q. All right. So in your opinion, Barbula
5 doesn't belong on this list of post-1950 depletions;
6 right?

7 A. Right.

8 Q. All right. And I don't think it's necessary
9 for each of these to go through the METRIC results that
10 follow the aerial photography. But if you want to see
11 one in particular, let me know. And if the one for
12 METRIC is important for your analysis, please let me
13 know.

14 So what I want to do is flip to the next
15 aerial photograph, which is identified as the Stroup
16 and Johnson parcel; right?

17 A. Yes.

18 Q. And that one is located near the state line
19 near the mouth -- on the main stem of the Tongue?

20 A. Yes.

21 Q. And what are we looking at here, and what did
22 you conclude about these parcels?

23 A. There are two main areas here. The one --
24 the large area to the right is the Johnson permit.
25 Concluded that there's no evidence of irrigation on

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 that parcel.

2 The other -- there's two parcels there, one
3 area outlined in blue that does clearly show evidence
4 of irrigation in July of 2006. It's about 3.6 acres.
5 The source of that irrigation is a little spring called
6 Ash Springs. I agreed that that was irrigated under a
7 first right, and that stayed in the study.

8 Q. Did you find that the amount of irrigated
9 acreage was the same as Mr. Book or less?

10 A. I think it was a little less than what he had
11 shown. Again, I didn't have his parcels in his
12 verdict, so I couldn't make a direct comparison.

13 Q. So if we look at the area outlined in blue,
14 that's the area that you determined received some
15 irrigation in 2006?

16 A. Yes.

17 Q. And the water right parcel itself is outlined
18 in black?

19 A. Yes.

20 Q. So one of the parcels you changed to 0; is
21 that right?

22 A. The Johnson -- the big one on the right, yes.

23 Q. And then for the Stroup, did you change the
24 revised net ET to reflect the difference in acreage?

25 A. I don't remember exactly. It seems like it

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 was close enough that I didn't make that change. Could
2 have reduced it a little.

3 Q. So we're going to cross off most of Stroup,
4 and we'll see it when we get to the table how little is
5 left.

6 Let's go ahead and look at the next parcel,
7 the DeLapp parcels. And we heard from Ms. Ankney about
8 these parcels.

9 What did you conclude about the DeLapp
10 parcels?

11 A. Well, once again, the black line outlines the
12 POU for the post-'50 water right. The part in the
13 lower left of the picture is clearly not irrigated.

14 In the northern part, on that northern pivot,
15 that area was irrigated at the time with a center pivot
16 system. However, you'll notice with the red hatching,
17 that area was irrigated strictly with water produced
18 from the coal bed during those years, 2004 and 2006.

19 Because of that, and it wasn't using water
20 from the Tongue River, I took the irrigated acreage to
21 0 in the modification of Mr. Book's table.

22 Q. So this would be an example of the source of
23 the water making a difference; right?

24 A. Yes.

25 Q. All right. So for the DeLapp parcel, you

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 took that one to 0?

2 A. Yes.

3 Q. Cross that one off.

4 Let's look at the next parcel, the Tongue
5 River School District. What are we looking at here,
6 and what did you conclude about that parcel?

7 A. This is a football practice field next to the
8 school that is irrigated, was irrigated at the time of
9 this photo in July 2006. The acreage is outlined in
10 blue. It's 8 acres.

11 There's a couple things, I think, that are
12 significant to talk about with respect to this parcel.

13 If you can look at the next page, this is a
14 good example where the background is obviously much
15 higher than the basinwide average of 12 inches. The
16 irrigated parcel is still outlined here in blue, but
17 the area to the north and west, which is along the
18 flood plain of the Tongue, River has METRIC ET values
19 over 30 inches, yet there's no irrigation taking place
20 on that area. So that's a good example of an area
21 where, say, a reservoir constructed there would have
22 had no net increase in consumptive use.

23 And the other significant factor about this
24 parcel is that, although at this time it was irrigated
25 under a post-'50 water right out of the South Side

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Ditch, since 2010 it's been irrigated under a
2 pre-Compact water right that was donated by the rancher
3 to the west there. So it's no longer a post-'50 water
4 right.

5 Q. So did the school district have to go through
6 a change-of-use process in order to move a pre-'50
7 right to those lands?

8 A. Yes. It -- actually, my firm did the change
9 of use. This rancher was making some other changes in
10 his water rights, and he asked us also to make that
11 change for the school district so they would have a
12 pre-'50 water right.

13 Q. So for this particular parcel for 2004 and
14 2006, did you conclude that it had been irrigated at
15 some point during those seasons?

16 A. Yes, I did.

17 Q. All right. But it ain't going to happen
18 again; right?

19 A. Not under a post-'50 permit.

20 Q. All right. Now, this is a question I have,
21 and maybe it's one the Special Master has. I just
22 think it's weird. This is a football field and not an
23 agricultural field where they are growing hay.

24 Is the amount of water that's applied to
25 Kentucky Blue Grass different than the amount of water

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 applied to an alfalfa field?

2 A. Probably not. Most of these consumptive-use
3 studies will show the improved pasture or grass/hay mix
4 pasture irrigated will use about the same amount of
5 water as a lawn.

6 Q. I think that's fascinating, and I don't
7 understand how it could be 'cause I don't water my lawn
8 that much, or at least I don't think I do.

9 Let's look at the next parcel. And this is
10 the White parcel, and that's located on the South Side
11 Ditch; is that right?

12 A. Yes, it is.

13 Q. So it's on the main stem of the Tongue
14 between Dayton and Rancheater?

15 A. Yes.

16 Q. All right. What are we looking at here, and
17 what did you conclude about this parcel?

18 A. What Mr. White -- and, again, the black areas
19 are the post-'50 permit POU. The blue areas are the
20 post-'50 POU that we determined to be irrigated.

21 In 2003, Mr. White installed these center
22 pivot systems and began irrigating some of these lands,
23 some of which are situated above the South Side Ditch.
24 So he filed for a permit to include those as a post-'50
25 permit above the South Side Ditch, and that's what's

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 shown as being irrigated here.

2 Q. So what did you conclude about these
3 particular parcels with regard to Mr. Book's analysis
4 and the number he came to?

5 A. Well, we agreed that the areas irrigated,
6 there are some minor differences because two different
7 people geo referencing maybe sometimes a crudely drawn
8 permit map. And also I missed about 3 acres when I
9 did. I assumed that everything was irrigated outside
10 this post-'50 POU was a pre-'50 permit. And there's
11 actually about 3 acres over in here that I missed that
12 I added to my total, so bringing the total to about
13 70 acres of irrigation.

14 There's one other thing we might mention
15 about this permit. What Mr. Book did in his report was
16 he took the 2004 and the 2006 water use from METRIC,
17 which those are the only years you have METRIC, and
18 took the average and, in the absence of any other
19 information, used that average for 2001 and 2002.
20 Because in his table he provided the water use for all
21 four of those years.

22 In this case, that would overestimate the
23 water use because this system didn't exist until 2003.
24 So you would add in 0 for 2001 and 2002 for this
25 parcel.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. So Mr. Book used averages of '04 and '06,
2 what he concluded about '04 and '06, and applied that
3 to 2001 and 2002?

4 A. Yes.

5 Q. And without doing this kind of particularized
6 analysis for either of those two years?

7 A. Yes.

8 Q. Is that likely to give you the right answer?

9 A. Well, these lands above the ditch weren't
10 irrigated until 2003 when those pivots went in.

11 Q. So can I ask, is it likely to give you the
12 right answer? I got no?

13 A. No.

14 Q. There you go. If I lob up a softball, you
15 got to hit it.

16 So Mr. Book concluded, with regard to the
17 White parcels identified on this map, that the amount
18 of revised net ET was 82 acre-feet.

19 And you changed that to 70, did you say?

20 A. 70 acres.

21 Q. 70 acres of irrigated land?

22 A. Yes.

23 Q. And that had an effect on the revised ET?

24 A. Yes.

25 Q. So there was a reduction from his number in

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 your analysis?

2 A. Yes.

3 Q. So White is still on the board but a little
4 bit smaller number; correct?

5 A. Right. I should also add one other concept
6 here. In this particular parcel, Mr. White took a
7 different approach in his practice. He took a post-'50
8 water right to cover lands that would be irrigated when
9 he made the move from flood irrigation to sprinkler
10 irrigation.

11 The more common practice and the one we've
12 used in our practice would be to redescribe his pre-'50
13 rights to fit the new configuration of the sprinkler
14 system.

15 And I haven't done that study. But had this
16 been done here, in most cases when you redescribe the
17 lands, there's no net change in consumptive use when
18 you change from -- when you change the method of
19 irrigation. If you redescribe the lands, take some of
20 the other lands out of production and put the pre-'50
21 right on the new lands.

22 Q. So Mr. White did a bad job on his paperwork?

23 A. Well, he did it different.

24 Q. He could fix that if he wanted to; right?

25 A. Yes.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. And then these lands would be irrigated on
2 pre-1950 rights that are already existing?

3 A. Yes.

4 Q. Let's look at the next parcel, which is
5 Perkins.

6 Now, Mr. Perkins, that parcel isn't on
7 Figure 1 from Mr. Book's rebuttal report 'cause it's --
8 as he testified, that's one he ultimately took off.
9 But what did you conclude about the Perkins parcel?

10 A. Concluded it's not irrigated.

11 Q. And that's pretty obvious from the picture?

12 A. Yes.

13 Q. Let's look at the next one, and that's the
14 Buyok and Long parcels.

15 What are we looking at in this picture, and
16 what did you conclude about these parcels?

17 A. This is another good example of how you need
18 to consider background ET when calculating the amount
19 of ET that comes from irrigation water. The two
20 parcels on the right are the Long parcels, and we both
21 agree that those parcels were not irrigated during the
22 years in question. That's based on this evidence, the
23 METRIC evidence, the photographic evidence, and also
24 conversations with the person who takes care of that
25 property for the landowner.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. So those parcels you're talking about are to
2 the eastern two parcels?

3 A. Yes.

4 Q. Okay. Those were not irrigated?

5 A. Not irrigated.

6 Q. All right. Go ahead and keep going.

7 A. The other two parcels -- I'm not talking
8 about the one way on the left side. That's a different
9 area. But these are the Buyok parcels.

10 Both sides agreed that this was irrigated.
11 We're very close on the amount of acreage that's
12 irrigated. We're very close to the amount of ET on
13 those irrigated parcels from the METRIC. Where we
14 differ would be in the amount of that ET that's
15 comprised of irrigation water.

16 If you go back to the Long parcel, and if you
17 look at the next page -- next two pages -- no, go the
18 other direction. There.

19 Q. The 2004 METRIC?

20 A. 2004 METRIC and the next page, 2006 METRIC.
21 Both of those show evidence of no irrigation. They are
22 basically background. But if you do the zonal
23 statistics on that, you'll see that the background in
24 those areas, because of its situation on the Tongue
25 River floodplain, is about between 18 and 20 inches for

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 the two years in question. And if you use that
2 background and subtract from the evaporation on the
3 Buyok parcels, you reduce the amount of irrigation
4 water flood by about 50 percent.

5 Q. Okay. Now, the numbers in your report don't
6 reflect that 50 percent reduction?

7 A. I did not make that change for that report.

8 Q. First of all, you took off the Long parcel
9 and made it 0; is that correct?

10 A. I did.

11 Q. And for the Buyok parcel, you reduced the
12 revised net ET a little bit. Can you say why you did
13 that?

14 A. I think the reason was I didn't have his
15 exact parcel, so I used the numbers that we came up
16 with in this report.

17 Q. So it's a difference in acreage that's
18 reflected in your analysis?

19 A. Well, when you get a different acreage, then
20 I'd get a different ET, too, because I put that polygon
21 over the METRIC maps.

22 Q. And we'll look at those specific numbers when
23 we look at the chart.

24 The Buyok parcel is located about halfway
25 between Ranchester and the state line on the main stem?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. And you took the Long one off and Buyok is a
3 little bit reduced; right?

4 A. Yes.

5 Q. I have three that are still on the list.

6 SPECIAL MASTER: Mr. Kaste, why don't we take
7 the second morning break right now, and we'll come back
8 at ten after the hour.

9 MR. KASTE: Okay.

10 (Recess taken 10:59 to 11:12
11 a.m., December 2, 2013)

12 SPECIAL MASTER: Okay. Everyone can be
13 seated.

14 So before we continue with the direct
15 examination, I just wanted to let counsel for both
16 sides know that I was able to consult with several
17 judges quickly, and they confirmed for me my original
18 ruling, which is not to permit the response to
19 Mr. Book's rebuttal testimony on the questions of the
20 return flow.

21 And to make it quite clear, this is a little
22 bit of an unusual proceeding because of the fact that
23 the rebuttal testimony by Montana was presented at the
24 same time as their direct expert testimony. I think it
25 made sense in that particular context because, as we

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 know, Mr. Book actually -- for example, actually
2 changed some of his opinions based on what Wyoming's
3 experts were prepared to testify. And rather than
4 having him testify twice, it made sense to condense
5 that down.

6 But the key issue here is what was actually
7 revealed as the specific elements of testimony in the
8 expert reports themselves. And so this would
9 effectively be surrebuttal and, therefore, I'll not
10 permit it.

11 MR. KASTE: Very good.

12 SPECIAL MASTER: Thank you.

13 MR. KASTE: Nonsense, but very good. I've
14 never been in a case in my entire life where there have
15 been no rebuttal reports. But we took them out of
16 order, and we get to stick to that.

17 SPECIAL MASTER: Okay. If you want to sort
18 of -- I think we'll remember exactly where we were on
19 the map, but if you want to remind us where the Xs and
20 the small circles are, that would be fine.

21 MR. KASTE: I can do that as a wrap-up at the
22 end.

23 SPECIAL MASTER: Okay.

24 MR. KASTE: All I'm doing is crossing off the
25 parcels that Mr. Fritz has identified ought to be 0 and

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 circling the parcels that remain, which he did not
2 determine to be 0, to see what's really left in issue.

3 SPECIAL MASTER: Are you putting smaller
4 circles where he reduces things?

5 MR. KASTE: Pardon me?

6 SPECIAL MASTER: Are you putting smaller
7 circles where he reduces things?

8 MR. KASTE: No. I'm just leaving them on
9 there. And we'll look at Table 11A and 11B later to
10 get the specific number that ultimately Mr. Fritz
11 concluded.

12 SPECIAL MASTER: Okay.

13 BY MR. KASTE:

14 Q. So the next parcel on your list was the
15 Wilson parcel, and it's not on Figure 1 of Mr. Book's
16 rebuttal report.

17 What did you conclude about the Wilson
18 parcel?

19 A. Concluded it's not irrigated, wasn't
20 irrigated at the time of this.

21 Q. Pretty obvious from the aerial photograph?

22 A. Yes.

23 Q. Let's look at the next one. And that's the
24 Town of Dayton.

25 What did you -- what is this parcel, and what

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 did you conclude about it?

2 A. This is a little ballpark within the Town of
3 Dayton adjacent to the Tongue River. The blue area
4 does show evidence of irrigation, and both sides agreed
5 to that.

6 Q. Did you use a different number of acres than
7 Mr. Book?

8 A. I think it was close enough within rounding
9 that I didn't change the acreage.

10 Q. If I told you that you reduced it from 5 to
11 3, would you agree with that?

12 A. I misspoke there. Yes.

13 Q. And that's fair. We're not dealing with an
14 enormous variation in some of these parcels; right?

15 A. That's right. If you look at the METRIC map,
16 I didn't back out any higher background ET. If you
17 look at the METRIC maps, next two pages, you can see,
18 because it's on the river valley, that it's in an area
19 of very high background ET also.

20 Q. But, again, that specific revision to adjust
21 for specific crackdown, including individual locations,
22 you didn't do that?

23 A. I did not.

24 Q. Let's look at the next parcel, and that's the
25 Addleman parcel.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 What did you conclude about that parcel?

2 A. I concluded, based on this photograph and the
3 METRIC figures, that most of the area was not
4 irrigated. However, it looked like, from evidence that
5 I had at the time, the little area, 3.8 acres on the
6 east side of the property, showed some evidence of
7 irrigation.

8 Again, it's a high background area and would
9 be a minimum number. But they did -- I did think it
10 looked like it might -- you can even see shadows of a
11 sprinkler out there, it looks like, on 2006, some
12 circle. So I concluded it was sporadically irrigated.

13 Q. Sporadically irrigated. And you reduced the
14 size of the irrigated portion of the parcel to reflect
15 the area enclosed in blue?

16 A. Yes.

17 Q. So Addleman is on the list.

18 Mr. Brown pointed out something on the break.
19 You correct me if there's any confusion.

20 When you talk about "background ET," how are
21 you using that word or that phrase?

22 A. My understanding of METRIC is it measures
23 total water consumption. And if there is no irrigation
24 in an area, the background would equal that total.

25 My understanding of background is whatever --

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 it's basically the difference between what METRIC
2 measures and what is applied as irrigation water. Or
3 actually what's applied as irrigation water is the
4 difference between what METRIC measures and what would
5 be there without irrigation.

6 Q. So when you talk about background ET, does it
7 include both precipitation and subirrigation?

8 A. Yes.

9 Q. And so then the difference between those
10 other sources and the amount of irrigation water get
11 you to the total ET reflected in METRIC?

12 A. Yes.

13 Q. All right. Let's go to the next parcel, the
14 McTiernan parcels.

15 And can you tell us what we're looking at
16 there and what you concluded about those?

17 To orient everybody, the McTiernan parcels
18 are actually farther upstream and on Smith Creek;
19 right?

20 A. That's right. And if you read Section 3 of
21 this report, you'll find several references to Smith
22 Creek. It's almost always the first stream in the
23 basin to go on regulation. It's a very small stream.
24 It's the only one I'm familiar with that when they
25 regulate they measure the flows in gallons per minute

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 rather than cubic feet per second.

2 Because it is on regulation early, I have no
3 doubt that the Owen Ditch was the primary source for
4 most of these that was regulated off. So I don't think
5 any of these were irrigated other than a small piece
6 around the house, which is, according to the water
7 commissioner, when they irrigate that they release
8 50 gallons per minute from the Bear Claw Reservoir into
9 Smith Creek to make up for that yard irrigation.

10 Q. So did you change the number in Mr. Book's
11 report for all these parcels to 0?

12 A. Yes.

13 Q. Let's look at the next one, the Taylor
14 property. That's not on Figure 1.

15 What did you conclude about the Taylor
16 property?

17 A. Not irrigated.

18 Q. And another one of these great pictures where
19 there's not really much dispute; right?

20 A. Right.

21 Q. Let's look at the next one, the Lomax
22 property. Not irrigated?

23 A. That's my conclusion, not irrigated.

24 Q. And I don't believe that's on Figure 1
25 either.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Let's look at Vannoy. I don't believe that's
2 on Figure 1 either.

3 What did you conclude about the Vannoy
4 parcel?

5 A. Concluded it's not irrigated. The source of
6 supply is a very small tributary called Riverside Mine
7 Draw, which I don't think was running at all during
8 2004 and '6. And I concluded it's not irrigated.

9 Q. All right. Let's look at the next one, the
10 Cossitt property.

11 Am I saying that right?

12 A. Yes.

13 Q. What did you conclude here?

14 A. I concluded there are 4 acres of irrigation
15 in that parcel.

16 Q. And that particular parcel is located near
17 the Town of Ranchester?

18 A. Yes.

19 Q. That would be No. 6.

20 Let's look at the next one. I don't know how
21 to say that word.

22 A. Schreibeis.

23 Q. Schreibeis. What did you conclude about
24 those parcels?

25 A. Not irrigated.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. Let's go to the next one, Koltiska.

2 Now, the Koltiska parcel is located on
3 Wildcat Creek; correct?

4 A. Yes.

5 Q. And that flows into Prairie Dog Creek?

6 A. Yes.

7 Q. And the one we're looking at currently on the
8 screen is the larger of the two parcels identified on
9 Figure 1; is that right?

10 A. Yes.

11 Q. The big one's identified as Koltiska/KN Pump,
12 and then the smaller parcel located upstream on Wildcat
13 Creek is identified just as Koltiska; right?

14 A. Yes.

15 Q. So what did you conclude about this Koltiska
16 parcel or parcels? There's quite a few of them.

17 A. I concluded there's a small amount of
18 irrigation in 2006 that was mapped in blue on this
19 aerial photograph. After talking with the landowner,
20 he said that was irrigated with CBM water in 2006. So
21 I took the acreage for this table to 0 for that parcel.

22 Q. Do you have Attachment 6 in your hand?

23 A. Yes.

24 Q. And Attachment 6 is your revised Tables 11A
25 and 11B from Mr. Book's report; right?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Oh.

2 Q. What I'm going to direct you to is the
3 footnote related to the Koltiska pump, which indicates
4 that that source of water was different from CBM;
5 right?

6 A. It says here Kearney Lake Reservoir.

7 Q. If there had been CBM, would that be in red
8 hashes on your map?

9 A. It should be, yes.

10 Q. So explain, again, having refreshed your
11 memory, what you concluded about these particular
12 parcels.

13 A. Concluded it was irrigated in 2006 from
14 Kearney Lake Reservoir water.

15 Q. How did you reach that conclusion?

16 A. That was from discussions with the owner.
17 And also, the annual hydrographers' reports talk about
18 who called for storage, or by name, from the respective
19 reservoirs.

20 Q. To your knowledge, does Wildcat Creek run
21 year-round?

22 A. No. Wildcat Creek is a plains area stream
23 with a small drainage area and has no perennial flow.

24 Q. So where does the water come from that gets
25 into Wildcat Creek?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. There could be some water brought into there
2 from Piney Creek via the Piney Creek diversion and
3 Kearney Lake Reservoir water.

4 Q. So the water that comes into Wildcat Creek
5 comes from, ultimately, the Powder River?

6 A. Yes.

7 Q. So what did you do with regard to these
8 Koltiska parcels? Did you change them to 0?

9 A. For 2004, 0, and I think we have -- no, I
10 changed it to 0 because of the alternate source of
11 supply, yes.

12 Q. Okay. Go to the next one. This one is
13 called Koltiska KN Pump. So you and Mr. Book have a
14 labeling disagreement here. His Figure 1 and your
15 pictures are different with regard to labeling the KN
16 Pump.

17 Is this the Koltiska KN Pump parcel?

18 A. I believe it is.

19 Q. All right. What did you conclude about this
20 particular parcel?

21 A. Concluded that there is some irrigation
22 within the post-'50 parcel. But it's Kearney Lake
23 Reservoir water.

24 Q. All right. And did you change that one to 0?

25 A. Yes.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. Okay. Let's look at the next one, Pilch.

2 Can you tell us what we're looking at here
3 and what you concluded about the Pilch parcels?

4 A. Yes. The post-'50 parcels are outlined in
5 black. The area within those post-'50 parcels that
6 are irrigated are outlined in blue. And if you'll
7 notice, all the area within those post-'50 parcels
8 that's outlined in blue is also crosshatched with red,
9 indicating that during those years it was irrigated
10 with coal bed water. That was verified during my
11 conversations with the landowner as well.

12 Q. All right. And so what did you conclude with
13 regard to the number that's appropriate for the Pilch
14 parcel?

15 A. I assumed that number was 0.

16 Q. All right. Pilch is also on Prairie Dog
17 Creek; right?

18 A. Yes.

19 Q. Let's look at the next one, Rose parcels.
20 What are we looking at here, and what did you conclude
21 about those?

22 A. I concluded there were irrigated lands.
23 Those are shown in blue within the post-'50 irrigated
24 lands. I discussed this with the Petersons,
25 Mrs. Peterson, who is the landowner. And also looked

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 at the records. And they have access to Kearney Lake
2 water, and so because of that source, I took this one
3 to 0.

4 Q. All right. Let's look at the next one,
5 Trembath parcel.

6 What are we looking at here, and what did you
7 conclude about this parcel?

8 A. I concluded from the photographic evidence
9 that there are about 14, I think it says, acres of
10 irrigated land within the post-'50 parcel.

11 Q. Trembath stays on the list?

12 A. Yes.

13 Q. But with a slight modification to the number
14 of acres?

15 A. Right.

16 Q. All right. I think that might be the last
17 one.

18 All right. So those particular parcels that
19 we've talked about, were those all the ones that
20 Mr. Book identified as receiving -- or irrigating under
21 post-1950 rights in 2004 and 2006?

22 A. Yes.

23 Q. We have, in Attachment 6 to your report, the
24 changes that you discuss reflected in a modification to
25 Mr. Book's Table 11A and 11B; correct?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. Can you tell us what these tables from your
3 report show, and what you ultimately conclude about the
4 revised net ET at issue as compared to Mr. Book?

5 A. Yes. This table is taken from the Book
6 report. And the shaded areas are the changes that I
7 made following the logic of the discussion we just
8 described.

9 And so, for example, for irrigated acreage
10 from the Tongue River, reduced what he had as 248 acres
11 down to 99 and the revised net ET from -- from 222
12 acre-feet in '04 to 94 acre-feet in '04 and from 339
13 acre-feet in '06 to 180 acre-feet in '06. That's on
14 the main stem of the Tongue.

15 Q. So that's in the first block of data on this
16 exhibit where it says "Tongue River." And then we're
17 looking down at the row that says "Total main stem."
18 Those are where you got those numbers?

19 A. Yes.

20 Q. All right. And then what did you conclude
21 about the tributaries in Prairie Dog Creek?

22 A. On the Tongue River tributaries, the total
23 was changed from 379 to 107 acres revised irrigated
24 area. And the total consumptive use was changed. It
25 was -- did I say 139 to 8? -- and the revised

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 consumptive use to 8 acre-feet in 2004 and 9 acre-feet
2 in 2006.

3 Q. And that's, again, on the row marked "Total
4 tributaries"?

5 A. Yes.

6 Q. All right. And then you have a line for Wolf
7 Creek. And we didn't look at that parcel, did we?

8 A. No, we didn't.

9 Q. What's that about?

10 A. That was a parcel that had been incorrectly
11 included. That's actually a pre-1900 water right that
12 shouldn't have been in this table.

13 Q. All right. Let's look at the Prairie Dog
14 Creek again. Is that under the row just identified
15 here as "Total"?

16 A. No. Prairie Dog Creek is over on the next
17 table in Table 11B.

18 Q. Right. I already turned my page.

19 A. Oh, sorry.

20 Okay. Here, because most lands on Prairie
21 Dog Creek identified in here were irrigated with other
22 sources, the total went from 362 acres to 16. And the
23 net ET in acre-feet went from 16 -- or from 368 in 2004
24 to 16 and from 489 acre-feet in '06 to 13.

25 Q. All right. And now, I assume these numbers

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 are going to make their way into a revised Table 12;
2 correct?

3 A. Yes.

4 Q. And that could be found at Attachment 1 to
5 your report; correct?

6 A. Yes.

7 Q. Did you find it?

8 A. Yes.

9 Q. And it's my fault for giving you a loose copy
10 of your report. It makes it hard to keep track of
11 everything.

12 Can you walk us through the information
13 conveyed in Table 12? Just start at the top and work
14 your way down and tell us what revisions you're making
15 and why.

16 A. Okay. Again, this table was taken directly
17 from the Book report, and the revisions that I made are
18 shown by shading.

19 The first block of data at the top of the
20 table is labeled "Compact Reservoirs." And it showed
21 that the net impact at the state line, according to the
22 Book report, averaged 804 acre-feet for the four years
23 in question. And by adding Kearney Lake effects --
24 that was the change made to that group of data -- it
25 reduced it to 229 acre-feet per year for those four

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 years.

2 Q. Now, this calculation to determine the net
3 effect at the state line, did you just use Mr. Book's
4 methodology to calculate that net effect?

5 A. Yes, I did.

6 Q. All right. And so we see, is the last column
7 under "compact reservoirs" the effects of including the
8 import returns from Kearney Lake?

9 A. Yes.

10 Q. And that ultimately reduces depletions at the
11 state line?

12 A. Yes, it does.

13 Q. All right. Let's talk, then, about the next
14 block of data.

15 A. The next one is the Wagner, Fivemile, and
16 Padlock Recovery Reservoirs. The change I made there
17 was just to take out the 127 acre-feet of pre-Compact
18 storage in Wagner Reservoir. That's why the post-'50
19 column is adjusted.

20 Then using his methodology, I revised the
21 return flow because of the reduced amount of post-'50
22 storage and also the net effect at the state line.
23 Those are the columns that are shaded in that table, my
24 revisions.

25 Q. All right. And the next block related to

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 reservoir evaporation?

2 A. This is the post-1950 reservoir evaporation
3 for reservoirs not discussed above. And, again, I
4 reduced the number of reservoirs and the water surface
5 area due to the reasons we talked about above, that
6 some of these reservoirs were constructed in high
7 background areas or in the mountains. And my revisions
8 are shown, again, in the shaded columns on that table.

9 Q. And we didn't take the time to go through
10 this in any detail. But the specific revisions that
11 you made to these post-1950 reservoirs with regard to
12 evaporation, if the Special Master is interested, he
13 can find that table in your report in the attachments;
14 right?

15 A. Yes, it's in the report.

16 Q. I think it's Attachment 5. Is that right?

17 A. Yes.

18 Q. All right. That's one of those things I
19 didn't think it was necessary to go into too much
20 detail on.

21 Let's look at the next block with regard to
22 post-1950 irrigated acreage. What is your table
23 showing there?

24 A. That is showing the adjustments that we made
25 to the parcels that we just went through. Again, my

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 changes to Book's original table are shown by shading.

2 And those are taken -- there's a reduction in
3 depletion, and then that's carried down, using his
4 methodology, to what the depletion effect would be down
5 at the state line in the most right-hand column.

6 Q. All right. And so if my labeling went right
7 on Figure 1, I had six parcels that remained on your
8 list that weren't zeroed out. You can look at
9 Table 11A and 11B and make sure.

10 A. Yep, I think that's right.

11 Q. Six?

12 A. Six.

13 Q. You did some work figuring out the pre- and
14 post-'50 rights and how many there were. Give us a
15 sense of how many water rights in total there are on
16 the Tongue River system.

17 A. Well, there's -- in the Tongue River Basin
18 Wyoming, there's about between 60- and 70,000 irrigated
19 acres. The consumptive use of water, according to the
20 basin plan on that area, is about -- depending on
21 whether it's a wet, dry, or normal year, is about 60-
22 to 70,000 acre-feet per year.

23 Q. So in -- of that -- of those acres, there are
24 water rights in number like in what? Hundreds?
25 Thousands?

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 A. Acres of water rights?

2 Q. No, just water rights in general. Just that
3 one piece of paper that says you've got a water right.

4 A. Okay. I'm sorry. I didn't understand your
5 question.

6 Q. Well, we've got six water rights or six
7 parcels that have water rights on them identified here.
8 And I just want to give the Special Master a sense of,
9 are there thousands of water rights on the Tongue River
10 system?

11 A. Yes, thousands.

12 Q. Okay. But we're down to six; right?

13 A. Right.

14 Q. Now, let's talk about the final block of
15 information in Table 12. What have we got there?

16 A. The final block is a summary of all the
17 preceding blocks in terms of depletions in the four
18 years in question, 2001, '2, '4, and '6. The average
19 in the original report was about 3970 -- or I'm sorry.
20 About -- my average is about 1398. In the Book
21 original report, it was about 2800 acre-feet per year.

22 Q. So the last portion of that table accumulates
23 all those numbers and gives you total depletions in
24 exactly the same way Mr. Book did it; correct?

25 A. Yes.

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. And did you pick 2001, 2002, 2004, and 2006
2 simply because they were in Mr. Book's report?

3 A. Yes.

4 Q. All right. And so the average depletions, is
5 it fair to say, using essentially Mr. Book's
6 methodology with your additional information, you have
7 calculated at 1398 acre-feet?

8 A. Yes.

9 Q. Can you give us a sense, with regard to the
10 size of these depletions, how that compares to the
11 amount of water that was bypassed by the Tongue River
12 Reservoir in the winter months during these years?

13 A. I think it states that, someplace in this
14 report, the bypass during those years was about 50,000
15 acre-feet per year compared to between 1300 and 2800
16 acre-feet per year, depending on whose estimate we use
17 here.

18 Q. I think it says that on page 63 of your
19 report.

20 A. Thank you.

21 Q. So that's what, in percentage terms? Say
22 2000 acre-feet is a percentage of 50,000?

23 A. Would be less than 4 percent.

24 Q. Now, on page 4 of your report, you say that
25 "Wyoming water rights with priority dates after

Direct Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 January 1, 1950" -- in parentheses it then says "(the
2 effective date of the compact)" -- have little
3 potential to impact water uses in the state of Montana
4 and were not a significant cause of any water shortages
5 seen by Montana in 2004 and 2006."

6 Did I state that opinion correctly?

7 A. Yes.

8 Q. Can you explain why you have that opinion?

9 A. Well, again, it's pretty much wrapped up in
10 that table. These impacts, even before adjustment, are
11 a very small part of the water use in either state or
12 both states or of the total amount of water available.

13 I think the Tongue River at the state line
14 runs an average of somewhere around 300,000 acre-feet
15 per year, and we're talking here about annual totals of
16 between 1300 and 2800 acre-feet per year.

17 Q. So in the grand scheme of things, no matter
18 which set of numbers you use, it doesn't really matter?

19 A. That's my opinion.

20 MR. KASTE: Thank you. I have no further
21 questions.

22 SPECIAL MASTER: Thank you, Mr. Kaste.

23 Mr. Wechsler, it's a quarter to 12:00. I'm
24 perfectly happy for you to start now and we can take
25 sort of a normal lunch break, or we can take an early

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 lunch break. It's your choice.

2 MR. WECHSLER: I guess it's easier to get in
3 the flow and continue, so I would prefer taking the
4 break now. That way we can get in that direction.

5 SPECIAL MASTER: Okay. Why don't we do that,
6 then. Let's take a break now. It's quarter to 12:00.
7 So we'll return at quarter to 1:00 this afternoon.

8 (Recess taken 11:45 to 12:48
9 p.m., December 2, 2013)

10 SPECIAL MASTER: Okay. Everyone can have a
11 seat.

12 Come on up and resume your testimony.

13 Okay. Mr. Wechsler.

14 MR. WECHSLER: Thank you, Your Honor.

15 CROSS-EXAMINATION

16 BY MR. WECHSLER:

17 Q. Good afternoon, Mr. Fritz.

18 A. Good afternoon.

19 Q. A couple of questions about your background.
20 Many experts in this case have testified in
21 original jurisdiction proceedings. Have you ever
22 testified in an original jurisdictional proceeding?

23 A. I'm not sure what that is.

24 Q. It's a Supreme Court proceeding between two
25 states.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. No.

2 Q. And I noticed you were touching your ear. If
3 you're having any trouble hearing, just let me know. I
4 want to make sure you understand and we're talking
5 about the same thing.

6 A. I just had an inch.

7 Q. Fair enough.

8 Do you still have your report with you?

9 A. Yes, I do.

10 Q. Could you turn, please, to page 5?

11 Do you have that before you?

12 A. Yes.

13 Q. I'm focused on the fourth paragraph there
14 beginning "Primary data sources."

15 Do you see that?

16 A. Yes.

17 Q. And here it indicates that the report focuses
18 on the Tongue River Basin. And I think you testified
19 to that as well; is that right?

20 A. Yes.

21 Q. Could you turn, please, to page 90?

22 Do you have that?

23 A. I do.

24 Q. These are your reference materials that you
25 relied on, correct, this whole list starting on page

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 89?

2 A. Yes.

3 Q. And here in particular you have -- you had
4 personal communication with a number of individuals;
5 right?

6 A. Yes.

7 Q. You also live in the Tongue River Basin;
8 correct?

9 A. Yes.

10 Q. I believe on Wolf Creek.

11 A. Yes.

12 Q. Did you know any of these people personally
13 before you -- before this case?

14 A. Yes.

15 Q. Which ones?

16 A. Bill White, Pat Boyd, Carmine LoGuidice, and
17 Bill Knapp.

18 Q. Some of the individuals on this list have
19 testified in this proceeding. Were you here for the
20 testimony of any of these individuals?

21 A. No.

22 Q. You contacted each one about the case; is
23 that right?

24 A. If they're listed here, yes.

25 Q. And, in general, you had a short conversation

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 with them; is that right?

2 A. Yes. Some of these I had several
3 conversations.

4 Q. Which ones of those did you have several
5 conversations?

6 A. Bill Knapp, Carmine LoGuidice, Greg Benzel,
7 Pat Boyd, Bill White.

8 Q. So those four. The other ones you just had a
9 single conversation with; correct?

10 A. I think I had more than one conversation with
11 Joe Pilch also.

12 Q. And then the others just a single
13 conversation?

14 A. Well, a couple of them I witnessed their
15 deposition. Would that be a conversation?

16 Q. I think you've listed the deposition
17 transcripts on the -- in the next page that you relied
18 on; correct?

19 A. Yes.

20 Q. And that's on page 91. So my question is --
21 and in your deposition, you mentioned that many of
22 these people you had only a 15-minute conversation
23 with; correct?

24 A. I don't know about the duration, but it
25 wasn't a long one, yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. You talked about -- with Mr. Kaste about the
2 METRIC system.

3 In any of the cases where you have testified
4 as an expert, did those cases involve METRIC?

5 A. No.

6 Q. When was the first time you started to work
7 with METRIC?

8 A. On this case.

9 Q. You mentioned in your testimony when
10 Mr. Kaste was asking questions that you focused on the
11 drought of the early 2000s; right?

12 A. Yes.

13 Q. When you talk about regulation in Section 3
14 of your report, you only talked about regulation in
15 2004, 2005, and 2006; correct?

16 A. The description of the regulation activities
17 described activities during those three years, yes.

18 Q. And not 2001 or 2002?

19 A. No. I did look at the annual hydrographer
20 reports for all those other years. And some of the
21 issues brought up, like the use of storage in Kearney
22 Lake, other years were used. But for the irrigation, I
23 only looked at those three years.

24 Q. And that information is contained in Section
25 3 of your report; right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. You talk about the activities of the water
3 commissioners.

4 Now, you're not a water commissioner;
5 correct?

6 A. I am not.

7 Q. And you've never been a water commissioner?

8 A. No.

9 Q. You did not do any regulation on the Tongue
10 River in Wyoming?

11 A. No.

12 Q. You're aware that the water commissioners
13 that you interviewed testified in this case; correct?

14 A. I'm aware that they did.

15 Q. I want to talk a little bit about key flow
16 rates and then when regulation occurred, and then I
17 want to walk through your report. And there's some
18 things on various pages that I'd like to discuss with
19 you.

20 And so first, you talk about key flow rates
21 in your report; correct?

22 A. Yes.

23 Q. Now, in this case, there's also been some
24 discussion of trigger points.

25 Are you aware of that?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. I've heard the term used.

2 Q. What's the distinction?

3 A. I'm not sure what a trigger point is. When I
4 talk about key flow rates, I'm talking about flow rates
5 at certain key gauges that the hydrographers generally
6 use to alert them when the onset of regulation is
7 likely, when the flows drop below those levels.

8 Q. Now, you have a fair amount of discussion in
9 your report about key flow rates. And I do want to
10 look at those, even though my own commentary is -- in
11 many ways, I think that's a remedy issue. But if you'd
12 turn, please, to page 13.

13 A. Okay.

14 Q. And here the top paragraph, starting with the
15 word "After years of experience," that's where you're
16 discussing these key flow rates; right?

17 A. Yes.

18 Q. So there's flow rates on each of the streams
19 that are used to note when there's a need for
20 regulation; right?

21 A. Yes.

22 Q. And you indicate in the next sentence that
23 there's gauges on those streams; right?

24 A. Yes.

25 Q. And then it's assumed that all active water

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 rights are being satisfied if it's above a certain
2 level at that gauge; right?

3 A. Yes.

4 Q. And that's a key flow rate?

5 A. Yes.

6 Q. Then you go on, you indicate here that "As
7 the spring runoff passes its peak and streamflows begin
8 their typically steep declines, the hydrographers watch
9 the flow rates at these key locations and can
10 accurately predict when it becomes necessary to begin
11 regulation"; right?

12 A. Yes.

13 Q. And then they notify the junior appropriators
14 that it's time to curtail their diversions or call for
15 storage; right?

16 A. They notify them, yeah, that they're likely
17 to be regulated if they don't take some activity.

18 Q. And here in your report you say that the
19 water commissioners also curtail diversions; right?

20 A. They do. Not because of a flow rate but
21 because of a call for regulation.

22 Q. Well, you indicate down below just a little
23 bit further, "This type of regulation, which is not
24 necessarily triggered by a formal request for
25 regulation, is commonly practiced."

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 And that's your understanding; right?

2 A. Yeah. I tried to clear up in my direct that
3 when I said "formal request," it's -- I was referring
4 to a formal written request.

5 Q. And did you discuss with any of the water
6 commissioners what they sometimes call "proactive
7 regulation"?

8 A. I don't remember that term, but I did talk to
9 them about what initiates regulation at certain times,
10 yes.

11 Q. And, of course, those individuals would have
12 the best idea of what they actually did; right?

13 A. Oh, yes.

14 Q. I want to hand you a document that discusses
15 key flow rates, and this has been previously labeled as
16 Exhibit M509.

17 Mr. Fritz, have you seen Exhibit M509 before?

18 A. I haven't seen the exhibit, but these are my
19 notes from interviews with hydrographers, copies of
20 them.

21 Q. And these are all your handwriting?

22 A. Yes.

23 MR. WECHSLER: Your Honor, at this point I'd
24 move the admission of Exhibit M509.

25 MR. KASTE: No objection.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 SPECIAL MASTER: Exhibit M509 is admitted.

2 (Exhibit M509 admitted.)

3 BY MR. WECHSLER:

4 Q. If you look, Mr. Fritz, on the first page,
5 this is an interview -- note from an interview with
6 Mr. Schroeder; right?

7 A. Yes.

8 Q. And then here, your note indicates -- about
9 midway down, it starts with "When Piney," and then it
10 says abbreviation, "CR" --

11 Do you see that?

12 A. Yes.

13 Q. Is that Piney Creek?

14 A. Yes.

15 Q. -- "at Kearney hits 32 CFS, in regulation."

16 Do you see that?

17 A. That's the key flow rate at that gauge for
18 regulation, that's true.

19 Q. Turning two pages in, there's a page labeled
20 at the top "Bill Knapp interview, February 16th, 2012."

21 Do you see that?

22 A. I'm sorry. What page?

23 Q. At the top it indicates "Bill Knapp
24 interview," and it's labeled at the bottom WY043775.

25 A. Okay.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. No. 3 there indicates -- again, your note was
2 "State will initiate regulation without a formal call
3 for regulation"; right?

4 A. Yes.

5 Q. And that's what Mr. Knapp told you; right?

6 A. Yes. Again, I'm taking notes during a
7 conversation. That referred to without a written
8 formal call for regulation.

9 Q. Do you generally take good notes?

10 A. Depends on how fast someone is talking.

11 Q. Did you consider the interview you were
12 having with Mr. Knapp to be important?

13 A. Oh, yes.

14 Q. Let's look at what you consider some of the
15 key flow rates starting at page 22. And I think you
16 spoke about this one with Mr. Kaste.

17 This is the key flow rate on Wolf Creek. And
18 my understanding is that's 20 CFS; right?

19 A. Yes.

20 Q. And so when the gauge is at that level,
21 typically the rights below Wolf Creek are fully
22 satisfied; right?

23 A. When it's above that level.

24 Q. That's what I intended to say.

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Let me show you -- I think it's helpful to
2 look at where these gauges are, and so let me show you
3 what's been marked as Exhibit M438.

4 This is already in evidence, Mr. Fritz. And
5 have you seen this before?

6 A. I've seen these schematic diagrams. I assume
7 these are the ones I've seen before.

8 Q. So I'm trying to get a feel for how these key
9 flow rates work. So for each one of these creeks, I'd
10 like to take a look at where the gauge is. So if you'd
11 turn to page -- it says 10 at the bottom, of M438. And
12 it also has the Bates No. WY060799.

13 At the top, it says "Wolf Creek." You see
14 that?

15 A. Not yet. Which creek are we talking about?

16 Q. Wolf Creek.

17 A. Okay.

18 Q. And my understanding is the gauge that you're
19 referring to is right under the heading "Wolf," or the
20 box that says "Wolf" and above the N on the compass for
21 north. And it says "Gauging station at Wolf, Wyoming.

22 Is that the station we're looking at?

23 A. Yes.

24 Q. You talked about return flows with Mr. Kaste.
25 It also looks like there's a creek coming in on Wolf

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Creek, right, Claussen Creek?

2 A. Yes.

3 Q. Turn, please, in your report, W2, to page 32.

4 Do you have that before you?

5 A. I do.

6 Q. And here I just want to note this is another
7 discussion about the key flow rate without a formal
8 request for regulation being filed; right?

9 A. Yes.

10 Q. Turn to page 33, please.

11 And here at the bottom of page 33 you
12 indicate that on the main stem of the Tongue, the key
13 flow rate for regulation is 40 CFS.

14 Do you see that?

15 A. Yes.

16 Q. Now, that's an interesting one to me because
17 there hasn't been much regulation of the main stem of
18 the Tongue; right?

19 A. That's right.

20 Q. And so how did you come up with the number 40
21 CFS?

22 A. That's a number that was given to me by the
23 hydrographer for District 5.

24 Q. Who gave you that number?

25 A. Pat Boyd.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Let's look at the schematic, if you would,
2 please. That's M438. And here I'm looking at the very
3 next page, page 11. It comes after Wolf Creek.

4 A. Okay.

5 Q. At the top, just below the high line "Ditch,"
6 is what's labeled "Tongue River near Dayton gauge."

7 Do you see that?

8 A. Yes.

9 Q. Is that the gauge you're referring to?

10 A. Yes.

11 Q. And then again, there's a number of creeks
12 that come in on the Tongue River, right, below the
13 gauge?

14 A. There are tributaries down there, little
15 Tongue, Smith Creek. Are those what you're talking
16 about?

17 Q. Those are some of the ones. And also
18 Columbus Creek and Fivemile Creek.

19 Do you see that?

20 A. Yes.

21 Q. Page 44 of your report, please.

22 A. Okay.

23 Q. In the middle, towards the bottom of the
24 page, it indicates that the key flow rate of Big Goose
25 Creek is 65 CFS; right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. Looking at the schematic, let's take a look
3 at where that is. That's on page 8. At the top it
4 says "Big Goose Creek."

5 A. Okay.

6 Q. And then at the very top it says "Big Goose
7 Creek above the PK Ditch gauge."

8 Is that the gauge you're referring to?

9 A. Yes.

10 Q. On the very next page, page 45, it indicates
11 that the key flow rate for Little Goose is 80 CFS;
12 right?

13 A. Yes.

14 Q. And if you turn to page 6, I think this is
15 shown at the very top there, "Little Goose Creek near
16 Big Horn gauge."

17 Do you see that?

18 A. Yes.

19 Q. You also talk about the Colorado Colony
20 Ditch. And there's also a key flow rate there; right?

21 A. Yes.

22 Q. And that gauge is shown here "Little Goose
23 Creek above the Colorado Colony gauge"; right?

24 A. Yes. Below the Colorado Colony gauge.

25 Q. Thank you. That gauge was put in relatively

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 recently; right?

2 A. I don't know when that was put in.

3 Q. And, finally, on page 56, you talk about the
4 key flow rate at Piney Creek; right?

5 A. Yes.

6 Q. And we saw a note to that effect as well.

7 I'd like to talk now about some of the
8 regulation or the lack of regulation that occurred in
9 Wyoming.

10 Now, you talked with Mr. Kaste about when
11 written calls were made by Montana. Do you recall
12 that?

13 A. Are you talking about the two letters that
14 were written?

15 Q. I am.

16 A. In '04 and '06? Yes.

17 Q. Do you know the date of the '04 call letter?

18 A. I think it was May 18th.

19 Q. Let's look at page 34.

20 Now, Mr. Boyd was here, and he testified that
21 he did not do any regulation on the Tongue River in
22 2004. And you wouldn't disagree with Mr. Boyd, would
23 you?

24 A. No. I wouldn't have any reason to disagree
25 unless I saw something different in his diaries or

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 something.

2 Q. And even if there was something different in
3 his diary, Mr. Boyd would be the best person to
4 interpret his diary, wouldn't he?

5 A. Yes.

6 Q. Here on page 34 you talk about when the flow
7 rate of 40 CFS occurred on the Tongue River in 2004;
8 right?

9 A. Yes.

10 Q. Here's something that puzzled me. The third
11 sentence of the paragraph that starts "Figure 3-7" --
12 do you see that?

13 A. Yes.

14 Q. -- the third sentence indicates, "On August
15 8th, 2004, the flow at the station dropped to 40 CFS
16 and remained below that level through August 17th";
17 right? Is that right?

18 A. Yes.

19 Q. And then you indicate that the flows
20 increased above 40 CFS shortly after that.

21 Now, what puzzles me is if it was below 40
22 until August 17th, further down in the paragraph, you
23 indicate that the main stem of the Tongue actually went
24 on regulation on August 18th, 2004.

25 Do you see that?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. And that's actually right after you indicate
3 it came above that 40 CFS number; right?

4 A. Yes.

5 Q. Turn, please, to page 45. We were discussing
6 the call letter of May 18th. And here on page 45 you
7 talk about the Big Horn Reservoir at the bottom of the
8 page.

9 Do you see that?

10 A. Yes.

11 Q. And now, orders for water didn't come from
12 Big Horn Reservoir until June 21st; right?

13 A. That's what this says.

14 Q. So up until June 21st, the Big Horn Reservoir
15 could have been storing; right?

16 A. Well, I'm not sure about that. There's a
17 difference between whether they're still storing and
18 whether they're not storing but releasing what flows
19 come in or releasing from storage. So I'm not sure you
20 can say all three things are the same. There's a
21 difference between those three situations.

22 Q. The State of Wyoming does not operate Big
23 Horn Reservoir; right?

24 A. They don't operate it, no.

25 Q. Do you know who the operator of Big Horn

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Reservoir is?

2 A. No, I don't.

3 Q. And you didn't speak to that individual?

4 A. No.

5 Q. So you don't know if they actually operated
6 it in that way to keep flows passing through until
7 June 21st?

8 A. I don't know that, no.

9 Q. Likewise, on page 46, here you indicate that
10 Park Reservoir, the first time water was released from
11 Park Reservoir was July 16th.

12 Do you see that?

13 A. Yes.

14 Q. Again, the State of Wyoming doesn't operate
15 Park Reservoir?

16 A. They don't.

17 Q. Do you know who operates it?

18 A. No.

19 Q. And you didn't speak to that individual about
20 the operations in 2004 either?

21 A. No.

22 Q. Looking down on page 46, there -- and we were
23 talking about regulation that occurred or water use
24 that occurred after the May 18th letter from Wyoming.
25 Beginning with the paragraph "In 2004, the flow in Big

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Goose Creek."

2 Do you see that?

3 A. Yes.

4 Q. Here you're talking about water use in Big
5 Goose; right?

6 A. I'm sorry. What was your question?

7 Q. This paragraph you're discussing water use in
8 Big Goose Creek?

9 A. Yes.

10 Q. At the bottom you indicate that there was
11 briefly some regulation around May 10th; right?

12 A. Yes.

13 Q. And then that regulation was soon lifted;
14 right? Is that right?

15 A. Just a second. I'm trying to read what this
16 says.

17 Q. Please, take your time. I wasn't sure if you
18 understood my question.

19 A. Began on May 10th, and it said it was soon
20 lifted.

21 Q. So that regulation was soon lifted; right?

22 A. Yes.

23 Q. So there wasn't any further regulation on Big
24 Goose Creek until July 21st of 2004; right?

25 A. That's what I understand.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Finally, if you turn to page 57, here we're
2 looking at Kearney Lake. And on 57, you can see that
3 Kearney Lake spilled on July 1st; right?

4 A. Yes.

5 Q. And so up until that time, it was filling?

6 A. Yes.

7 Q. All right. So those are the two initial
8 topics I wanted to talk to you about. And now I want
9 to walk through parts of your report and talk about
10 some issues that I noticed.

11 Now, you use -- well, looking at page 4 of
12 your report, you used Wolf Creek as an example of the
13 regulatory process; right?

14 A. Yes.

15 Q. Would you agree with me that the regulation
16 on each tributary is unique?

17 A. It has -- each tributary has unique aspects,
18 yes.

19 Q. Including the particular rights?

20 A. Including what?

21 Q. The particular rights that are on each
22 tributary.

23 A. Yes.

24 Q. And the availability of water?

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. You spoke with Mr. Kaste about how the
2 location of a calling right is important. And that can
3 be different on each tributary; correct?

4 A. Yes.

5 Q. So -- and if there's regulation on Wolf
6 Creek, it doesn't necessarily mean there was regulation
7 on another tributary in the Tongue River Basin; right?

8 A. Right.

9 Q. For example, we know that there was no
10 regulation on Columbus Creek. Were you aware of that?

11 A. I was.

12 Q. And there's never been any regulation on the
13 lower part of the main stem of the Tongue.

14 A. I think that's right.

15 Q. Turn, please, to page 7.

16 Do you recall you went, at length with
17 Mr. Kaste, through the summary of opinions; right?

18 A. Yes.

19 Q. Looking at opinion No. 2 there, the last
20 sentence indicates that it -- the regulation in Wyoming
21 "makes it highly unlikely for a senior appropriator to
22 be injured by any junior appropriators."

23 Do you see that?

24 A. Yes.

25 Q. Are you aware of any rights in Wyoming ever

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 being regulated for the benefit of Montana or a Montana
2 water user?

3 A. No.

4 Q. Again -- well, looking down at the opinion
5 No. 4, here you're talking about "diversions are
6 generally restricted to appropriations, with priorities
7 dating from the early 1900s and earlier"; right?

8 A. Yes.

9 Q. Now, that restriction only occurs to the
10 place where the calling right is on the tributary;
11 right?

12 A. Yes.

13 Q. And so below that calling right, there might
14 be no regulation?

15 A. Are you talking about a specific stream or
16 just in general?

17 Q. Just in general.

18 A. There's -- yeah, there's generally no
19 regulation unless there's a call for it by a specific
20 water right holder. Yes.

21 Q. Regulation occurs, so the calling right. And
22 then once that's done, that's all the water
23 commissioners do; right?

24 A. Yes.

25 Q. So it's important to look at the location of

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 the calling right. Would you agree?

2 A. It's important to look at the result of the
3 regulatory activity, if that satisfies the call right
4 or not. That's what's important.

5 Q. In fact, if you look at page 19 of your
6 report, I think you say almost the identical thing.
7 Page 19 of -- first full paragraph beginning "On the
8 Tongue River."

9 Do you have that before you?

10 A. Yes.

11 Q. Second sentence, "The relative locations of
12 the most senior rights on a stream can figure
13 prominently in how the stream is regulated in order to
14 account for use of return flows."

15 Do you see that?

16 A. Yes.

17 Q. So it's important to look at where the senior
18 rights are and where the calling rights are; right?

19 A. Yes.

20 Q. Looking at opinion No. 6 there. With
21 Mr. Kaste you discussed that if the river was in a free
22 river system, it wouldn't impact any water rights;
23 right?

24 A. It wouldn't impact...

25 Q. Any water rights in Wyoming.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. If it's in a free river system?

2 Q. Correct.

3 A. No.

4 Q. Everyone in Wyoming is getting as much water
5 as they need; right?

6 A. Yes.

7 Q. In 2001, 2002, 2004, or 2006, do you know if
8 the Tongue River Reservoir filled?

9 A. I know, from what I read here, that it
10 didn't.

11 Q. And typically, the Tongue River Reservoir
12 fills in the spring; right?

13 A. Spring and early summer, yes.

14 Q. Do you know if the direct flow rights in
15 Montana were satisfied in any of those months?

16 A. I don't.

17 Q. Turn to page 10, please.

18 The third paragraph begins "Irrigation water
19 rights." Do you see that?

20 A. Yes.

21 Q. And you spoke with Mr. Kaste about the 1 to
22 70 and then the 2 to 70 regulation; right?

23 A. Yes.

24 Q. Now, before any of that starts, there's a
25 free river; right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Before there's any regulation?

2 Q. Correct.

3 A. Yes.

4 Q. And that free river condition lasts until
5 there is regulation; right?

6 A. Yes.

7 Q. When there is no regulation, the Wyoming
8 water users can take as much water as they need;
9 correct?

10 A. Well, up to practical constraints, like ditch
11 capacity or pump capacity or however they run their
12 diversions.

13 Q. And you talked about that with Mr. Kaste.
14 Now, the capacity of ditches, that's shown in
15 the basin plan of 2002; right?

16 A. For some ditches.

17 Q. Such as the Interstate Ditch?

18 A. I think that is in there.

19 Q. And it's there for other ditches as well;
20 correct?

21 A. Yes.

22 Q. Many of those ditches have more than a single
23 capacity; right? In other words, they can take more
24 than just 1 CFS per 70.

25 A. I guess it depends on what reach of what

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 ditch you're talking about. I'd have to know a little
2 bit more than that. But at the initial diversion, it's
3 possible that they can divert more than their 1 to 70
4 capacity.

5 Q. You talk on this same page, page 10, about
6 the measuring devices. You're aware that there were no
7 measuring devices on the main stem of the Tongue before
8 2006?

9 MR. KASTE: I object. That misstates the
10 evidence. I don't think that there's been evidence
11 that there's no measuring devices. I think there were
12 some diversions without. And I think there's a
13 distinct difference between all and some.

14 SPECIAL MASTER: I think at this stage it's
15 probably easy just to restate the question in a way
16 that doesn't raise Mr. Kaste's objection.

17 MR. WECHSLER: I'm happy to. My intent
18 actually was to ask about his knowledge of measuring
19 devices.

20 BY MR. WECHSLER:

21 Q. Are you aware of any measuring devices on the
22 main stem of the Tongue prior to 2007?

23 A. Are you talking about on the main stem of the
24 Tongue or on diversions from the main stem of the
25 Tongue.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. I mean to be asking about diversions from the
2 main stem of the Tongue.

3 A. The one I know of that was in place prior to
4 that is on the High Line Ditch. That's been there for
5 a long time.

6 Q. Is that the only one you know of?

7 A. It's the only one that I know of that was
8 there before 2007.

9 Q. Turning to page 12, this is a copy of
10 something that's called a "Request for Regulation";
11 right?

12 A. Yes.

13 Q. Would it surprise you to know this is the
14 first time in this case we've seen a written Request
15 for Regulation?

16 A. Yes.

17 Q. Are you aware that regulation in Wyoming
18 often starts because of a verbal communication?

19 A. I am.

20 Q. And we talked about proactive regulation;
21 right?

22 A. I'm not sure what that means.

23 Q. Mr. Schroeder and Mr. LoGuidice didn't
24 discuss that with you?

25 A. Proactive regulation?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Yes. That was a term that, I believe, they
2 used.

3 A. I haven't been using that term, no.

4 Q. Turn, please, to page 14. I'm sorry.
5 There's a series of bullet points on page 14;
6 right?

7 A. Yes.

8 Q. I'm focused first on the second of the bullet
9 points. And there it indicates that, with the
10 exception of the main stem, all streams in the basin
11 typically go in regulation after the spring runoff;
12 right?

13 A. Yes.

14 Q. And, again, we talked about there has been
15 very little regulation on the main stem; correct?

16 A. Main stem of the Tongue?

17 Q. Yes.

18 A. Yes.

19 Q. Then you continue, "Regulation is less
20 intense on the main stem of Big Goose Creek."

21 Do you see that?

22 A. Yes.

23 Q. What do you mean by that?

24 A. Well, if you look at the level of regulatory
25 activities described in Section 3, probably the best

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 example of the intensity regulation is on Little Goose
2 Creek. It's -- other than maybe Smith Creek that we
3 talked about earlier, it's the most intensely
4 regulated, takes the most time by the hydrographers
5 running up and down the ditch making adjustments.

6 They calculate -- as the summer goes on, they
7 are calculating how much water at a diversion is shrunk
8 storage and how much is creek water. You'll see
9 references to that throughout their hydrographer
10 diaries. And I just meant by the level of activity it
11 takes to regulate it, it's less intense on Big Goose
12 than it is on Little Goose.

13 Q. Do you still have Exhibit M509 before you?
14 It was the handwritten notes.

15 A. Yes.

16 Q. If you turn in your notes with Mr. Knapp, and
17 I'm looking at the second page of your notes with
18 Mr. Knapp. At the bottom it's labeled WY043776.

19 A. Okay.

20 Q. Mr. Knapp is the one who typically regulates
21 Big Goose Creek; right?

22 A. No.

23 Q. Mr. Knapp is one of the water commissioners
24 who regulates Big Goose; correct?

25 A. He is.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. And the other one is Mr. Boyd?

2 A. Yes.

3 Q. And Mr. Knapp has been there longer than
4 Mr. Boyd; correct?

5 A. Yes.

6 Q. Do you know if Mr. Knapp is senior to
7 Mr. Boyd?

8 A. He is.

9 Q. Look at No. 14, please. Here it indicates,
10 "To say that Big Goose doesn't yet" -- doesn't get
11 regulated, not strictly correct. State often
12 informally tells them to get some reservoir water into
13 the ditch."

14 Do you see that?

15 A. Yes.

16 Q. Do you believe that to be correct?

17 A. Do I believe this note is correct?

18 Q. Yes.

19 A. I don't know that they tell them to get some
20 reservoir -- they tell them when they might want to
21 order reservoir water.

22 When they regulate, when they order water, it
23 takes some time. They have to put in a request. They
24 only release water on certain days. So they start
25 having a conversation with them to think ahead how much

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 they want to order. So that's what this note refers
2 to, is they are having a conversation with them to tell
3 them to start thinking about getting some storage water
4 into that ditch.

5 Q. So because of the conversation, it's not
6 strictly correct to say that Big Goose doesn't get
7 regulated?

8 A. Right.

9 Q. Turn, please, to Exhibit M438 again, which is
10 the schematic. And I'm looking at the schematic
11 showing Big Goose Creek.

12 A. Okay.

13 Q. Do you know which of the rights on this
14 schematic is typically the calling right on Big Goose
15 Creek?

16 A. Didn't we just say Held and Robinson and
17 Hardee often drive the regulation on Big Goose Creek?
18 It says that on the notes with Mr. Knapp, No. 13.

19 Q. So you're looking down at the N.B. Held --
20 oh, I see. N.B. Held Ditch, which is below the Flume
21 Ditch and below the Trembath Ditch.

22 A. Yes.

23 Q. Would it surprise you if the water
24 commissioner said that it was the Alliance Ditch?

25 A. That drives regulation?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. On Big Goose Creek.

2 A. That would be a surprise. There are several
3 ditches senior to the Alliance Ditch.

4 Q. Now, whether it's the Held Ditch or the
5 Alliance Ditch, the water rights below there are not
6 being regulated; right?

7 A. Well, I think that's right on Big Goose
8 Creek. There is no one down below the N.B. Held that
9 could call them off.

10 Q. Looking at the map on page 15 of your Exhibit
11 W2, do you see that?

12 A. Yes.

13 Q. There's no gauge below Dayton in the state of
14 Wyoming on the Tongue River, is there?

15 A. No.

16 Q. Turn, please, to page 19.

17 A. Yes.

18 Q. In the paragraph that begins "Figure 3-2," it
19 indicates that the -- there may be multiple
20 appropriators diverting from the same ditch; right?

21 A. Yes.

22 Q. Those appropriators might have more than one
23 priority date?

24 A. Yes.

25 Q. In Wyoming, the water commissioners do not

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 regulate down ditch; right?

2 A. I think that's generally true.

3 Q. Turn, please, to page 26.

4 A. Okay.

5 Q. I'm looking at the top couple of sentences
6 there. The paragraph begins, "There are 41 water
7 rights."

8 Do you see that?

9 A. Yes.

10 Q. In the next sentence you're talking about
11 supplemental supplies. And could you please describe
12 how a supplemental supply works.

13 A. Yes. A supplemental supply is a water right
14 to use water on lands that have an original supply from
15 another source. And the way it's used is the total
16 water applied from both sources has to be less than 1
17 CFS per 70 acres. That's a general description of it.

18 Q. In other words, if you're not getting enough
19 water from your original source, you can also get a
20 supply to fulfill your full water rights from a second
21 source?

22 A. If it's available.

23 Q. And oftentimes a water right holder will seek
24 a supplemental supply if its original source is from,
25 say, a small tributary; is that right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Just -- it has to be from a different source
2 than the original supply.

3 Q. So take -- for example, Ash Creek is
4 relatively small; right?

5 A. Yes.

6 Q. And so if someone has a water right on Ash
7 Creek but they're not getting enough water to satisfy
8 their acreage on Ash Creek, they can then take some of
9 that water from, say, the Tongue River; right?

10 A. They can apply for a supplemental supply from
11 the Tongue, yes.

12 Q. And that would be a supplemental supply
13 right?

14 A. Yes.

15 Q. Turn, please, to page 33.

16 A. Okay.

17 Q. Actually, I'm sorry. I left that page too
18 quickly. I did want to note on page 27 a Table 3-7
19 here. And -- are you --

20 A. Right. Page 27?

21 Q. Yes.

22 A. Yes.

23 Q. And this is a listing of the post-'50 direct
24 flow rights in District 5; right?

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Some of those are supplemental supplies?

2 A. Yes.

3 Q. On the regulation that occurs on Big Goose
4 Creek, would you defer to Mr. Boyd and Mr. Knapp as to
5 what they actually do?

6 A. I don't know what you mean, "defer."

7 Q. Well --

8 A. What would I defer to?

9 Q. I asked you earlier about which of the rights
10 on Big Goose Creek would be -- is typically the calling
11 right, and I think you said the N.B. Held; right?

12 A. That's what these notes indicate from my
13 discussion with Mr. Knapp.

14 Q. So if Mr. Knapp and Mr. Boyd testified to
15 something else, you wouldn't disagree with them, would
16 you?

17 A. I'd have to know why they said something
18 different in a different place. I'd have to know more
19 what caused that.

20 Q. Might confuse you why you heard them say one
21 thing on your telephone conversation and they said
22 something different in court?

23 A. I might have misunderstood them or written it
24 down wrong, sure. I'd have to question why there's two
25 different conflicting ideas.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Turn, please, to page 43.

2 A. Okay.

3 Q. Here you have Table 3-10, which is a list of
4 reservoirs that are routinely monitored.

5 Do you see that?

6 A. Yes.

7 Q. The Wagner Reservoir doesn't show up on that
8 list; right?

9 A. No.

10 Q. Nor does the Fivemile or the Windy Draw?

11 A. Right.

12 Q. And that's because those reservoirs are not
13 routinely monitored; correct?

14 A. They are not routinely monitored by the state
15 engineer, that's right.

16 Q. Page 46 of your report, please.

17 A. Okay.

18 Q. In the paragraph that begins "Review of the
19 hydrographer's journals" -- do you see that?

20 A. Yes.

21 Q. -- the second sentence reads, "When these
22 mountain reservoirs are unable to fill, the storage is
23 allocated among shareholders in proportion to their
24 ownership."

25 Do you see that?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. What does that mean?

3 A. They divide whatever the capacity is that
4 they filled to, divided by the number of shares, and
5 that's what each shareholder is allotted for that year.

6 Q. Turn, please, to page 52.

7 A. Okay.

8 Q. Now, between pages 52 and 56, you have a
9 discussion of Prairie Dog Creek and Upper Piney Creek;
10 correct?

11 A. Yes.

12 Q. So what I'd like to -- we've had several
13 witnesses testifying about that very area, and I want
14 to see if your understanding is consistent.

15 And so my understanding, based on their
16 testimony, is that irrigation begins in May. Is that
17 your understanding? And I mean on Prairie Dog Creek.

18 A. Well, I think that's kind of a
19 generalization, but it's probably the typical month
20 when they begin irrigation.

21 Q. Lasts until roughly September, typically?

22 A. Yes.

23 Q. At the beginning of the season, water is
24 available from Prairie Dog Creek and some of the other
25 tributaries to Prairie Dog. Is that your

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 understanding?

2 A. It's -- I don't know that. It's possible
3 during early runoff periods.

4 Q. So if people testified in this proceeding
5 that that happens, you wouldn't disagree with them?

6 A. No.

7 Q. Typically, sometime in July, Prairie Dog
8 switches from direct flow to stored water.

9 Are you aware of that?

10 A. You talking about the imports from Piney
11 Creek into Prairie Dog?

12 Q. I'm talking about stored water from Kearney
13 Reservoir or one of the other storage facilities.

14 A. First they import direct flow from Piney
15 Creek until that goes on regulation. Then they start
16 bringing storage water over.

17 Q. That typically happens sometime in July?

18 A. Yes.

19 Q. The decision is essentially made by the board
20 of the Prairie Dog Ditch Company?

21 A. I have never been involved in that
22 decision-making process. I assume that's correct, but
23 I don't know that.

24 Q. John Koltiska was the head of the Prairie Dog
25 Ditch Company at the time you wrote this report;

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 correct?

2 A. Yes.

3 Q. But you didn't talk to him about reservoir
4 operations; right?

5 A. I observed his deposition, but I didn't have
6 another conversation outside of that.

7 Q. You understand that, once the water gets into
8 Prairie Dog Creek, Prairie Dog Creek is essentially
9 treated like a ditch?

10 A. I think that's probably a good description.

11 Q. There's no regulation from Wyoming water
12 commissioners; correct?

13 A. Not that I know of, that's correct.

14 Q. There's no measurement devices on the
15 diversions?

16 A. There are -- at the top of -- at the drainage
17 divide, they measure it.

18 Q. That's where the water goes into Prairie Dog
19 Creek; right?

20 A. Yes.

21 Q. There's no measurement devices on diversions
22 on Prairie Dog Creek; right?

23 A. Not that I know of.

24 Q. And do you know if they trace the water, the
25 stored water, to any particular owners?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. I don't know how they do that.

2 Q. On page 55 of your report, in the second full
3 paragraph starting with the words "There are some
4 very" -- do you see that?

5 A. Yes.

6 Q. You have the sentence that, "However, because
7 Prairie Dog Creek has no point-ton headwaters, it has
8 little natural flow and would probably be an
9 intermittent stream without imported water from the
10 Piney Creek drainage."

11 Do you see that?

12 A. Yes.

13 Q. L, do you see that?

14 A. Yes, I do.

15 Q. You didn't do any independent analysis to
16 determine whether or not Prairie Dog Creek would be an
17 intermittent stream, did you?

18 A. No.

19 Q. And you're not aware of any records which
20 indicate that it would have been an intermittent
21 stream; right?

22 A. The only thing I'm aware of is verbal
23 descriptions from people familiar with it, that above
24 where the imported water comes in, that the creek is
25 often dry or nearly so, less than a CFS.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. And we've had some testimony about that very
2 issue in this case, so we can rely on that.

3 On this same page, you indicate, the next
4 sentence, that "Water rights from the Prairie Dog Creek
5 are seldom regulated."

6 Do you see that?

7 A. Where are you now?

8 Q. Same paragraph, next sentence. "The water
9 rights from Prairie Dog Creek are seldom regulated."

10 A. Yes.

11 Q. Are you aware of a single example where water
12 rights was regulated on Prairie Dog Creek?

13 A. No.

14 Q. Are you aware of any records of water use in
15 Prairie Dog Creek?

16 A. No.

17 Q. Are you aware of any records indicating a
18 call for reservoir water?

19 A. No, I'm not aware of that.

20 Q. On page 57 --

21 A. Okay.

22 Q. -- under the heading "Under the
23 Hydrographer's Direct Supervision."

24 Do you see that? It's not a heading. It's
25 the first part of a paragraph.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. You go on to talk about a decision that was
3 made with the concurrence of the users on Prairie Dog
4 Creek.

5 Do you see that?

6 A. Yes.

7 Q. Other places in your report I noticed you had
8 a citation. There's no citation for that statement;
9 right?

10 A. There isn't.

11 Q. And we talked about the fact that you didn't
12 talk to John Koltiska about reservoir operations.

13 How about Mr. Tom Koltiska?

14 A. I did not talk to Tom about it either.

15 Q. And John Koltiska didn't discuss that in his
16 deposition, did he?

17 A. I don't recall that he did.

18 Q. You're not aware of any records indicating
19 that the water users of Prairie Dog Creek agreed to not
20 make a call on Piney Creek, are you?

21 A. Any written records?

22 Q. Correct.

23 A. I think this was taken from the
24 hydrographers' annual report, but I don't know if you'd
25 call that a written record or not. That's all I'm

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 aware of.

2 Q. This would be 2004?

3 A. I think so.

4 Q. Mr. Fritz, I've just handed you what's been
5 marked as Exhibit J61.

6 I believe that's a hydrographers' annual
7 report for the year 2004; is that right?

8 A. Yes.

9 Q. Kearney Reservoir is addressed at pages 8
10 through 10. And I didn't see in here anywhere that
11 indicated -- that supported the statement that you're
12 making on page 57 of your report.

13 Am I missing something?

14 A. I don't know. I'm going to need a minute to
15 look through this.

16 Q. Be my guest.

17 A. I can't find that sentence in here either.

18 Q. Are you ready to move on?

19 A. Well, I hate to quit before I find that, but
20 I guess I am.

21 Q. Could you turn back, please, to page 55?

22 A. Yes.

23 Q. In the second full paragraph, it starts with
24 the words "The water users."

25 Do you see that paragraph?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. The next sentence, "About 80 percent of
3 Kearney Lake Reservoir water goes to the Prairie Dog
4 drainage."

5 A. Yes.

6 Q. Is that your understanding?

7 A. That was at that time. I talked to Carmine
8 later and got a different understanding, Carmine
9 LoGuidice. I think it's more than 80 percent when you
10 include the Little Goose drainage. His estimate was
11 about 5 percent goes down Piney Creek.

12 Q. Let's look at your notes from your
13 conversation with Mr. LoGuidice, which is Exhibit M506.

14 Mr. Fritz, do you recognize this document?

15 A. Yes.

16 Q. These are notes that you took of
17 conversations you had with water commissioners; is that
18 right?

19 A. Among other things, yes.

20 Q. And those other things, there's a USGS
21 printout labeled WY043717; is that right?

22 A. Yes.

23 Q. And that has some handwriting on it. Is that
24 your handwriting?

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Is that a printout that you made as part of
2 this case?

3 A. Yes, it is.

4 Q. And all of these materials were provided to
5 Montana as part of the backup of your expert report;
6 correct?

7 A. Looks like it.

8 MR. WECHSLER: Your Honor, I'd move the
9 admission of Exhibit M506.

10 MR. KASTE: No objection.

11 SPECIAL MASTER: Exhibit M506 is admitted.
12 (Exhibit M506 admitted.)

13 BY MR. WECHSLER:

14 Q. Mr. Fritz, if you'd turn to the second page
15 of this document, Exhibit M506.

16 Here's a note at the top is labeled
17 "Meeting/Site Tour with Carmine." Do you see that?

18 A. Yes.

19 Q. That's Carmine LoGuidice?

20 A. It is.

21 Q. Now, if you look towards the bottom there, it
22 indicates "Kearney Lake." Do you see that?

23 A. Yes.

24 Q. And there it indicates approximately
25 80 percent to Prairie Dog and approximately 20 percent

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 other ditches down Piney.

2 Do you see that?

3 A. Yes.

4 Q. And that was notes from a discussion that you
5 had with Mr. LoGuidice?

6 A. Yes, it was.

7 Q. And you understand that the water that goes
8 down the remaining ditches and Piney Creek, that's not
9 in the Powder River Basin, is it?

10 A. No.

11 Q. I'm sorry. It's not in the Tongue River
12 Basin?

13 A. Right.

14 Q. Just to be clear, that water gets used in the
15 Powder River Basin; correct?

16 A. If it goes all down Piney Creek? It does,
17 yes.

18 Q. You understand that there are shareholders in
19 Kearney Reservoir that take water in Piney Creek?

20 A. I don't know that.

21 Q. You don't know whether or not there are
22 shareholders from Piney Creek?

23 A. No, I don't.

24 Q. That's not something you investigated?

25 A. No.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Turn, please, to page 62.

2 A. Okay.

3 Q. At the very bottom of page 62, you indicate
4 that "Such calculations were apparently necessitated by
5 the fact that diversions in Montana" -- skipping over
6 to 63 -- "have not historically been measured."

7 Do you see that?

8 A. Yes.

9 Q. You weren't here for the testimony of the
10 water commissioners in Montana; correct?

11 A. I was not.

12 Q. Next sentence indicates that "Key flow rates
13 necessary to provide a full supply are unknown, unlike
14 the Tongue River" -- and I think that should say --
15 "its major tributaries in Wyoming, as described earlier
16 in this report"; right?

17 A. Yes.

18 Q. In preparing your report, you didn't
19 interview Mr. Bunny Hayes, did you?

20 A. No.

21 Q. Did you interview Kevin Smith?

22 A. No.

23 Q. How about Gordon Aycock?

24 A. No, I didn't.

25 Q. How about Roger Muggli?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. No.

2 Q. So when you're saying this statement -- you
3 don't know whether any of those individuals have the
4 necessary experience to know what the key flow rates at
5 the Tongue River Reservoir is for Montana diversions?

6 A. No. I just based this comment on the review
7 of the Book report, in which case they calculated a
8 diversion rate -- or a flow rate at the state line that
9 was necessary. It was a calculated flow rate rather
10 than one that had been calibrated, so to speak, like
11 they have in Wyoming at those key locations.

12 Q. Those individuals that I mentioned, they
13 might have knowledge about what the experience shows as
14 the key flow rate?

15 A. They might.

16 Q. Page 64. Here you have two numbered
17 paragraphs in the middle of the page.

18 Do you see that?

19 A. Yes.

20 Q. And the first one you're talking about the
21 T & Y diversion; right?

22 A. I mention it in that No. 1, yes.

23 Q. But you didn't do an analysis of the T & Y
24 ditch or diversion, did you?

25 A. No, I did not.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. You weren't here for Mr. Muggli's testimony;
2 right?

3 A. No.

4 Q. You didn't consider his deposition?

5 A. I did not read his deposition.

6 Q. The same can be said for Mr. Hayes,
7 Mr. Smith, Mr. Aycock?

8 A. Yes.

9 Q. Turning to page 65. And here is where you
10 start talking about post-'50 storage, your analysis of
11 Mr. Book's discussion of post-'50 storage; right?

12 A. Yes.

13 Q. I understood you to say, when you were
14 discussing the issue with Mr. Kaste, that you did not
15 take issue with Mr. Book's method of analysis; right?

16 A. Right.

17 Q. And that includes the way that he dealt with
18 priorities?

19 A. Yes.

20 Q. And also the way that he dealt with return
21 flows?

22 A. I didn't take issue with it, no.

23 Q. You thought the way he did that was
24 reasonable?

25 A. I didn't really even make a judgment about

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 that. What I did was rather than change the method of
2 analysis, which would have raised another whole host of
3 questions, I used his method of analysis and just
4 inserted the effects of Kearney Lake.

5 Q. You used his method of analysis without
6 determining whether it was a reasonable method of
7 analysis?

8 A. Well, it was reasonable. It's based on
9 estimates, return flow patterns, and that type of
10 thing. But, yeah, it was reasonable.

11 Q. Now, Mr. Book did not analyze return flows on
12 Prairie Dog Creek; correct?

13 A. Um.

14 Q. In his original report.

15 A. He did not -- right.

16 Q. He -- in his original report, he didn't make
17 any determination as to whether the method that he used
18 elsewhere was reasonable for Prairie Dog Creek; right?

19 A. I don't remember, in that part of his report,
20 him talking about Prairie Dog Creek.

21 Q. For the purposes of your return flows
22 analysis, you assumed that all of the water released
23 from Kearney Reservoir went into Prairie Dog Creek;
24 right?

25 A. Into the Tongue River Basin, yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. But we discussed earlier that some of that
2 water actually goes into Piney Creek; right?

3 A. It may, yes. I don't know that for a fact.

4 Q. And you didn't take that into account as part
5 of your analysis?

6 A. I did not.

7 Q. Next you assumed that 90 percent of the water
8 in Prairie Dog Creek was delivered; correct?

9 A. No. What I assumed -- I'm not sure.

10 Q. Did you assume a 10 percent shrink?

11 A. Yes. The same shrink that was used in his
12 spreadsheet, yes, I did that.

13 Q. Do you still have Exhibit M509 before you?

14 At the front it says, "Interview with Dave
15 Schroeder, 3/14/12."

16 A. Yes.

17 Q. Turning to the second page of that document
18 labeled at the bottom WY043774. Do you have that?

19 A. Yes.

20 Q. These are notes from a conversation you had
21 with Mr. Schroeder?

22 A. Yes.

23 Q. And he is the -- one of the water
24 commissioners responsible for the Prairie Dog Creek?

25 A. Yes, he is.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. If you look right in the middle of the page,
2 it indicates that "Kearney almost."

3 Do you see that? Do you see that statement?

4 A. Yes.

5 Q. "Kearney almost all goes to Prairie Dog.
6 Lower end of Prairie Dog gets 25 percent shrink."

7 Do you see that?

8 A. Yes, I do.

9 Q. But you didn't use 25 percent shrink as part
10 of your return flows analysis?

11 A. No. No, I did not.

12 Q. Some of the factors that might impact a
13 higher shrink include hot weather and dry weather; is
14 that right?

15 A. Well, what that shrink is is how much of the
16 water that they call to be released they are actually
17 entitled to divert.

18 So if the shrink goes up, they are assuming
19 that either the consumptive use is higher or, for one
20 reason or another, it's not getting to that headgate.
21 So it doesn't mean water isn't kicked into that basin
22 at that upper diversion.

23 Q. But it might mean that that water never gets
24 to the users; right?

25 A. What it means is, if a user down there asks

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 for an acre-foot of water, they're going to turn loose
2 1.25 acre-feet of the water of the reservoir to get it
3 to him.

4 Q. And if it's hot, there might be evaporation;
5 correct?

6 A. Then they might releases 1.35 acre-feet of
7 water.

8 Q. Go ahead, please.

9 A. I'm sorry.

10 Q. If it's dry, that water may find its way into
11 the ground beneath the watercourse; right?

12 A. I'd have to ask them why they apply shrinks
13 in this situation since it's not measured anywhere.

14 Q. When you did your analysis, did you include
15 only winter return flows?

16 A. Again, I used the same return flow pattern
17 that was used in the Book report. And it's a 30, 20,
18 15. I can't remember the exact sequence. But some of
19 those return flows do appear in the wintertime.

20 Q. If there are return flows that show up in the
21 summer in Prairie Dog Creek, those might be available
22 for appropriation; right?

23 A. They -- well, available for use maybe, yes.

24 Q. And if they are used in Wyoming, it might
25 never find its way down to Montana; correct?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. If they got used in Wyoming, that's right.

2 Q. You didn't account for sprinklers. You
3 talked about that with Mr. Kaste; right?

4 A. Yes.

5 Q. Turn, please, to page 66.

6 Here is your discussion about Wagner and
7 Fivemile; right?

8 A. Yes.

9 Q. In general, you agreed that this was a --
10 these were post-'50 reservoirs; right?

11 A. Yes.

12 Q. But you took issue with a 55 pre-'50 storage
13 in Wagner; right?

14 A. Yes.

15 Q. And also a 60 foot -- 62 acre-foot portion in
16 Wagner that's available to Sheeley; right?

17 A. I don't know if it's available to Sheeley.

18 Q. Do you know who owns that 62 acre-feet?

19 A. It's appropriated in the Wagner. It's been
20 moved into the Wagner Reservoir, and it's my
21 understanding that it's used by Padlock Ranch.

22 Q. And if -- do you understand that Padlock
23 Ranch uses all the available water in the Wagner
24 Reservoir?

25 A. That's my understanding.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. On the bottom of page 66, continuing to page
2 67, there's a sentence that reads, "All the lands
3 receive water as available from the Wagner, Fivemile,
4 and Wastewater Recovery Reservoirs, both to supplement
5 lands with direct flow water rights and to irrigate
6 lands without direct flow rights."

7 Do you see that?

8 A. Yes.

9 Q. Do you understand that the Padlock Ranch does
10 not get any of the direct flow during irrigation
11 season?

12 A. That's my understanding. That was an
13 agreement that the ranchers worked out.

14 Q. And so the Sheeley Ranch uses the direct
15 flow; correct?

16 A. That's my understanding.

17 Q. And the Padlock Ranch uses only the reservoir
18 water that it stored in the winter. Is that your
19 understanding?

20 A. It's my understanding, unless there might be
21 direct flow water in excess of what Sheeley is taking,
22 but I think that happens very seldom.

23 Q. Page 67, and continuing to 68, you suggest
24 that the ET in the Padlock area might be overestimated;
25 right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. And that's because there's not enough
3 reservoir water to satisfy all that ET?

4 A. Yes.

5 Q. If there was another source of water, say
6 rain, in the early part of the season, that could
7 explain that ET reading; right?

8 A. It couldn't explain getting it up to the
9 levels that were shown on that figure, no.

10 Q. Were you aware that, in one of the documents
11 you reviewed, Mr. Benzel talks about there being
12 extensive rain in 2006? Are you aware of that rain?

13 A. I think I remember reading that. Was that --
14 if that was in that farm report.

15 Q. Page 69.

16 A. Okay.

17 Q. Here we see a discussion of the Windy Draw
18 Reservoir.

19 Are you familiar with the Windy Draw
20 Reservoir?

21 A. Somewhat.

22 Q. And it was built in 1961; right?

23 A. Yes.

24 Q. Has a capacity of 533 acre-feet?

25 A. That's the permitted capacity, yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. If we could put up on the view screen page 15
2 of Exhibit W2, which is your Figure 3-1. I'm hoping
3 you can show us generally where the Windy Draw
4 Reservoir is located.

5 Mr. Fritz, could you please use the
6 teleprompter -- maybe that's the wrong word -- the
7 screen and show us where the Windy Draw Reservoir is.
8 I don't think it's listed here. I'm just hoping you
9 can give us a general location.

10 A. It would be about right in here. Right next
11 to the interstate, between Sheridan and Rancheater.

12 Q. Are you aware of the fields -- let me first
13 ask, the Windy Draw Reservoir is used for irrigation;
14 correct?

15 A. Yes.

16 Q. And it's used every year?

17 A. I don't know that.

18 Q. Do you know if it's used by Padlock Ranch?

19 A. It's my understanding that recently the
20 Padlock Ranch is leasing parts of what used to be the
21 Wrench Ranch, which is down there, and they're using it
22 on those lands.

23 Q. You say the Wrench Ranch. I've heard the
24 term Rice Ranch. Is that the same place?

25 A. Same.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. And Padlock doesn't use that every year;
2 right?

3 A. I don't know that.

4 Q. Mr. Benzel was here, and he testified that
5 Windy Draw was used for irrigation every year but not
6 necessarily by Padlock. He also talked about your
7 paragraph at the top of page 69.

8 And at the end of that paragraph, you're
9 talking about the source of water for Windy Draw
10 Reservoir; right?

11 A. Yes.

12 Q. And would it surprise you to learn that
13 Mr. Benzel testified he didn't know what the source for
14 the Windy Draw Reservoir was?

15 A. I guess, yes, I would be surprised.

16 Q. The first fill for Windy Draw Reservoir
17 occurs in the early spring; right?

18 A. It's on a dry daily discharge, so only to the
19 extent that flows exist in that drainage.

20 Q. So if there's flows in that drainage from the
21 spring runoff, it fills the Windy Draw; correct?

22 A. Yes.

23 Q. And, as necessary, it might be refilled, you
24 indicate, with water from Park Reservoir; right?

25 A. To the extent that they have storage in Park

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Reservoir, yes.

2 Q. When you say "they," who do you mean?

3 A. Whoever is moving the water into Windy Draw.

4 I guess if Padlock is using it at that time it would be
5 them.

6 Q. Are you aware that Padlock has these rights
7 in Park Reservoir?

8 A. I don't know who owns the rights or what the
9 agreements are for the use of that water.

10 Q. Other than Mr. Benzel, you didn't speak to
11 any other irrigator who uses the Windy Draw Reservoir;
12 right?

13 A. No. Most of my information about that,
14 again, came from Pat Boyd, who talked about moving
15 storage water from Park Reservoir down Soldier Creek to
16 Windy Draw Reservoir.

17 Q. We don't have any documents that indicate
18 that happens; right?

19 A. In the hydrographer journals, there's a
20 reference or two to when they sort of shepherd that
21 water down Soldier Creek, that they're watching that
22 storage go and not letting other people divert it.

23 That's in one of the hydrographer journals
24 from one of those years, but I couldn't tell you
25 exactly where.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. That's the only reference you're aware of?

2 A. Yes.

3 Q. You're not aware of any permit to store water
4 from Park Reservoir in Windy Draw; correct?

5 A. I don't know of any permit for that, or
6 permits, that would be required for that.

7 Q. Turn, please, to page 71.

8 A. Okay.

9 Q. So this is the section of your report where
10 you're talking about the post-'50 irrigated acreage.
11 We've already talked about the storage and some of the
12 smaller reservoirs, and you spent a fair amount of time
13 with Mr. Kaste talking about this irrigated acreage.
14 So I'll cover some of the same rights but certainly not
15 all of them.

16 The first thing I want to ask you here is
17 page 71, No. 8, you reference a map from Storm Cat
18 Energy; right?

19 A. Yes.

20 Q. Now, you got that map from Dave Schroeder;
21 correct?

22 A. I saw a copy of it in Dave Schroeder's
23 office. I actually got the shape file from Storm Cat
24 Energy.

25 Q. You don't know who made the map; is that

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 right?

2 A. I did at one time. His name is on the map.
3 I can't remember it now.

4 Q. At the time you did your report, you didn't
5 know who made the map; is that right?

6 A. I don't remember.

7 Q. You didn't talk to the person who made the
8 map before you did your report; correct?

9 A. I talked to some people from Storm Cat Energy
10 but not to the CAD person who actually made the map,
11 no.

12 Q. And the map wasn't actually made by Storm Cat
13 Energy; right?

14 A. Well, there's a contractor relationship there
15 that I'm not real sure of. I got the information
16 through Storm Cat Energy.

17 Q. The map that we're discussing, it indicates
18 where CBM water was used; correct?

19 A. Yes.

20 Q. By it doesn't indicate when?

21 A. No.

22 Q. Turn, please, to page 73. This was one of
23 the rights you discussed with Mr. Kaste, the Barbula
24 right.

25 SPECIAL MASTER: Mr. Wechsler, I'm just

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 thinking this might be a good time for a break before
2 you go into the individual properties, if that would be
3 okay with you.

4 MR. WECHSLER: It is.

5 SPECIAL MASTER: Great. Let's take a
6 ten-minute break. And we'll come back about 22, 23
7 after the hour.

8 (Recess taken 2:13 to 2:25
9 p.m., December 2, 2013)

10 SPECIAL MASTER: Okay. Everyone can be
11 seated.

12 Mr. Fritz, you can retake the stand.

13 THE WITNESS: Thank you.

14 BY MR. KASTE:

15 Q. Mr. Fritz, before the break, we started
16 discussing the Barbula right. But I do want to take
17 one step back and talk one last time about Big Goose
18 Creek, so if you could please find Exhibit M506.

19 A. Which one is M506?

20 Q. At the top it says "Site Tour, 6/26/12."

21 A. Okay.

22 Q. Do you have that?

23 A. Yes.

24 Q. We were talking about the N.B. Held right and
25 the Alliance Ditch. And if you look at the bottom of

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 the very first page of M506, there you have a note
2 about the Alliance Ditch. And it indicates that the
3 "Alliance Ditch usually" -- what does that say?

4 A. "Dries up."

5 Q. -- "dries up Big Goose" -- actually, could
6 you read that note, please.

7 A. You can't read that?

8 Q. Doing my best.

9 A. "Alliance Ditch usually dries up Big Goose.
10 Return flows pick up so much. Return flows take care
11 of senior rights."

12 Q. Does that refresh your recollection about the
13 way Big Goose Creek operates?

14 A. I guess, yes. I remember writing this. My
15 recollection was -- the question you had asked was were
16 the calling rights -- the most senior rights were on
17 Big Goose Creek.

18 Q. Did you consider the Alliance Ditch to be one
19 of the calling rights?

20 A. No.

21 Q. And, again, if Mr. Boyd and Mr. Knapp said
22 otherwise, would we follow their direction?

23 A. Well, there's not a conflict between a ditch
24 drying up the creek and another right being the calling
25 right.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. I understand that. But if Mr. Knapp and
2 Mr. Boyd and Mr. Whitaker, for that matter, said that
3 the Alliance Ditch was the calling right, would you
4 disagree with them?

5 A. I'd have to understand why they would say
6 that since it's not the most senior right on the
7 stream.

8 Q. They are the ones who actually regulate Big
9 Goose; correct?

10 A. Yes.

11 Q. And you've never regulated Big Goose?

12 A. I have not.

13 Q. Turning back, please, to the Barbula right on
14 page 73.

15 A. Yes.

16 Q. My understanding is you zeroed out this right
17 because you concluded that the area received water from
18 Youngs Creek; correct?

19 A. The areas that I could see were obviously
20 irrigated within that permit boundary, received their
21 original supplies under Territorial rights from Youngs
22 Creek, yes.

23 Q. You didn't speak to the irrigator, correct?

24 A. Not on that parcel.

25 Q. Youngs Creek is a small creek; correct?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. It's small.

2 Q. And they have a supplemental supply from the
3 Tongue River; right?

4 A. Who does?

5 Q. The irrigators using this right.

6 A. Yes.

7 Q. One of the reasons to get a supplemental
8 supply is because there's not always sufficient water
9 in your original source; right?

10 A. That would be one reason.

11 Q. You understand that 2001, 2002, 2004, and
12 2006 were dry years; correct?

13 A. Yes.

14 Q. In fact, somewhere in your report I think you
15 say they were some of the driest years on record;
16 right?

17 A. I think I said in my report that the gauge on
18 the Tongue River near Dayton, the annual flows in those
19 four years were the lowest four years ever recorded.

20 Q. Do you know what year the supplemental supply
21 right for the Barbula right is?

22 A. What year it is?

23 Q. What's the year on the water right.

24 A. Oh, I'd have to look it up. It's a post-'50,
25 but I don't remember what year.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. We have -- we can always look at the tables
2 provided by you and Mr. Book to find that.

3 Turning now to the Delapp right. Now,
4 there's no records of the CBM use that you're
5 indicating there; correct?

6 A. I don't know that there are any records.
7 I've worked for a lot of coal bed companies, and I'm
8 sure there's records someplace, but I don't have any.

9 Q. Have you ever seen an agreement between
10 Ms. Ankney and the CBM company?

11 A. No, I haven't.

12 Q. You indicated in your direct testimony and in
13 your report that this property received water in 2004
14 and 2006; correct?

15 A. Yes.

16 Q. But not in 2001 and 2002; correct?

17 A. I said it didn't receive coal bed water in
18 those years.

19 Q. But it did receive water in 2001 and 2002;
20 correct?

21 A. I don't know that. It may have.

22 Q. And if it did, then there would need to be a
23 correction to your report, right, because you didn't
24 account for that use in '01 and '02?

25 A. I did not make an -- there was no ET figure

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 for that. The METRIC figures weren't done for 2001 and
2 '2, so I don't know.

3 Q. If Ms. Ankney testified that she irrigated
4 that property with Tongue River water, you wouldn't
5 disagree with her, correct?

6 A. I wouldn't disagree with that, but I would
7 say, if so, it was irrigated very differently. Because
8 those pivots weren't put in until 2004, and the
9 configuration of the irrigated lands was changed when
10 the pivot went in. That old ditch went about halfway
11 through that pivot. So it had been quite a bit less
12 acreage irrigated before 2004.

13 Q. In fact, you show the contours of the water
14 right in your Attachment 7; correct?

15 A. I don't know what you mean by "contours of
16 the water right."

17 Q. Well, let's take a look at the Delapp aerial
18 photograph, which is on a page that's Bates No.
19 WY043202.

20 A. What page again?

21 Q. At the bottom right-hand corner of the
22 photograph, it's labeled WY043202. And the -- it's an
23 aerial photograph that you've superimposed some lines
24 on, and it indicates "Delapp."

25 A. Okay.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. You show the contours of the post-'50 water
2 right on that aerial photograph; correct?

3 A. I show a boundary of the place of use for
4 that water right. That's the black line.

5 Q. And if Ms. Ankney testified that she
6 irrigated that area, you'd have no reason to disagree
7 with her; correct?

8 A. I'd have to hear her say that. Because I
9 think she irrigated within that area, but it wasn't
10 that area because that pivot wasn't there before 2004.

11 Q. How about if you read a transcript where she
12 said that? Would that be enough for you?

13 A. Well, that would be different from what she
14 told me, but I'd have to see it.

15 Q. Well, it seems like there's a number of
16 things in here that are different from what people told
17 you.

18 Would you agree with that?

19 A. Sounds like it.

20 Q. Now, your analysis does not account for any
21 use by Ms. Ankney in 2001 and 2002; correct?

22 A. Correct.

23 Q. Turn, please, to page 76. This is the White
24 right that I'm interested in discussing.

25 A. Okay.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. You spoke with Mr. White; correct?

2 A. Yes.

3 Q. And he confirmed that he was irrigating these
4 lands in 2004 and 2006?

5 A. Yes.

6 Q. Now, I heard you say, when you were speaking
7 with Mr. Kaste, that these rights became active in
8 2003. Was that your testimony?

9 A. Yes.

10 Q. Looking at page 76, I don't see where it
11 indicates that; is that right?

12 A. That's right.

13 Q. Do you know the priority date on the White
14 right?

15 A. I'd have to look it up. It's sometime in
16 2002, as I recall.

17 Q. Why don't we take a look at Exhibit M5. And
18 I'll ask that it be put up on the screen here so that
19 we don't have to dig it out of the large exhibit.

20 And I'm going to turn to Appendix G, and I
21 believe it's on page 323 of that document.

22 A. What document?

23 Q. It should be showing up here. Actually, I'm
24 sorry. It's page 322.

25 SPECIAL MASTER: Mr. Wechsler, does the

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 witness have a copy or does he need a copy?

2 MR. WECHSLER: Well, I can give him a copy,
3 and I can look at the screen.

4 BY MR. WECHSLER:

5 Q. Mr. Fritz, I've handed you now what's been
6 marked Exhibit M5. And we're looking at page 322. At
7 the very bottom of that -- and, first of all, are you
8 familiar with this appendix? It's from Mr. Book's
9 original report.

10 A. I am.

11 Q. And these are -- it's labeled at the top
12 "Post-1950 Irrigation Water Rights on the Tongue
13 River"; right?

14 A. Yes.

15 Q. At the bottom here I believe is the right
16 we're discussing.

17 On the very last right of the table, it
18 indicates Bill White. Do you see that?

19 A. Yes.

20 Q. And what's the priority date?

21 A. 3/13/2000.

22 Q. So the priority date is in the year 2000?

23 A. Yes. What I was talking about was the
24 statement of completion and the statement of beneficial
25 use, and those are in 2003.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Again, you didn't indicate that in your
2 report; correct?

3 A. That was an oversight. I should have.

4 Q. And you didn't indicate that in your
5 deposition?

6 A. No.

7 Q. We don't have any documents that indicate
8 that before us today, do we?

9 A. I don't know. It was in the backup material
10 that I submitted, the water rights, the printouts from
11 the E permits. So I don't know if you have it or not,
12 but it was given to you.

13 Q. Unfortunately, I don't have that here today.

14 MR. KASTE: I object to that statement, "I
15 don't have it here today." He got it.

16 MR. WECHSLER: I don't have it here today.
17 Thank you.

18 SPECIAL MASTER: Thank you.

19 BY MR. WECHSLER:

20 Q. If you would turn, please, Mr. Fritz, to the
21 aerial photography indicating the White right in your
22 exhibit, Exhibit W2, which is labeled WY043208.

23 And, Mr. Fritz, you talked about some
24 additional acreage that you had left off your map;
25 right?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Yes.

2 Q. I'm actually interested in talking about
3 another portion.

4 Now, there was a portion of this right that
5 you didn't originally include as irrigated; correct?

6 A. I'm not sure what you're referring to.

7 Q. Well, when you originally evaluated this
8 right and you looked at the ET and the aerial
9 photography, there was a portion that you did not
10 include as irrigated that ultimately you changed to
11 irrigated after you talked to Mr. White; correct?

12 A. Oh, yes, there was.

13 Q. Can you indicate which portion that is on the
14 screen?

15 A. It's this piece right here.

16 Q. And so if we move to the next diagram, which
17 is the METRIC, and let's look at 2006. This is on
18 WY043210. It's the next one. And this is the METRIC
19 from 2006.

20 So in looking at that parcel, it's indicated
21 as a -- is it 9.9?

22 A. Yes, 9.9 acres.

23 Q. Looking at that 9.9 of the METRIC, you
24 originally said, well, that's not irrigated; correct?

25 A. My initial examination of the aerial

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 photograph and the METRIC indicated to me it was not
2 irrigated. Bill White corrected me on that.

3 Q. Turns out it was irrigated?

4 A. Yes.

5 Q. Before I move on to the next right, I did
6 want to clean up one point on the Delapp right, which
7 was the one with CBM that you spoke with Ms. Ankney
8 about.

9 Do you recall that?

10 A. Yes.

11 Q. And Ms. Ankney is no longer using CBM water
12 on that right; correct?

13 A. I think that is my understanding. I couldn't
14 testify to that myself, but that's my understanding.

15 Q. In general, CBM production is slowing down?

16 A. Yes.

17 Q. And so there's less water available for these
18 folks with post-1950 rights?

19 A. There's less CBM water available for
20 anything, yes.

21 Q. With -- looking back at the White right, I
22 think you indicated -- and you spoke about the school
23 right as well, that there were pre-1950 rights that
24 were being transferred to that location; is that right?

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. And when that transfer occurs in Wyoming,
2 there's no consumptive use analysis; right?

3 A. Normally, when it's just a change in place of
4 use, like for irrigation without a change of use, they
5 don't require a consumptive-use analysis.

6 Q. Turn, please, to page 79. And I'm looking
7 actually at the Addleman right which begins on page 78
8 to 79.

9 And it was interesting to me because, if you
10 look at page 79 in the first full paragraph here, that
11 begins "This limited."

12 Do you have that before you?

13 A. I have page 78.

14 Q. And it's actually a paragraph on page 79.

15 A. Okay.

16 Q. The paragraph begins "This limited
17 irrigation."

18 A. Yes.

19 Q. And there's a sentence here that indicates --
20 now, you spoke with Mr. Fisher; right?

21 A. I did.

22 Q. There's a sentence here that says,
23 "Mr. Fisher confirmed that the eastern portion of the
24 property is irrigated much more than the western
25 portion. Price of diesel fuel is part of the reason

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 for the sporadic irrigation."

2 Do you see that?

3 A. Yes.

4 Q. Did you understand Mr. Fisher to be saying
5 that there was some irrigation on the western portion
6 of his property?

7 A. No, not in these years.

8 Q. And so what did you mean when you said "is
9 irrigated much more than the western portion"? You
10 actually meant zero?

11 A. Yeah. Yes.

12 Q. At the bottom of page 79 is the McTiernan
13 right.

14 Do you see that?

15 A. Yes.

16 Q. You didn't speak with anyone from the
17 McTiernan family; correct?

18 A. No.

19 Q. Or anyone that runs this operation?

20 A. No.

21 Q. In discussing the permit 7267, which is the
22 first one there, you say that there was no water from
23 Owens Ditch in July; right?

24 A. Yes.

25 Q. They could have received water earlier in the

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 year; correct?

2 A. It's possible.

3 Q. And that would have been true for all the
4 years we're discussing, 2001, '2, '4, and '6?

5 A. What could have been true? That it could
6 receive some water sometimes?

7 Q. It would have received water before being
8 regulated.

9 A. It's possible.

10 Q. Likely; is that fair?

11 A. I don't know that.

12 Q. If it's a free river, there's nothing to stop
13 them from taking the water; correct?

14 A. If you saw Smith Creek, you'd have to wonder
15 what a free river is on that place. There's lots of
16 water rights and not much water, but there could be
17 constraints at any time on how much you could divert.

18 Q. If the water is available, they divert it?

19 A. Yes.

20 Q. Looking at the permit 32200D, which is one of
21 the McTiernan rights listed on page 80.

22 Now, here you indicate that the area was
23 irrigated during the years in question; right?

24 A. Yes, it does indicate irrigation.

25 Q. And you found that out by talking with -- was

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 that Mr. Boyd?

2 A. Well, it indicates irrigation on the METRIC
3 figure. The aerial photograph seems to indicate
4 irrigation.

5 Q. You also indicate, in your narrative on page
6 80, "The area was irrigated during the years in
7 question according to the hydrographer in District 5."

8 A. Yes.

9 Q. And that's Mr. Boyd?

10 A. That's Mr. Boyd.

11 Q. But you removed this from the list from Table
12 11A because the acreage received water from Bear Claw
13 Love No. 1 Reservoir; right?

14 A. Yes.

15 Q. What's the priority date on Bear Claw Love
16 No. 1 Reservoir?

17 A. It's a post-'50.

18 Q. Turning to the Lomax right on page 81. This
19 is a spreader system; correct?

20 A. It is.

21 Q. There's no way to regulate or curtail a
22 spreader system; right?

23 A. I'm sorry. What was the question?

24 Q. There's no way to regulate or curtail a
25 spreader system?

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. Not that I know of.

2 Q. Is there water in Earley Creek?

3 A. You'd have to put a time scale to that.

4 There is sometimes when it rains.

5 Q. Early in the year?

6 A. If there's precipitation.

7 Q. Throughout the year if there's precipitation?

8 A. Yes.

9 Q. If that's not captured by this spreader, does
10 it make it to the Tongue River?

11 A. It should, yes, if nothing catches it.

12 Q. And there's no record of this diversion;
13 correct?

14 A. No.

15 Q. Turning to page 84, this is the Koltiska
16 right.

17 Now, when you discussed this right with
18 Mr. Kaste, he took you to an attachment that indicated
19 this was from reservoir water. And I wondered if, when
20 you look at page 84, this really talks about CBM water.
21 And if you wanted to clarify which of those it came
22 from, that would be helpful.

23 A. That's why I think I was confused when I was
24 trying to answer that question.

25 My recollection was it's CBM water. I have a

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 footnote wrong in that table. That should indicate it
2 was CBM water.

3 Q. You just put the asterisk by the wrong --

4 A. Yes.

5 Q. So this right actually had to do with CBM
6 water?

7 A. Yes.

8 Q. And it looks like, in fact, you spoke with
9 Mr. Koltiska; correct?

10 A. I did.

11 Q. The CBM development, there was some
12 irrigation prior to CBM on these properties; correct?

13 A. I don't know. There was a water right, but I
14 couldn't testify as to how much irrigation there was.

15 Q. The CBM didn't go on until 2006; correct?

16 A. That's what I got from Mr. Koltiska.

17 Q. You indicate here that the -- in the bottom
18 paragraph of page 84, there's a second sentence, "The
19 following information was provided by Gary Koltiska."

20 You see that?

21 A. Yes.

22 Q. Next sentence: "Prior to CBM development,
23 small portions of this property were occasionally
24 irrigated using tailwater from the No. 9 Ditch from
25 Prairie Dog Creek."

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 You see that?

2 A. I do.

3 Q. So it was irrigated prior to 2006; right?

4 A. That statement was taken from him. If you
5 read the previous paragraph, it doesn't look like it
6 was irrigated in 2004 from the METRIC evidence. So
7 that's the reason for saying it must have been
8 sporadic. Probably just based on occasional
9 availability of flows.

10 Q. You don't know about 2001 and 2002; right?

11 A. No, I don't.

12 Q. Mr. Koltiska indicated that it had been
13 irrigated in the past. Is that right?

14 A. Is that a question?

15 Q. Yes.

16 A. He -- I don't remember getting a statement
17 from him like that.

18 Q. Well, you do indicate that this information
19 was provided by Mr. Koltiska, that small portions were
20 occasionally irrigated; right?

21 A. Yes.

22 Q. So it had been irrigated in the past?

23 A. Some parts of it. I don't know which parts.

24 Q. You just don't know what years?

25 A. Don't know which parts what year, right.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. It is a post-'50 right; correct?

2 A. It is.

3 Q. Turning to page 85, there's a Koltiska KN
4 Pump.

5 A. Yes.

6 Q. Now, we agreed that the typical irrigation
7 season starts in May; correct?

8 A. Typical.

9 Q. Other witnesses have testified that there is
10 water in Cat Creek at the beginning of the year.

11 Do you agree?

12 A. I don't think you could say that in every
13 year, no.

14 Q. In some years there is, correct?

15 A. Yes.

16 Q. And if that water was available, it would
17 have been available for irrigators; correct?

18 A. Yes.

19 Q. Including Daniel Koltiska?

20 A. Yes.

21 Q. The reason you zeroed this out is you
22 indicated that there was access to Kearney Lake water;
23 right?

24 A. Yes.

25 Q. Typically that water gets started sometime in

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 July; correct?

2 A. Yeah, typically.

3 Q. At the bottom of page 85 is the Pilch right.
4 You're familiar with this right?

5 A. Yes.

6 Q. And I think you said you spoke with Mr. Pilch
7 more than once; right?

8 A. Yes.

9 Q. Are you aware that there are areas in -- that
10 he irrigated that are not listed as irrigated in your
11 Attachment 7?

12 We can look at it if it would be helpful.

13 A. That would be helpful.

14 Q. It's one of the last rights in Attachment 7,
15 one of the last pages, labeled at the bottom WY043250.

16 A. Okay.

17 Q. On this aerial photograph, the areas that you
18 included as being irrigated are outlined in blue;
19 correct?

20 A. The areas that I indicated within post-'50
21 permit boundaries that are irrigated are outlined in
22 blue.

23 Q. The other areas, the nonirrigated portions of
24 the post-'50 right, are outlined in black; correct?

25 A. Yes.

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. And the areas that you believe were supplied
2 by CBM water are shown with the red hashing; right?

3 A. Yes.

4 Q. Are you aware of any lands, outside of that
5 red hashing but within the post-1950 right, that were
6 irrigated by Mr. Pilch in 2004 and 2006?

7 A. I'm not aware of any. And the evidence on
8 the METRIC maps don't seem to indicate any. So, no,
9 I'm not aware.

10 Q. Are you aware that Mr. Pilch testified in
11 this proceeding?

12 A. I am aware of that.

13 Q. You were not present for that testimony?

14 A. No.

15 Q. Even on the map that you're providing --
16 well, first let me say, Mr. Pilch would be the best
17 source of information as to what he irrigated in '04
18 and '06; correct?

19 A. Well, I'd have to say that I'd have to look
20 at all the -- if I had to make a decision on that, I'd
21 have to look at all the evidence.

22 Q. You wouldn't believe Mr. Pilch even if he
23 told you "I irrigated that"?

24 A. It wouldn't be a matter of believing him. He
25 might have a misunderstanding of what question he was

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 asked. I don't know. I don't know what the situation
2 was.

3 Q. You don't know what happened in '04 and '06?

4 A. I do not.

5 Q. Might be helpful to ask someone who was
6 there; right?

7 A. I did.

8 Q. And, of course, he wasn't under oath when he
9 spoke with you, was he?

10 A. No. Neither of us were.

11 Q. He was under oath when he testified here, so
12 maybe we'll go by that.

13 Now, even on your report, you're indicating
14 that in '04 and '06 those lands were irrigated by CBM
15 water; correct?

16 A. The hatched lands on this map, yes.

17 Q. You don't have any information about '01 and
18 '02; right?

19 A. No.

20 Q. And if Mr. Pilch indicated that he irrigated
21 those lands in '01 and '02, would you believe him?

22 A. Well, I think he told me the CBM water was
23 being used in '01 and '02. So, yes, I would believe
24 him.

25 Q. Now, if he testified here under oath that

Cross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 that CBM water didn't come in until '03 and '04, what
2 would you say to that?

3 A. I would say that's different from what he
4 told me, but I couldn't adjudicate the truth on that
5 one.

6 Q. You read his deposition?

7 A. No.

8 Q. Finally, we have the Rose right, which is on
9 page 87. Well, 86, spilling over into 87.

10 A. Okay.

11 Q. This one you zeroed out much like the
12 Koltiska KN Pump because of the availability of
13 reservoir water; correct?

14 A. Yes.

15 Q. So the same discussion we had regarding that
16 one would apply here; correct?

17 A. Same discussion?

18 Q. About the availability of reservoir water.

19 A. That's the reason for zeroing it out, you
20 mean?

21 Q. And the availability of Kearney Lake
22 Reservoir, all of those types of concepts apply;
23 correct?

24 A. Yes.

25 Q. Now, at the end of the day, you're not

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 indicating that Wyoming's impact from post-1950 use is
2 zero; right?

3 A. No.

4 Q. In fact, I think you think it's 1300
5 acre-feet per year, or something like that, on average?

6 A. Yes.

7 MR. WECHSLER: Your Honor, may I have a
8 moment?

9 SPECIAL MASTER: Yes, you may.

10 MR. WECHSLER: Nothing further, Your Honor.

11 SPECIAL MASTER: Okay. Thank you,
12 Mr. Wechsler.

13 EXAMINATION

14 BY SPECIAL MASTER:

15 Q. So, Mr. Fritz, I actually have fewer
16 questions than I originally thought I was going to have
17 for you because one of the nice things about waiting
18 until counsel for both sides ask their questions is
19 that frequently the various questions that I've had to
20 begin with have been answered.

21 But I still do have some questions. Most of
22 them are just an effort on my part to make sure that I
23 understand everything that is in your report and, to
24 the degree, if something is a little bit confusing to
25 me, to permit me to clarify it.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 So let me start out -- a lot of my points are
2 going to be relatively small. Let me start out on page
3 12 of your report. And I'll try to key everything in
4 to your report.

5 So on page 12, this is the Request for
6 Regulation form. And, as Mr. Wechsler pointed out,
7 this is the first time we've actually seen one of
8 these, so you're the first person I've actually been
9 able to ask any question about it.

10 I noticed that the third sentence says, "If
11 you do not have an approved headgate and measuring
12 device presently installed, your request for regulation
13 will be subject to review by the hydrographer
14 commissioner or water commissioner and/or division
15 superintendent."

16 So -- and if you don't know the answer to
17 this, say so. But I'm curious. I understand the part
18 about if you don't have an approved headgate and
19 measuring device, but I don't understand what the other
20 portion of it means.

21 A. I can give you my understanding of it. It
22 means if -- your call won't be honored under certain
23 conditions.

24 Q. Okay. So it doesn't mean that it will never
25 be honored under those conditions but that the

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 hydrographer commissioner or water commissioner, the
2 division superintendent, will think about it?

3 A. Yes.

4 Q. Okay. And then turning to page 16 -- and
5 this is a question I could have asked earlier, but it
6 just never occurred to me.

7 At the very bottom of the page it says, "At 1
8 CFS per 70 acres, the 49.45 CFS of Territorial rights
9 could irrigate 3460 acres."

10 So my question here is, under Wyoming law,
11 I've assumed that water rights also attach to certain
12 acreage. Is that correct?

13 A. Yes.

14 Q. So if you have Territorial rights to a
15 certain amount of cubic feet per second, does it
16 automatically mean that you're going to have the
17 acreage to apply to that at a rate of 70 acres for
18 every 1 CFS?

19 A. It means that at one point in time, they
20 thought they could irrigate that much acreage.
21 Territorial rights, by definition, predate the state
22 and the state permit system, so a lot of your
23 Territorial rights are what they call blanket
24 appropriations. And they might be 360 acres inside of
25 500 acres or 800 acres, so they don't have a real good

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 location specific to them. But it still should be 1
2 CFS per the number of irrigated acres that they could
3 irrigate.

4 Q. And then at a certain point in time the state
5 began to more clearly define what the exact property
6 was to switch the water rights attached?

7 A. Yes.

8 Q. And do you know when that was?

9 A. Well, it's about when it became a state
10 because then the state permit was set up. And then
11 people had to supply a map with their permit
12 application rather than just send in the blanket
13 appropriation and say here's what we had.

14 Q. Okay. Then if you turn to page 18.

15 A. Okay.

16 Q. So I have a number of smaller questions here.

17 So I noticed, for example, that on some of
18 the pre-Compact water rights, where you've indicated
19 that they don't divert or it's been abandoned, that
20 there are no numbers in the CFS, which struck me as
21 reasonable, since presumably they no longer have any
22 water rights. But in other cases, like the McCuistion,
23 No. 57, No. 66, the enlarged Wagner, I notice even
24 though they're abandoned and they don't divert, that
25 you put numbers in there.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 Is that just a mistake?

2 A. Where I had information like that -- some of
3 this came from the schematics that we were looking at
4 from the hydrographer commissioner, and where I had
5 information like that, I transferred it to this table.

6 Q. Okay. And, then, so just to follow up on
7 that, it doesn't mean that they actually still
8 necessarily have a right to that amount of water or
9 that they're diverting that amount of water; it's just
10 if you had a number, you inserted it?

11 A. Yes.

12 Q. Okay. And then I noticed that on page 18,
13 it's the continuation of the sentence from before, but
14 it says there that "...roughly, 9600 acres of land are
15 actually irrigated along the main stem of the Tongue
16 River equal to about 70 percent of their pre-Compact
17 rights."

18 So what's happened to the other 30 percent of
19 the pre-Compact rights?

20 A. My understanding is the water uses have
21 actually grown to fit the availability of water. They
22 are probably -- for one reason or another, those lands
23 have gone out of production or there wasn't enough
24 water for them or they were filed and weren't really
25 put to use.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 There could be a number of reasons. But this
2 is typical everywhere you go in the state. There are
3 many more water rights than there are actual irrigated
4 lands.

5 Q. So some of those other rights might
6 actually -- if they came up, could be found to have
7 been abandoned, for example?

8 A. Yes.

9 Q. Okay. And then if you could turn to page 26,
10 I have some questions here. So this is just trying to
11 get the numbers straight.

12 So you say at the top of the page that there
13 are 41 water rights listed in the table, 30 of which
14 are for original supply. And then down in the bottom
15 paragraph you talk about the post-1950 original supply
16 water rights in Table 3-7, that 11 list the source as
17 the main stem of the Tongue. And then you say 6 of
18 these rights or the -- well, let me sort of step down.
19 6 of these rights are enlargements of ditches found in
20 Table 3-2.

21 So is that 6 of the post-1950 original supply
22 rights or is that 6 of the 11 that list their source as
23 the main stem?

24 A. 6 of the 11 that list their source as the
25 main stem.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 Q. Okay. And then you say 4 of the water rights
2 are on Smith Creek. So that's separate from the 11;
3 right?

4 A. Yes.

5 Q. Okay. And then you have, on page 28 --

6 A. Wait a second. I need to read these more
7 carefully before I --

8 Q. That's fine. I'm not trying to trick you.
9 I'm actually just trying to figure out the numbers.
10 'Cause if I include the 6 on top of the 11, I get --

11 A. I'm sorry. I misspoke. That's 6 of the
12 total of 30 are enlargements of ditches listed in Table
13 3-2.

14 Q. So here's my question: If I take the 11 and
15 the 6 and the 4 and then I add the 13 that's at the top
16 of page 28, I end up with 34 rights. And, ultimately,
17 it may not be that important, but I was --

18 A. Maybe I better count what's in the table. I
19 got 41 total in Table 3-7.

20 Q. Tell you what. Rather than taking a lot of
21 time right now, if you want to take a look at that
22 during the break, if you can clarify that, that's
23 great. And if the numbers don't quite balance, like I
24 say, it's probably not going to be -- but I started
25 making a table for myself, and when I did that, I ended

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 up --

2 A. I will clear that up.

3 Q. And I'm just going to make sure I understand
4 how to actually understand your history.

5 So if you turn to page 31, just as an
6 example, you have at the bottom that "The flow in Wolf
7 Creek did not rise above 20 CFS until May 4, explaining
8 why regulation was necessary in April."

9 And then you go on to say, "On July 3 the
10 flow dropped back below 20 CFS, where it remained for
11 the rest of the season. Thus, in 2004, there were only
12 two months of unregulated diversions."

13 So basically, as I understand the history
14 here, whenever you have a key flow rate of, in this
15 particular case, like 20 CFS for Wolf Creek, during the
16 periods of time when the flow is above that amount,
17 unless there's some other evidence in the record, then
18 one would not expect that particular stretch of
19 waterway to be regulated. But whenever it drops below
20 that, you would expect it would go into regulation. Is
21 that correct?

22 A. Yes. Generally speaking, that's true.

23 Q. So here, for example, because it rose above
24 20 CFS on May 4th and then did not drop back down until
25 July 3rd, that's why you say there's that two-month

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 period, May 4 to July 3, when diversions were
2 unregulated?

3 A. Yes.

4 Q. Actually, let me just go back to page 26 for
5 a moment. This is where I was discussing those numbers
6 with you a moment ago.

7 When you refer to original supply water
8 rights, and in this particular case you're referring to
9 post-1950 original supply water rights, you're
10 referring to those post-1950 water rights that are not
11 classified as supplemental?

12 A. Yes.

13 Q. Okay. And Mr. Wechsler asked you earlier --
14 on page 27, he noted that a number of the rights -- or
15 he asked you earlier how to define "supplemental." I
16 noticed on Table 3-7 that a number of these rights are
17 listed as supplemental, and I also noticed that none of
18 those have an actual amount attached to them.

19 So is that just because you didn't list them,
20 or do supplemental rights not have a particular amount
21 attached to them?

22 A. They don't have an amount. What they are is
23 they supplement your diversion from a different source
24 up to the original 1 to 70. So if you've got 1 to 70
25 appropriated from your original supply, you can

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 supplement that by whatever you're short on your
2 original supply.

3 Q. Okay. And so, for example, if I were to take
4 a look at the second of the lines here, which is -- on
5 Table 3-7, which is permit No. 5767E for the Enlarged
6 Interstate Ditch, and that's listed as supplemental, in
7 order to determine how much water could potentially be
8 involved there, I would then go to the original
9 appropriation for the Interstate Ditch?

10 A. Well, you'd have to go to it on a particular
11 day or a particular point in time and see what they're
12 actually getting from their original supply before you
13 would know how much they were entitled to from their
14 supplemental supply.

15 Q. Understood. But that's not in your report
16 anywhere?

17 A. No.

18 Q. So if I wanted to figure out what the maximum
19 was, how would I figure that out without knowing what
20 it is on any particular day?

21 A. I think that's the reason these aren't listed
22 in this study. I don't think there's a way to know
23 without a realtime look at what's going on on that
24 particular piece of ground.

25 Q. Okay. But to the degree that there are these

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 supplemental post-1950 rights, they actually increase
2 the amount of post-1950 water that, at least in theory,
3 could impact Montana; is that correct?

4 A. Yeah. If you assume that the original supply
5 is out of water and the supplemental supply is fully
6 there, which I don't think you can make an assumption
7 of that in every case, but under that hypothetical
8 situation, then there would be more water use from the
9 source of the supplemental supply.

10 Q. And why do people ask for supplemental
11 rights?

12 A. It's typically a situational thing, in my
13 experience. I'm sure there are lots of different
14 reasons for doing it.

15 In some cases, the supplemental supply is
16 actually a more reliable source than the original
17 supply. Why they started with the original supply
18 would have to be another study in itself.

19 Q. Okay. But I think you've helped me now
20 understand why, you know, neither you nor Mr. Book
21 actually spend much time talking about numbers for
22 supplemental water rights, is that it's difficult to go
23 to any particular location and figure out what the
24 amount of a supplemental right use might be in a
25 particular year.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 A. Yes. It's very difficult to generalize about
2 one. You got to look at that right on the ground at a
3 particular time.

4 Q. Okay. And then turning to page 34, then,
5 this keys into what I was just talking about.

6 The -- when you have in the third paragraph
7 there "Table 3-7 shows there are 4.69 CFS of
8 post-Compact diversion rights on the main stem of the
9 Tongue River," that's actually 4. -- is that 4.69 CFS
10 of original --

11 A. Yes.

12 Q. -- post-Compact? Okay.

13 And in the next paragraph you say, "Spot
14 discharge measurements were only made at one headgate."

15 And I just want to make sure I understand.
16 When you say a "spot discharge measurement," is that
17 basically measuring how much water is diverted from the
18 river through that headgate?

19 A. Yes.

20 Q. Okay.

21 A. I use the term "spot discharge measurements"
22 to differentiate in the hydrographer report some of the
23 diversions that actually have recording gauges on them.
24 And they will keep a continuous record during the
25 season, and spot measurements are made on the days when

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 the hydrographer goes there and actually takes a
2 reading. That's the difference between the two.

3 Q. Okay. That's helpful. I also wasn't sure --
4 the term "discharge," I normally think of somebody who
5 is putting something back into a river rather than
6 pulling it out, so it was terminology I wanted to make
7 sure I was familiar with.

8 During 2004, then, that was the only -- was
9 that the only -- that was the only measurement of the
10 headgate on the main stem that you're aware of?

11 A. Yes.

12 Q. Okay.

13 A. Other than, as I said, the recording gauge on
14 the High Line Ditch.

15 Q. All right. And then this might have to do
16 with the geography that you were talking about, in
17 part, with Mr. Wechsler. But I was also confused. On
18 page 38 you're talking about the 2006 year, and you say
19 in the first full paragraph, under the recap of the
20 water year, "The Tongue River near Dayton peaked on
21 May 23rd, and by August 24, the flows had receded to
22 below 40 CFS, the level below which regulation is
23 required on the main stem."

24 But then in the first full paragraph on page
25 39, you say, "On July 24 the Tongue River was regulated

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 for the O.Z. & K. Ditch.

2 So it wasn't clear to me, if it wasn't below
3 40, why there's any regulation.

4 A. That's, again, an illustration. And this
5 isn't well stated in this report. Those are just
6 indicator flows that the hydrographers have learned
7 from experiences when regulation is likely. It doesn't
8 mean anybody is going to call for regulation at that
9 point in time.

10 It doesn't mean that there's any automatic
11 regulation at that point in time. It's just when they
12 have come to expect it. So it could occur before or
13 after that depending on whatever is going on at any
14 particular headgate.

15 Q. Okay. And I'm sorry. In addition to the
16 spot discharge for the South Side Ditch, you said that
17 there was also measurement of a diversion gauge for
18 which water right?

19 A. The High Line Ditch.

20 Q. Okay.

21 A. It's high up on the Tongue River. It has a
22 recording gauge.

23 Q. Okay. Thank you. I was just trying to
24 compare my notes.

25 So I was also confused on pages 47 and 48.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 So here we're talking about the Little Goose. And you
2 note here that, in the bottom paragraph on page 47,
3 "...the hydrographers have learned that a flow rate of
4 14 CFS going past the Colorado Colony Ditch headgate is
5 sufficient to satisfy downstream rights." And then on
6 the Figure 3-10 on page 48, you have a key flow rate
7 for regulation being 80 CFS.

8 And it wasn't clear to me where the 80 CFS
9 came from, and I might have missed something on an
10 earlier page.

11 A. Okay. The 80 CFS is at the upstream gauge on
12 Little Goose Creek. That's a different gauge from the
13 one below the Colorado Colony Ditch.

14 Q. Okay. And so you have the two different
15 ones. And even if it's not stated elsewhere, the key
16 flow rate for regulation of the Little Goose is 80 CFS?

17 A. That's their key flow rate there, yes.

18 Q. Okay. Let me ask you one other question, and
19 then I'll need to take that 3:30 break I mentioned.
20 We'll have like 20 minutes. And then I'll come back,
21 and I'll just have a few other questions.

22 But there was the paragraph on page 57 that
23 you were talking to Mr. Wechsler about earlier. And
24 I've -- just need it explained to me. This is the
25 paragraph on page 57 that begins, "Under the

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 hydrographers' direct supervision, the caretaker was
2 instructed in late May, when he was able to access the
3 lake, to set the outlet gates to maintain a level flow
4 rate below the dam which equaled the lowest flow during
5 the day."

6 So what I didn't understand was what it means
7 to maintain a level flow rate below the dam which
8 equals the lowest flow.

9 A. There's a lot of concepts in there, and I see
10 why it's confusing. What they were trying to do is set
11 gates so the reservoir isn't either releasing storage
12 or gaining storage.

13 They are trying to set it -- now, remember
14 these are pretty remote locations. So they're trying
15 to set it so, during the day, there's no change in
16 storage. They're releasing direct flow up to whatever
17 occurs.

18 Well, they have -- and it's particularly
19 noticeable during the snowmelt season. There's a
20 diurnal fluctuation to the runoff because it melts a
21 lot faster in the daytime and then slows down at night.
22 So rather than having to be up there constantly, they
23 were trying to find a flow rate they could set the
24 outlet gate at to make sure they weren't storing and
25 not releasing storage on average through the day.

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 Q. So I think I understand now. So.

2 Basically what this is saying is you set the
3 outlet gate to maintain a level flow rate out of the
4 reservoir which equals the lowest flow of water during
5 the day into the reservoir?

6 A. Yes.

7 Q. And that's why you then say, in the next
8 sentence, that it "allowed the reservoir to store
9 during the high-flow period of the day"?

10 A. Yes.

11 Q. Okay. Actually, since we have a moment
12 more --

13 A. I could have worded that a little better.
14 Sorry about that.

15 Q. No. This is -- as I say, my goal is just to
16 make sure I totally understand all parts of your
17 testimony.

18 So on page 63, you say, in the middle of the
19 page, "Over the course of one year, the 2808 acre-feet
20 converts to a steady flow rate of 3.9 CFS, which is
21 equal to the diversion rate that can be appropriated to
22 irrigate about 270 acres in Wyoming," which is the 1
23 CFS per 70 acres.

24 And I hadn't actually stopped to calculate
25 this before, but when I then calculated how many

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 acre-feet per acre that is, that is, by my calculation,
2 about 10.4 acre-feet for every acre irrigated.

3 So is that the amount of water generally used
4 to irrigate an acre of land in Wyoming?

5 A. No. This is a flow rate through the year.
6 They only actually divert about three or four months a
7 year to these lands. So the diversion rate is related
8 to acres, but the volume is not related to acres.

9 Q. Okay. So then this would be -- this 2808
10 acre-feet would actually irrigate more than 270 acres
11 in Wyoming?

12 A. It would -- if you could distribute it
13 evenly, it could put a foot of water on 2800 acres.
14 And that's about -- according to the basin plan, you
15 know, it was about equivalent to how much water they
16 actually use, about 1 foot.

17 Q. Okay. And so why is it -- explain to me
18 again, then, why is it that, if you're receiving the
19 CFS of rights, what you're saying is you're not using
20 that CFS throughout the year?

21 A. No. It's just a May-to-September diversion
22 typically.

23 Q. Right. So if you take just the
24 May-to-September portion of it, then -- and convert
25 that to the acre-feet, then that would be a better

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 calculation of how many acre-feet per acre is actually
2 being used? Or is it just impossible to make those
3 calculations?

4 A. Well, that's what I say, putting these
5 so-called impacts to volume doesn't tell you very much.
6 You've got to consider all these other aspects of how
7 that constitutes an impact.

8 Q. Okay. So just -- so all this is saying is
9 that if you were to -- if you use the -- your maximum
10 CFS and no one else could use that, then all you'd be
11 able to irrigate is 270 acres?

12 A. Yes.

13 SPECIAL MASTER: Okay. Why don't we break
14 for 20 minutes right now. And then I have a couple
15 more questions. And then Mr. Wechsler can ask --
16 actually, Mr. Wechsler on cross and Mr. Kaste for
17 redirect. So, as I say, it will probably be about a
18 20-minute break.

19 (Recess taken 3:31 to 3:59
20 p.m., December 2, 2013)

21 SPECIAL MASTER: Okay. So I'm going to try
22 and finish up here in about 10 or 15 minutes.

23 BY SPECIAL MASTER:

24 Q. So if you turn to page 65. And this is your
25 discussion of the post-1950 storage, compact

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 reservoirs.

2 The first thing -- I should probably take a
3 look back, but is all of Kearney Lake, is all of that
4 post-1950?

5 A. No.

6 Q. Is all of it that's brought over post-1950?

7 A. No.

8 Q. Then why is it that -- if the -- so I
9 understood your argument here as to why you're looking
10 at this is that this is water that has come over from
11 the Powder River and wouldn't be there as return flow
12 if it were not for the -- in this particular case, for
13 the importation of the Powder River water. Is that
14 correct?

15 A. The study that we did on the import only
16 included the post-'50 part of Kearney Lake because the
17 pre-'50 part was assumed to have been the base case
18 that was there. So we just looked at the post-'50 part
19 of Kearney Lake Reservoir that's been imported for this
20 study.

21 Q. So the reason why you're just looking at
22 post-1950 in this particular case and not looking at
23 the return flow from everything that's being imported
24 is because of the assumption that, to the degree you
25 have pre-1950 water that's being imported over, that

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 actually was also true at the time of the compact?

2 A. Yes, so it's not an impact.

3 Q. And that water has been imported since before
4 1950?

5 A. Yes.

6 Q. So one of the questions that will come up
7 from a legal perspective is how to actually treat such
8 return flow under the compact. And I'm not going to
9 ask you to give any opinion as to whether or not that
10 return flow from post-1950 water that is imported over
11 into the Tongue River area should be credited, in a
12 sense, towards Wyoming's account, but I'd like your
13 help just a little bit in thinking this through at the
14 moment.

15 So are you aware of any situations in Wyoming
16 that you've ever worked in where you have somebody who
17 is importing water and using it on their lands, and
18 then a senior water right holder downstream was not
19 receiving enough water in order to meet their full
20 water right, makes a call on that water user regarding
21 post-1950 water that they are using at that particular
22 point in time, and asks that that post-1950 water be
23 regulated?

24 A. I'm not aware of any situation where that's
25 come up. Post-'50 is kind of a unique thing to this

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 compact.

2 Q. Actually, let me clarify that, because I
3 realize I started out with one hypothetical and then I
4 ended up with a different one.

5 What I'm trying to do is get away from the
6 compact situation and think about this as if it were a
7 situation purely as a matter of Wyoming water. And so
8 what I'm imagining is you have a junior upstream water
9 user and a senior downstream water user. The upstream
10 junior water user imports some water over, uses it on
11 their land. And there's return flow from that water
12 that goes into the river in this particular watershed.

13 In spite of the fact that the return flow is
14 in the river, there comes a time in the year when that
15 downstream senior appropriator is not getting as much
16 water as he or she is entitled to and calls up the
17 local water commissioner and says, "I want you to
18 regulate that post-1950 water that's being diverted
19 from the river upstream from me by that person who
20 also" -- "by the way, also happens to be importing some
21 water."

22 Have you ever run into that type of a
23 situation?

24 A. No, I have not.

25 Q. Then if we turn to page 71 of your testimony,

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 Mr. Wechsler asked you earlier about the information
2 that you got from Storm Cat Energy, and I just have a
3 couple of additional questions.

4 The first thing is that it says here that
5 what you received was a shape file.

6 Could you explain what a shape file is?

7 A. Yes. A shape file is a digital file that,
8 when incorporated into the correct software, will draw
9 these boundaries on the map. Those boundaries that are
10 geo referenced have geographic information associated
11 with them.

12 Q. So it's basically a data file that can be
13 used to superimpose over a GIS map of a particular area
14 where particular boundaries are?

15 A. Yes.

16 Q. Okay. And did you -- when Storm Cat Energy
17 gave you this shape file, what did they say that the
18 shape file was of?

19 A. I had seen a hard copy of the map in the
20 division office in Sheridan that showed lands that had
21 been irrigated with CBM. And when I saw that on their
22 wall in there, I said, "That could be useful for this
23 project that I'm working on. I'd like to have a copy
24 of it."

25 And Dave said he couldn't give me one; it

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 wasn't his property. But he put me in touch with the
2 Storm Cat people who -- they're working for a firm that
3 has acquired a lot of the older CBM properties in the
4 area. And they have compiled information from a lot of
5 different CBM operators. J.M. Huber was -- there are
6 several companies that operated it. And they've been
7 acquired and put together into this project.

8 So I asked them if I could have that shape
9 file so that I could create these red hashed patterns
10 and overlay it on our mapped irrigated lands and see
11 which ones coincided with irrigated lands.

12 Q. So this was a file that showed lands that had
13 utilized CBM water at some point in time?

14 A. Yes.

15 Q. And were you told what period of time the
16 file covered?

17 A. We weren't given all that information, no.
18 What we -- and I should say that we didn't just use it.
19 We supplemented that. I circulated that map around our
20 office. We have several engineers who have provided a
21 variety of services for coal bed operators. And they
22 added areas that they were familiar with, that they
23 also knew were irrigated with coal bed water.

24 Q. So when you say here in paragraph 8 that
25 "Other areas known to have been irrigated with CBM

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 water were also added to this figure," is that a
2 reference to passing it around your office for
3 additional information?

4 A. It is. It's a reference. But the only
5 realtime information I got was when they coincided with
6 one of the post-'50 properties in the Montana expert
7 report, then I talked to the owner of that land. And
8 that's when I got my time scale of when this was being
9 used on those lands.

10 For other properties that weren't part of
11 this study, it didn't really matter.

12 Q. So that's helpful.

13 So the map, then, which is Figure 4-1, which
14 is on the next page, that is basically a map that you
15 had that you then superimposed the data from the shape
16 file as it was modified by additional information you
17 got from people in your office; is that correct?

18 A. That's right. Actually, the base for this
19 map came from the Montana expert report. It was their
20 map of irrigated lands, and then we used that and
21 superimposed this information.

22 Q. Okay. Thanks. And I also just want to get a
23 better sense of how you determined areas that were
24 actually irrigated.

25 So I'm just taking one of the first places

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 where you mention -- this is, I don't believe, one of
2 the permits for which you and Mr. Book have any
3 disagreement at this point. But this is the Johnson
4 and Stroup permits that are discussed at pages 74 to
5 75.

6 And at the top of page 75, you note that
7 "Table 11-A was revised to reflect the actual irrigated
8 area of 3.6 acres rather than the 5 acres used in the
9 Book report."

10 And so, again, I'm just trying to understand
11 your particular process. So if we look at the Stroup
12 and Johnson maps, and they start at Wyoming 43199 at
13 the back of your report.

14 A. Yes.

15 Q. So I notice the area that you have outlined
16 in blue. So when you would first do your first run at
17 what was the areas irrigated, you would take a look --
18 would you take a look at the 2006 map and then draw a
19 boundary around what appeared to you to be irrigated?

20 A. That was our first shot, was this 2006 color
21 photo.

22 Q. So you would do it based on the aerial
23 photographs. And then after that, you would then take
24 additional information into account?

25 A. Yes. We superimposed the same shapes on the

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 METRIC for '04 and '06 to see if the METRIC data would
2 support that delineation of irrigated lands.

3 And then also talked to hydrographer
4 commissioners about their knowledge of the area, and
5 the owners.

6 Q. Okay. That's what I thought. But, again, I
7 just wanted to make sure I understood the exact process
8 that you used.

9 And then on page 79, that's -- the top
10 paragraph is one that Mr. Wechsler asked you about
11 earlier. It's the one that Mr. Wechsler asked you
12 about, the sentence that "Mr. Fisher confirmed the
13 eastern portion of the property" -- sorry, the eastern
14 portion. I think that might have come out as Easter
15 portion -- "the eastern portion of the property is
16 irrigated much more than the western portion. Price of
17 diesel fuel is part of the reason for the sporadic
18 irrigation."

19 And then you say, "Mr. Fisher stated that the
20 western part of his property may not have been
21 irrigated at all in 2004 and 2006."

22 And do I understand your testimony now is
23 that that sentence should read, "Mr. Fisher stated the
24 western part of his property was not irrigated"?

25 A. That's my understanding from everything, he

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 did not irrigate there.

2 There is, I think, a natural tendency to not
3 be absolute with anything. For one reason, there's a
4 section of the Wyoming law that says if you don't use a
5 water right for five years when water is available,
6 it's subject to abandonment. And you wouldn't want too
7 strong a statement, you know, to be attributed to
8 grounds for abandonment. So I'm naturally careful
9 about saying that absolutely was not irrigated at any
10 point in time.

11 Q. So did he tell you it was not and you
12 modified it, or did he tell you maybe not and you think
13 he was trying to be cautious?

14 A. He actually said he couldn't remember for
15 sure, but he may not have irrigated at all in those two
16 years. That's what he told me.

17 Q. Okay. And dates are important, as you know.
18 So if you turn to page 84, you'll see in the bottom
19 paragraph, about halfway through, it says, "CBM
20 development in the area began in about 2006." So --
21 and this appears to be based on information from Gary
22 Koltiska.

23 Do you know whether or not he said "about
24 2006," or he said "2006" and you changed it to "about
25 2006"?

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 A. He was a little vague there. We have two
2 points in time. We have the '04 METRIC, in which case
3 it was not irrigated, and '06 METRIC, in which case it
4 was.

5 So whether it was in 2006 or prior to 2006, I
6 don't know. And he wasn't really definite.

7 Q. Okay. I would find it to be difficult to be
8 definite about something that happened seven years ago
9 also. So I'm actually impressed sometimes on how good
10 some people's memories can be.

11 Then if you look on page 86. I think this is
12 the last question I had specifically in the report.

13 There's -- in the second paragraph, you note,
14 "The red hatching on the 2006 aerial photo for the
15 Pilch permits in Attachment 7 indicates lands that were
16 being irrigated with CBM water in the 2004, 2006 time
17 frame."

18 So that is, again, from the Storm Cat file;
19 is that correct?

20 A. Yes.

21 Q. And let me just look through my notes from
22 earlier today. There was, I guess, one other thing I
23 wasn't clear about when you were talking earlier.

24 I believe, when you were talking about people
25 who would switch from flood irrigation to sprinkler

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 irrigation, that sometimes they redefined the land that
2 would be serviced by their water right. I remember
3 that you were talking about that subject.

4 A. Yes.

5 Q. And could you explain what it is that you
6 have to do in order to do that?

7 A. Yes. Well, it depends on what the situation
8 is that you start with. Remember, I said some of these
9 old Territorial rights are blanket permits. So they
10 have never had a real good description of where the
11 600 acres is within the 800 acres of the blanket
12 permit.

13 In that case, we go in and redescribe their
14 irrigated lands so that there's an actual map with a
15 place of use showing the new locations. If they had a
16 permit map before, then you prepare a map showing what
17 the existing situation is on the ground, where the
18 irrigated acres are, and where they are going to be
19 after we put this pivot in.

20 And you actually move irrigated lands -- a
21 lot of people put their best and oldest water rights
22 under their best irrigation system, in this case the
23 pivots. And that's what most people do when they
24 switch from flood to pivot.

25 In the White case, he actually filed for a

Examination by the Special Master
DOYL FRITZ - December 2, 2013

1 new permit for the lands that weren't previously
2 covered by permit but that the pivots were going to
3 hit.

4 Q. So when he filed for a new permit, that new
5 permit would then have today's priority date?

6 A. Yes, parts of it would, the new parts.

7 Q. And to the degree that -- and feel free to
8 break this up by type of water right.

9 But if you are taking the area, changing it
10 now that you're going to be sprinkling in a different
11 area than you necessarily flooded, does that require a
12 change proceeding?

13 A. It requires a change of place of use. But
14 it's -- since it's not a change of use, it doesn't
15 require normally a consumptive-use study.

16 Like if you were changing it to municipal or
17 industrial or that type of use, then you have to
18 actually go in and quantify how much water is being
19 consumed before, and that's all you have to transfer.

20 SPECIAL MASTER: Okay. Thank you.

21 Mr. Wechsler?

22 MR. WECHSLER: Couple questions.

23 THE WITNESS: If I could just --

24 SPECIAL MASTER: Yes.

25 THE WITNESS: Your other question, the -- I

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 wish I had a better answer.

2 SPECIAL MASTER: That's fine. On those
3 earlier numbers?

4 THE WITNESS: They won't all total 41 that's
5 in that table. It's a summary, but it isn't an
6 all-inclusive summary. So that's why they don't add up
7 to -- some of the water rights that are enlargements
8 are also original supply. And each one of those
9 different categories does not add up to the total
10 number water rights in that table.

11 SPECIAL MASTER: Okay. I appreciate that. I
12 like tidiness on my table, so when the numbers weren't
13 adding up, I was trying to figure it out. So I
14 appreciate that.

15 Mr. Wechsler.

16 MR. WECHSLER: Thank you, Your Honor.

17 RECROSS-EXAMINATION

18 BY MR. WECHSLER:

19 Q. Mr. Fritz, I have questions about four
20 different issues that the Special Master asked you
21 about. And the first, the Special Master asked you
22 about the High Line Ditch and the measurement device on
23 that ditch.

24 Do you recall that line of questions?

25 A. Yes.

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Do you have Exhibit M438, which is the
2 schematic, still before you?

3 A. Yes.

4 Q. Second-to-last page of that document has the
5 Tongue River.

6 A. Yes.

7 Q. And I'm a visual person, I guess. I like to
8 see where things are located.

9 The High Line Ditch is at the very top there
10 of the Tongue River; correct?

11 A. Yes, it is.

12 Q. You also were asked about the O.Z. & K. And
13 there was regulation of the O.Z. & K. in 2006; correct?

14 A. Yes.

15 Q. And that was the only time that the O.Z. & K.
16 was regulated; correct?

17 A. I think that's the only time, yes.

18 Q. And we see the O.Z. & K. there just below the
19 Town of Dayton?

20 A. Yes.

21 Q. Below the Tongue River, below the O.Z. & K.,
22 there was no regulation; correct?

23 A. I think that's correct.

24 Q. Do you have your report still before you?

25 A. Yes.

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. If you turn to page 27. And this is one of
2 the tables we've looked at today, and we've talked
3 about the supplemental right.

4 There's another indicator here that we see on
5 more than one of these tables, and that is "Does not
6 divert."

7 Do you see that? It's the --

8 A. I do.

9 Q. Looks like the Long Ditch No. 1 on this
10 particular table does not divert?

11 A. Yes.

12 Q. And that's information that you got from the
13 schematics; correct?

14 A. Either the schematics or from conversations
15 with Pat Boyd.

16 Q. Now, that's different than an abandoned
17 right; correct?

18 A. Yes.

19 Q. So these ones that are indicated as "does not
20 divert," it's not abandoned; right?

21 A. Right.

22 Q. And so it could be possible for it to be
23 used; is that correct?

24 A. Yeah. That wouldn't be for me to decide, but
25 as far as can I tell, yes.

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Q. Turn, please, to page 72. This is the map of
2 the water rights that indicate CBM use that you
3 modified from the Storm Cat Energy map; correct?

4 A. It has that information on this map, yes.

5 Q. Along with additional information you
6 testified to; right?

7 A. Yes.

8 Q. My understanding is the Koltiska right does
9 not show up on this map as irrigated by CBM water. Is
10 that correct?

11 A. That's correct.

12 Q. Finally, the Special Master asked you about
13 the Pilch right. Could you turn to page 86?

14 And this had to do with Mr. Pilch's water
15 right, that part was irrigated in '04-'06 with CBM, was
16 your testimony.

17 On page 86 you also indicate that Mr. Pilch
18 also had Lake DeSmet exchange water; correct?

19 A. Yes.

20 Q. He did not have exchange water in 2001;
21 correct?

22 A. I'm not sure about that. I think that's an
23 old thing when I talked to him about it, but I think it
24 was. But I can't tell you for sure right now.

25 Q. How about 2002? Do you recall?

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 A. I think he had it then.

2 Q. Now, if it were -- if he were taking exchange
3 water, that would be shown under the Lake DeSmet
4 portion of the hydrographer report; correct?

5 Let's take a look. Do you still have the
6 2004 hydrographer report there?

7 A. Yes. Yes, it would be shown in that.

8 Q. So if you look with me, please, at the 2004
9 hydrographers' annual report, which is Exhibit J61.
10 And I'm looking at page 11 at the beginning of the Lake
11 DeSmet and Healy Reservoirs section; right?

12 Do you see that?

13 A. Yes.

14 Q. And if you turn to page 12, at the top there
15 it says "Exchange water."

16 Do you see that? I'm looking on page 12.

17 A. Yes.

18 Q. And it indicates "Pilch, Prairie Dog," and it
19 shows that he owns 50 acre-feet, and he used 50
20 acre-feet; correct?

21 A. Yes.

22 Q. Let's look at the 2002 version. And I'm
23 going to show it on the screen. And I'm also going to
24 hand you a hard copy. And I'm going to be looking at
25 pages 11 and 12 which, again, is Lake DeSmet and Healy

Recross-Examination by Mr. Wechsler
DOYL FRITZ - December 2, 2013

1 Reservoirs.

2 So do you have page 12 before you, Mr. Fritz?

3 A. Yes, I do.

4 Q. And here, this is the Lake DeSmet notation
5 again; correct?

6 A. Yes.

7 Q. And here under the exchange water, it doesn't
8 list Mr. Pilch; right?

9 A. No, it doesn't.

10 Q. And we can go back and confirm in 2001 as
11 well.

12 Do you recall seeing a notation for Pilch
13 having exchange water in 2001?

14 A. I don't remember right now.

15 I remember Mr. Pilch telling me that that was
16 about the time they were installing -- they had wheel
17 rolls that the CBM company had put in, and in the
18 2001-'2 time frame, they had quite a bit of
19 construction going on on his property putting in these
20 other systems, the combination of pivots and wheel
21 rolls about in that time frame. He was a little vague
22 with the time frame.

23 So it could be that it wasn't used in those
24 years. I'd have to look at the hydrographer reports.

25 Q. Incidentally, while we're looking at page 12

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 of the 2002 hydrographers' report, I'm curious about if
2 you have any information about a couple notations.
3 You've seen this happen in Montana as well.

4 But, for example, if you look at this B list
5 right, they have 875 acre-feet of water, stored water,
6 and they actually use the thousand acre-feet.

7 Do you see that?

8 A. On page 12?

9 Q. It's under --

10 A. Oh, yes. Boxelder Creek.

11 Q. Yes. Any idea how that happens?

12 A. The Lake DeSmet people do sell water, have
13 contracts to sell water, so it could have been a
14 purchase. I don't know. But there are other people
15 that do buy water.

16 MR. WECHSLER: Thank you very much. No
17 further questions, Your Honor.

18 SPECIAL MASTER: Thank you, Mr. Wechsler.

19 Mr. Kaste.

20 REDIRECT EXAMINATION

21 BY MR. KASTE:

22 Q. Do you remember a minute ago talking with
23 Mr. Wechsler about page 72 of your report that has a
24 map of CBM irrigated areas?

25 A. Yes.

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. And he pointed out to you that the Koltiska
2 property is not identified as receiving CBM on that
3 map; right?

4 A. Right.

5 Q. Can you explain why that's the case?

6 A. It was not on the Storm Cat map. None of my
7 engineers added it. And I don't think I knew about it
8 until I talked to Gary Koltiska, and I just neglected
9 to put it on there.

10 Q. Are there other CBM companies that irrigate
11 lands in this basin?

12 A. Well, there have been, yes. It was quite a
13 project at one time, the CBM development.

14 Q. Do you know, is the Storm Cat map the
15 comprehensive be-all/end-all map of all CBM irrigation
16 in the Tongue River Basin?

17 A. It's not an absolute source of everything,
18 no.

19 Q. Now, you talked a little bit about the
20 process of redescribing rights and at times changing
21 either the place of use or the type of use.

22 Do you remember that discussion?

23 A. Yes.

24 Q. When you go through that process -- and that
25 happens at the state engineer's office; right, and

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 ultimately at the Board of Control?

2 A. Yes.

3 Q. When you go through that process, does the
4 applicant have to show that the water they propose to
5 change use or place they have actually been using
6 historically?

7 A. Yes.

8 Q. We're not just making up new water and
9 slapping it down with a fancy old permit; right?

10 A. Yes. The only thing they can change is
11 historic use.

12 Q. All right. Now, Mr. Wechsler asked you a
13 number of questions about regulation ends at the
14 calling right. That's probably a good way to describe
15 it. And downstream of that right, regulation might not
16 be occurring.

17 Do you remember those questions?

18 A. I do.

19 Q. All right. And the implication, I think, was
20 on Big Goose and Little Goose, by god, there must be
21 some post-1950 rights below the calling right that are
22 getting some water.

23 Is that a fair assessment of the import of
24 those questions?

25 A. I guess so, yes. I'm not sure.

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 Q. All right. Did Mr. Book pick out any
2 particular parcels in the Big Goose or Little Goose
3 drainages and say there's some post-'50 use that's
4 hurting Montana?

5 A. No.

6 Q. You looked at every single parcel he
7 identified, didn't you?

8 A. Yes.

9 Q. And they are all on the main stem of the
10 Tongue or on the Prairie Dog Creek; right?

11 A. Or minor tributaries, Ash Spring or something
12 like that, yes.

13 Q. And Smith Creek I think --

14 A. Smith Creek.

15 Q. If Mr. Book had identified parcels on Big
16 Goose and Little Goose, would you have looked at those
17 and ascertained whether or not there were post-'50
18 rights getting water?

19 A. We would have.

20 Q. Didn't need to, did you?

21 A. Nope.

22 MR. KASTE: I have no further questions.

23 SPECIAL MASTER: Okay. Thank you.

24 So, Mr. Fritz, you're free to stand down now.

25 And I appreciate your testimony very much.

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 THE WITNESS: Thank you.

2 MR. KASTE: That's about two hours behind
3 where I hoped we'd be. And I suggest we start
4 Mr. Hinckley's testimony in the morning.

5 SPECIAL MASTER: I think that would be fine.
6 Two hours is actually pretty tight by the standards we
7 live by in this particular case, so I'm not too
8 disturbed. But I would suggest we start again at
9 8:30 in the morning in order to try to make sure that
10 we finish up Mr. Hinckley tomorrow.

11 And that means as soon as he's finished, I'll
12 be interested in whether or not Montana is planning on
13 having any type of rebuttal testimony. And you should
14 be prepared to put that on if you are.

15 MR. DRAPER: We'll be looking at that
16 tonight, Your Honor.

17 SPECIAL MASTER: Okay. Appreciate that.
18 Because, again, I do not want to have to come back
19 here, if at all possible. And I have a feeling
20 probably everyone feels the same way. And we're doing
21 pretty well on timing.

22 MR. KASTE: Yeah. And I don't want to come
23 back either. And I think my position with regard to
24 rebuttal is it better be a fact witness because, as we
25 learned from your ruling today, the rebuttal testimony

Redirect Examination by Mr. Kaste
DOYL FRITZ - December 2, 2013

1 has already occurred. And our guys are staying within
2 the content of their report, so it's a factual matter
3 maybe.

4 But from an expert perspective, I'm going to
5 have a serious objection to rebuttal testimony.

6 SPECIAL MASTER: Yeah. I certainly believe
7 strongly that rebuttal testimony at this point needs to
8 be limited to any new pieces of evidence that came up
9 in this particular phase of the case. And so
10 certainly, to the degree it's rebuttal of an expert who
11 has testified for Wyoming at this particular stage,
12 that should have been in the rebuttal testimony.

13 MR. DRAPER: Well, Your Honor, we certainly
14 reserve the right to present rebuttal testimony, and I
15 would not accede to anything that the opposing counsel
16 is trying to preset here. But we'll be looking at the
17 entire trial and seeing whether anything fits within
18 the appropriate scope of rebuttal testimony.

19 SPECIAL MASTER: Okay.

20 MR. DRAPER: Including tomorrow's testimony.

21 SPECIAL MASTER: Okay. So with that, then,
22 we will adjourn until 8:30 a.m. tomorrow morning.

23 MR. DRAPER: Thank you.

24 (Trial Proceedings recessed at
25 4:34 p.m., December 2, 2013.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER'S CERTIFICATE

I, Vonni R. Bray, a Certified Realtime Reporter, certify that the foregoing transcript, consisting of 245, is a true and correct record of the proceedings given at the time and place hereinbefore mentioned; that the proceedings were reported by me in machine shorthand and thereafter reduced to typewriting using computer-assisted transcription.

I further certify that I am not attorney for, nor employed by, nor related to any of the parties or attorneys to this action, nor financially interested in this action.

IN WITNESS WHEREOF, I have set my hand at Laurel, Montana, this 13th day of February, 2013.



Vonni R. Bray, RPR, CRR
P. O. Box 125
Laurel, MT 59044
(406) 670-9533 - Cell
(888) 277-9372 - Fax
vonni.bray@gmail.com